

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL
held via Arbitration Place Virtual
on Friday, June 24, 2022, at 9:30 a.m.

VOLUME 37

Arbitration Place © 2022
940-100 Queen Street
Ottawa, Ontario K1P 1J9
(613) 564-2727
900-333 Bay Street
Toronto, Ontario M5H 2R2
(416) 861-8720

APPEARANCES:

Andrew C. Lewis For Red Hill Valley

Hailey Bruckner Parkway

Delna Contractor For City of Hamilton

Heather McIvor For Province of Ontario

Colin Bourrier

Chris Buck For Dufferin Construction

Jennifer Roberts For Golder Associates Inc.

Michael Saad For Ministry of
Transportation

Robin McKay For Tradewind Scientific

INDEX

	PAGE
ROWAN TAYLOR; AFFIRMED	6552
EXAMINATION BY MR. LEWIS	6552
EXAMINATION BY MS. CONTRACTOR	6651
EXAMINATION BY MS. JENNIFER ROBERTS	6665
EXAMINATION BY MR. LEWIS (cont'd)	6667

LIST OF EXHIBITS

NO.	DESCRIPTION	PAGE
103	Letter dated June 17, 2021 to Robert Centa from Rowan Taylor; RHV889	6647

1 Arbitration Place Virtual

2 --- Upon resuming on Friday, June 24, 2022,

3 at 9:30 a.m.

4 MR. LEWIS: Good morning,

5 Commissioner, Counsel, Mr. Taylor.

6 Commissioner, today we have

7 Mr. Rowan Taylor of Tradewind Scientific

8 testifying, and counsel for Mr. Taylor and

9 Tradewind is Robin McKay who is also here.

10 Mr. Rowan Taylor's father, Leonard Taylor, is the

11 principal of Tradewind and ran the Tradewind

12 business during the relevant time period, however

13 we've been advised that Mr. Leonard Taylor is not

14 available to give evidence due to his health and

15 has retired from the business. Commission counsel

16 is satisfied that Leonard Taylor's health does not

17 permit him to participate and accordingly we are

18 calling Rowan Taylor who did also have

19 involvement, as you will hear, with the Tradewind

20 testing and report.

21 And so with that introduction,

22 Registrar, if we could have the court reporter

23 affirm Mr. Taylor.

24 ROWAN TAYLOR; AFFIRMED

25 EXAMINATION BY MR. LEWIS:

1 Q. Good morning, Mr. Taylor.

2 A. Good morning.

3 Q. Just to cover some of
4 your background, I understand that you have a
5 bachelor of applied science in aerospace
6 engineering from Carlton University; is that
7 right?

8 A. That is correct.

9 Q. When did you graduate
10 from there?

11 A. That would have been
12 2010.

13 Q. And a master's in fluid
14 dynamics engineering from McGill; is that right?

15 A. That is correct.

16 Q. When did you obtain that
17 degree?

18 A. 2013.

19 Q. And what is fluid
20 dynamics engineering?

21 A. Broadly, just the study
22 of the mechanics fluids in motion. And of course,
23 there are lots of different fluids, including air,
24 water are most common ones, and that applies to
25 things like aerodynamics.

1 Q. And are you a practicing
2 engineer?

3 A. I am not.

4 Q. Okay. And I understand
5 that you joined Tradewind Scientific in 2005; is
6 that correct?

7 A. That is correct.

8 Q. And you were a head
9 office technician from 2005 to 2012?

10 A. Yes.

11 Q. You've been the
12 engineering manager and chief technology officer
13 from 2012 to the present; is that right?

14 A. Yes.

15 Q. How did -- you were
16 working while you were studying; is that correct?

17 A. Correct, on a part-time
18 basis generally.

19 Q. And so your first degree
20 was in 2010 was when you graduated; is that right?

21 A. Yes.

22 Q. And were you -- the
23 entire time when you began in 2005, I guess that
24 was before you went to Carlton, or was that --

25 A. Yes, I think Carlton was

1 2006 to 2010 and so I would be working part-time
2 during the academic year and full-time during the
3 summer.

4 Q. Okay. And as I
5 indicated, your father was the COO and president
6 of Tradewind but is no longer actively involved.
7 Does that mean you are functionally the head of
8 the business at this time?

9 A. That is correct, yes.

10 Q. And how long have you
11 been operating in that capacity?

12 A. At least a
13 year and a half at this point.

14 Q. Could you describe
15 Tradewind's business generally?

16 A. Yeah, absolutely. We do
17 a lot of different things, but largely centred
18 around airport safety and environmental
19 monitoring, both services in that regard, and also
20 products, training and a few other associated
21 things. And we're active all across Canada, the
22 U.S., and also extensively in northern Europe as
23 well.

24 Q. All right. And what does
25 that include?

1 A. Right. That includes
2 things such as measuring the friction on airport
3 runways, providing systems to help airports
4 collect and distribute safety-related information.
5 So this can be anything from the conditions during
6 wintertime on the airfield, you know, how much
7 snow, how much ice, that kind of thing, all the
8 way to maintenance type activities of reports of
9 fog or electrical issues, lights being burnt out.
10 And then training and -- all really centred around
11 airports and airport safety, and in particular of
12 course, different from airport security.

13 Q. Right. And you mentioned
14 of course friction testing. Is that something
15 that Tradewind has already -- has also done on
16 non-airport situations and particularly on
17 roadways?

18 A. Yes, we have done a few
19 test series on non-airport pavements.

20 Q. And how many employees
21 does Tradewind have?

22 A. Usually about a dozen.

23 Q. When was the business
24 founded?

25 A. In 1980.

1 Q. In 2013 and '14 did you
2 report to your father, Leonard Taylor, at that
3 time?

4 A. Yes.

5 Q. What were your duties
6 generally speaking at that time, 2013, '14?

7 A. Yeah. At that time I was
8 taking on more and more of the systems and
9 software development management role, in addition
10 to a lot of the data processing for friction
11 reporting and just generally helping out in all
12 things.

13 Q. Okay. And how closely
14 did you work with your father?

15 A. Very closely.

16 Q. And being a small
17 company, did you generally know what he was doing
18 and what was going on with him?

19 A. In general, yeah,
20 definitely.

21 Q. I understand also that
22 part of Tradewind's business is acting as an agent
23 for manufacturers of friction testing equipment?

24 A. That is correct. We
25 represent a few different manufacturers actually.

1 Q. And Tradewind sold a grip
2 tester to the company that operates the 407 ETR?

3 A. We did.

4 (Speaker overlap)

5 Q. It's in the report.

6 A. Okay.

7 Q. Could you describe the
8 friction testing equipment that Tradewind both
9 sells and uses?

10 A. Sure. So we have a lot
11 of familiarity with two classes and three types of
12 friction testing equipment. So in terms of
13 classes, there's what we call spot measurement
14 devices which are used to measure friction largely
15 at a point on the surface, and these are basically
16 for what we call operational friction testing. So
17 typically at airports this is in the winter when
18 our main concern is about contaminants on the
19 runway, snow, ice, sand, chemical, that sort of
20 thing.

21 And so the spot measurement
22 devices are placed in an inspection vehicle,
23 accelerated to a given speed, and then the
24 inspector slams on the brakes and the wheels are
25 locked -- actually in fact ABS is turned off for

1 these tests -- and measures the deceleration at
2 approximately a point in the runway. That's the
3 spot friction testing device. And --

4 Q. Sorry, before you go on
5 is that the ASTM locked-wheel trailer?

6 A. No, it is not. So in
7 Canada we work with the de facto standard which is
8 the TES instruments mark 3 electronic recording
9 decelerometer. But it is -- the test methodology
10 is indeed wheel locked wheel, locked vehicles in
11 that case.

12 Q. So that --

13 (Speaker overlap)

14 A. It's a lot of technical
15 jargon. So that's the spot friction measurement,
16 and we refer (ph) to it as the TES decel for
17 short.

18 The other class of device is
19 CFME, continue friction measuring equipment. It's
20 quite different in its operational principle in
21 that the measuring wheel is rotated at slightly
22 slower speed than the vehicle or trailer is
23 travelling, usually in the 10 to 20 percent slip
24 ratio zone, and we work with two types of devices,
25 the Findlay-Irvine GripTester which is quite a

1 small trailer, and then also the -- broadly called
2 the SFT, surface friction tester, which has been
3 built into a range of vehicles and trailers
4 including Saabs, Volvos, Volkswagen Transporters,
5 and then also a trailer-based version.

6 And we have a lot of
7 familiarity with, represent, and work with all
8 three of those devices and have for many, many
9 years.

10 Q. Okay. And typically when
11 non-airport road testing is done do you know what
12 device is typically is used?

13 A. Yeah. So with respect to
14 trailer we would be exclusively using the CFME
15 device, and so either the grip tester or SFT-type
16 device.

17 At airports there are two
18 different types of reasons to measure friction.
19 One I mentioned with regards to operational
20 friction tester in the winter with wintertime
21 conditions where that's your limiting factor from
22 a friction perspective, and then there's the
23 maintenance friction testing which is done in the
24 summer usually with nothing on the pavement except
25 for water film, and that's to kind of measure the

1 health and quality of the pavements.

2 And so for non-airport testing
3 we've exclusively done the second type, the
4 maintenance friction testing.

5 Q. Now, we know that -- if
6 we go actually, Registrar, to overview document 6,
7 image 71, please.

8 Paragraph 180, November 6,
9 2013, Vimy Henderson from Golder Associates
10 contacted Tradewind through its website and she
11 asked to speak to someone about Hamilton's request
12 for friction testing on its urban highways that
13 year. And had Tradewind ever worked with the City
14 of Hamilton or Golder prior to this?

15 A. Not to my knowledge, and
16 the fact that they are reaching out to us through
17 our website is pretty indicative of that.

18 Q. Did you have any personal
19 involvement in the arranging of the friction
20 testing?

21 A. I did not.

22 Q. Okay. And Commissioner,
23 just for good order, overview document 6,
24 paragraphs 185 to 190 set out the arrangements
25 between Tradewind and Golder that we've heard

1 about and then 191 and 195 set out the
2 arrangements between Golder and the City with the
3 testing itself taking place on November 20th, 2013
4 at paragraph 196, and the inquiry has already
5 heard, of course, from Dr. Uzarowski and
6 Dr. Henderson with respect to those arrangements.

7 Now, if we could go to
8 images 77 and 78, Registrar.

9 And it's paragraph 196 which,
10 as I have indicated, the friction testing took
11 place on November 20th, 2013.

12 Now, could you tell us who
13 Michael Hogarth is? I understand he's a field
14 testing technician.

15 A. Yes. At the time he
16 would have been a primary field testing technician
17 for friction measurement.

18 Q. And did he operate the
19 grip tester on the testing on November 20th, 2013?

20 A. Affirmative.

21 Q. And when you say he was
22 the primary field testing technician, did he
23 operate the equipment?

24 A. Yes.

25 Q. All right. And just the

1 grip tester or all of your equipment at the time?

2 A. The majority of our
3 equipment at that time, yeah.

4 Q. And how long had
5 Mr. Hogarth been with Tradewind?

6 A. At that time, 2013, had
7 to be at least 10 years, if not a dozen.

8 Q. And is he still at
9 Tradewind?

10 A. He is not.

11 Q. When did he leave?

12 A. He resigned I believe it
13 was 2018.

14 Q. Do you have any knowledge
15 of anyone from the City or Golder who attended at
16 the friction testing on the day it occurred?

17 A. I really don't have any
18 personal knowledge of that, no.

19 Q. Who did Tradewind view as
20 its client for this project?

21 A. Golder.

22 Q. Are you aware of any
23 direct communication between Tradewind and the
24 City of Hamilton?

25 A. I am not.

1 Q. So to your knowledge was
2 all Tradewind communications with Golder?

3 A. Yes.

4 Q. On page 78,
5 paragraph 198, you see that on November 21st, the
6 day following the testing, that the reference to
7 Mr. Taylor there is to Leonard Taylor and
8 Mr. Hogarth wrote what's set out. However, I can
9 tell you that the -- this actual -- the document
10 refers to only Mr. Taylor but it was to you as
11 well. And it's about the friction testing the
12 prior day.

13 And actually if we can go to
14 the actual document. It's TRW71. There we go.

15 So we can see that the
16 originating e-mail on the 21st Mr. Hogarth wrote
17 to you and then the exchange is also with the --
18 between Leonard Taylor and Mike Hogarth. Do you
19 see that?

20 A. Yes.

21 Q. Okay. And then your
22 father after receiving the e-mail from -- if you
23 could expand the top one, please, so we can read
24 it:

25 "Mike, thanks for the date and

1 update regarding the Hamilton
2 area road testing. Rowan and
3 I will work through the
4 measurement results and let
5 you know if we need further
6 information to put together
7 the analysis and summary
8 report. I agree that testing
9 crosswalks would take a
10 completely different setup.
11 Glad to hear the GTO81 seems
12 to be still working okay."
13 And if you could then take
14 that down.

15 Is this -- could you tell us
16 before we get into the specifics of the testing
17 and Mr. Hogarth's e-mail to you, how back at that
18 time you and your father and Mr. Hogarth divided
19 the responsibilities with respect to the testing
20 and the test data, processing it, getting it into
21 a report.

22 A. Absolutely. So at the
23 time, like I mentioned, the vast majority
24 collection would have been performed by
25 Mr. Hogarth, and following the data collection,

1 usually within 24, 48 hours he would e-mail a
2 summary to both myself and Mr. Leonard Taylor of
3 the results and some of what I call metadata --
4 time, date, temperature, equipment number, that
5 kind of thing -- and then it would go into a queue
6 for processing on my end. I was responsible for
7 really all of the data processing before it would
8 then continue up to Mr. Leonard Taylor for the
9 analysis and final report creation.

10 Q. So Mr. Hogarth would
11 collect the data, provide it to you and your
12 father, and then you would take data and you
13 process it; is that right?

14 A. Correct.

15 Q. The graph and charts that
16 appear in the reports, was that your remit?

17 A. Yes.

18 Q. And then based on that,
19 once it's put together, your father would do the
20 interpretation and would he write the report
21 itself?

22 A. Yes.

23 Q. And did that division of
24 work between you and your father evolve at some
25 point over the years prior to your taking over

1 general operation of the business?

2 A. It did. I began to do at
3 least first pass analysis on the I would say
4 smaller or more clear results, leading up to
5 present day where I do the analysis on all of
6 them.

7 Q. At that time though it's
8 as you described it?

9 A. Yes.

10 Q. Thank you. And you've
11 indicated that Tradewind mostly is -- business in
12 terms of friction testing and so forth is airports
13 and then friction testing of airport runways. How
14 is interpreting data from airport runways
15 different from or similar to interpreting friction
16 test data from roadways?

17 A. That is a very
18 interesting question. The data is the data is the
19 data, so -- and measured with the same test
20 conditions using the same device with usually the
21 same operator, so I suppose it's really more in
22 the analysis, what you're comparing it to, what
23 standards, if any, you are comparing it to. Do
24 you have historical data to compare patterns, and
25 do you have knowledge of types of activities or

1 processes that can reduce or increase friction on
2 a roadway versus a runway, for instance.

3 Q. For highway runways --
4 sorry, airport runways, are there standards to
5 apply the friction test results to?

6 A. Yes, there are Transport
7 Canada standards for runways in Canada, FAA
8 standards in the U.S., and ICAO standards in
9 Europe.

10 Q. It just depends on the
11 jurisdiction?

12 A. It does.

13 Q. And for the airport
14 friction testing, is this something that's done
15 over a period of years or one-off? How does that
16 work?

17 A. Yes. So as per Transport
18 Canada advisory circular 3012-017 issue 3, the
19 frequency and timing of measurement is actually
20 dictated largely by the results of the previous
21 friction test series. So it's broken down into
22 ranges, and then also things like traffic are
23 factored in. And so in Canada friction
24 measurement has to be conducted for airports with
25 regularly scheduled passenger traffic at a minimum

1 frequency of every second year, ranging to yearly,
2 ranging to actual monthly in the case of poorer
3 results.

4 Q. And so there's
5 differences in interpretation as you said. Did
6 your father feel comfortable with his ability to
7 properly interpret and analyze results from grip
8 tester roadway testing?

9 A. The results absolutely,
10 yes.

11 Q. How many -- if you can
12 estimate, how many roadway test results do you
13 think that you have been involved with personally?

14 A. I would think it would be
15 less than five.

16 Q. Now that your father is
17 no longer actively involved in the business do you
18 anticipate Tradewind will be doing further roadway
19 friction testing and analysis?

20 A. Never say never, but I
21 doubt it's in the cards.

22 Q. Why is that?

23 A. Largely because we're
24 blessed with enough work in the airport domains to
25 keep us occupied.

1 Q. So you described how many
2 you were involved with. Did your father have more
3 involvement with roadway testing than you had?

4 A. He might done a couple
5 more earlier.

6 Q. So you're talking about
7 prior to your involvement in the business?

8 A. Yeah, yeah. And we have
9 been conducting friction testing in one way or
10 another for about 42 years, so done a few things
11 along the way.

12 Q. So it's fair that prior
13 to your involvement you're aware that your father
14 had done -- involved with roadway testing but
15 you're not sure of the number?

16 A. Yeah, and it could be
17 zero.

18 Q. Now, if we could get back
19 to Mr. Hogarth's e-mail, which is still up on the
20 screen. If you could expand the bottom e-mail
21 there. Thank you.

22 So this is an e-mail on the
23 13th of November -- sorry, 21st of November, 2013.
24 And I think you had indicated that Mr. Hogarth
25 typically would set out in his summary e-mails

1 the -- some of the information about the testing
2 condition; is that right?

3 A. That is correct.

4 Q. So that's what we see at
5 the top, "weather clear, 7 degrees, wind calm."
6 GT081, that's the grip tester?

7 A. That's the serial number
8 of the Tradewind-owned grip tester, yeah.

9 Q. Okay. And 50 kilometre
10 an hour testing, that's the test speed?

11 A. Yeah.

12 Q. And then water flow
13 10.4 LPM?

14 A. Litres per minute which
15 would equate to a 0.25-millimetre depth.

16 Q. Okay. So he writes:
17 "Tested 17 kilometres of
18 Lincoln Alexander Parkway LINC
19 and Red Hill Valley Parkway
20 starting at the west end at
21 the overpass of Golf Links
22 Road and finishing at the east
23 end at Barton Street exit.
24 Red Hill Valley Parkway is the
25 pavement of concern, and has

1 the lower friction values.
2 Tested three 400-metre
3 sections of off/on ramp.
4 Tested four crosswalks at 100
5 metres. Data very
6 inconclusive as I could not
7 tell you where the test wheel
8 crossed the paint and it is
9 not obvious by the graph.
10 Those need to be tested
11 properly, with the appropriate
12 device. The one conclusion is
13 that the paint friction is not
14 radically different from the
15 asphalt. The concern with the
16 crosswalks is that a school
17 crossing guard saw two kids
18 slip and fall on a rainy day
19 shortly after the crosswalks
20 were painted, and registered a
21 complaint." (As read)
22 So the last really five I
23 guess sentences there are about crosswalks but --
24 so prior to that we know that Mr. Hogarth tested
25 the LINC and the Red Hill Valley Parkway as well

1 as three 400-metre ramp sections, right?

2 A. Yes.

3 Q. In the fourth line he

4 wrote:

5 "Red Hill Valley Parkway is

6 the pavement of concern and

7 has the lower friction

8 values."

9 Do you know if someone

10 mentioned a concern about the Red Hill Valley

11 Parkway to Mr. Hogarth?

12 A. I mean, I don't

13 personally know that, but it certainly reads that

14 way.

15 Q. You didn't have any

16 further conversation with Mr. Hogarth about this?

17 A. No, not that I recall.

18 Q. Was this a usual or

19 unusual sort of summary e-mail by Mr. Hogarth?

20 A. Relatively usual. I

21 mean, he's providing field notes, metadata for the

22 testing and anything learned on site or deemed

23 pertinent to the testing.

24 Q. And what sort of results

25 would he typically flag? Like, there's certain

1 things that he mentions here, so what sort of
2 things would he typically --

3 A. Yeah, so typically he
4 would be flagging results that are lower and/or
5 surprisingly low, especially for airports. And
6 that might encourage us to process the data on a
7 more priority basis, but yeah, really it's just a
8 good capture of the things that are learned or
9 seen in the field at the time by the only person
10 who is actually in the field. You know, the rest
11 is just data files in Excel.

12 Q. Would he typically report
13 if there were difficulties with the testing or --

14 A. Absolutely yes. If there
15 were mechanical issues or even if parts need to be
16 replaced prior to or during the testing or if
17 there were any calibration problems.

18 Q. Okay. And so if there
19 was something that he was concerned affected the
20 reliability of the results?

21 A. That would have been
22 reported, yes.

23 Q. Here on the crosswalks he
24 seems to be noting issues with that?

25 A. Exactly. He's basically

1 saying it's not possible to test -- and that makes
2 sense. It's far too short a section to test.
3 There are actually other instruments that can be
4 used for shorter, you know, via pushing instead of
5 towing at 50 kilometres per hour.

6 Q. And then we know it
7 wasn't until late January that Tradewind provided
8 the results and the Tradewind report itself. Is
9 that a typical time lag between testing and
10 reporting or was there something going on at the
11 time that impacted timing of the report?

12 A. That is a pretty typical
13 lag of about eight weeks or so. We conduct tests
14 at many as 50 or 60 airports in Canada across the
15 summer months, so the backlog of analysis
16 reporting becomes quite real. And yeah,
17 especially at test the end of November, yeah,
18 eight weeks is totally believable and normal.

19 Q. Do you recall when you
20 first saw the test -- the actual test data? You
21 know, we get into January and we will look at some
22 e-mails and so forth in January and a draft
23 report. Do you recall when you first starred
24 looking at the data and putting it into the draft?

25 A. I don't specifically

1 recall but it certainly looks like it was around
2 January.

3 Q. And then if we could go,
4 Registrar, to TRW92. And actually native format
5 for that, please.

6 This is referred to in
7 overview document 6, paragraph 229. And this is
8 very small, we'll expand it, but this is, as I
9 understand it, the test data, and maybe you can
10 describe what -- it's an Excel document with a
11 number of tabs and just explain what this document
12 is.

13 A. Absolutely, yes. So the
14 raw data is -- we load into a proprietary software
15 which then produces textual summary which is what
16 we're seeing here on this raw tab. So then those
17 textual summaries of the data runs are simply
18 copied and pasted into this -- the raw tab just in
19 sequence, and you are seeing basically all the
20 metadata, so date, time, surface name, centre,
21 side, length of test, units, jurisdiction, all
22 that good stuff, serial number, test tire, any
23 operator's messages which are collected
24 immediately following the completion of the test
25 run. And then of course further down you get into

1 the actual measurement values of speed and grip
2 number along with distance.

3 Q. Okay. So we'll look at
4 it, but as I've read this what it does is for each
5 test run, whether it be the lanes or the ramps
6 or --

7 (Speaker overlap)

8 Q. There's a separate
9 section as you go down and then it sets out
10 results for each of those; is that right?

11 A. That is correct.
12 Exactly. Each of those test sections is coming
13 from an individual data file, a run file.

14 Q. And so at the top here in
15 row 2 there's the date, 20 November, '13, and the
16 time, 10:54. So is that the time of what, the
17 start test run?

18 A. That would be the data
19 and time at the start of the test run as
20 programmed into the test computer at the time.
21 And we work in airports so a lot of time we work
22 in Zulu time so you don't necessarily know the
23 time zone it's in.

24 Q. Sorry, Zulu time?

25 A. UTC.

1 Q. UTC. Got it. 10:54, is
2 that 10:54 a.m. Eastern Standard?

3 A. There's actually no way
4 to know. It's likely either 10:54 Eastern or
5 10:54 a.m. Zulu, yeah. Or in the afternoon.

6 Q. All right. And so is
7 this -- appreciating that each individual test is
8 going to be done at a different location,
9 different date and time, is this the typical way
10 in which the information is generated and placed
11 into the spreadsheet?

12 A. Yeah, completely typical.

13 Q. And this first tab is
14 titled "Raw" and then we've got additional tabs
15 which are "Final" and then -- which we will go to
16 briefly, but are those ones that are then pro--

17 A. Yeah, some sort of
18 processing or even not processing, just
19 arrangement has been done in the other tabs and
20 then of course the graph is prepared based on
21 that.

22 Q. Okay. And here I see at
23 number 5 it says surface -- row 5 under surface it
24 says "LINC east RT lane." Is that LINC eastbound
25 right lane?

1 A. That certainly is how I
2 would interpret it, yes.

3 Q. And in row 28 it
4 states -- if you could maybe expand from row 28
5 down to the bottom of the page there, Registrar.
6 If you could expand it from operator message at
7 row 28. Are you able to do that? No, you got --
8 I see that's fine. Thank you.

9 So we've got the operator
10 message and then row 30 it says LINC eastbound
11 right lane right wheel path. So is that -- did I
12 understand you correctly that that's Mr. Hogarth
13 inputting that information at the time of the
14 testing?

15 A. Correct. At the time
16 that the test run is completed the operator is
17 presented with the opportunity to enter in a
18 comment, in this case indicating where, what was
19 being tested is very helpful. What's the length
20 of this test run? Is this full 17?

21 Q. Yeah, so just before we
22 do that, I see that the columns are off, but it
23 gives the distance.

24 A. Yeah.

25 Q. So it's measuring at

1 100-metre intervals?

2 A. Yeah, these are 100-metre
3 averages is what we're seeing here, yeah.

4 Q. Right. The average
5 within each segment because it's continuously
6 measuring?

7 A. Yes, it is, yeah.

8 Q. And then I think if I've
9 got it, because the columns seem to be off,
10 there's the average speed column -- and actually
11 one over from the average speed; is that right?

12 A. That is correct.

13 Q. And then average friction
14 is the -- on the row 39, if we take the very top
15 row, so the speed is 56 on the top row, row 39,
16 and then 0.57, that's the grip reading?

17 A. Affirmative.

18 Q. And then converted just
19 to 57?

20 A. Yeah, friction is a
21 dimensionless number and, you know, often
22 represented one or both ways, either a value from
23 zero to 1 or zero to 100.

24 Q. Okay. And then if we
25 scroll down, Registrar. Keep going.

1 This is the entire, for that
2 lane, 1700 metres. There we go. So stop there,
3 please, Registrar.

4 And then it gives an overall
5 average of -- and then 51 I think, is that the
6 speed again --

7 (Speaker overlap)

8 Q. And then 0.5 is the
9 overall average grip number?

10 A. 0.45, yeah.

11 Q. For the entire stretch.

12 And that includes here the LINC and the Red Hill
13 Valley Parkway as one continuous test run; is that
14 right?

15 A. My understanding is that
16 those two roads kind of are the same stretch of
17 pavement. So yes, for the entire 17 kilometre
18 test section.

19 Q. And then this repeats for
20 each of the lanes tested, which we won't go
21 through each of them because the data is then
22 presented later in the report.

23 If we could, Registrar, scroll
24 down to row 1208. Way down.

25 It goes through there's

1 crosswalk data and 1208 is when we get to the
2 ramps. And actually a little above there to see
3 the -- okay, there we go.

4 So 1182 gives the same date
5 and a later time of 1639, and then row 1185 says
6 surface Greenhill off, then 1188, set test and
7 actual run 400 metres.

8 And then down below -- now, if
9 we go to 1208, and if you could scroll down a bit
10 so we can see the results there, Registrar.

11 There's the operator message, "Greenhill off ramp
12 right wheel path" (as read).

13 A. Yes.

14 Q. So again Mr. Hogarth is
15 entering that?

16 A. Yes.

17 Q. And then it gives the
18 average values there again in 100-metre
19 increments?

20 A. Correct.

21 Q. I see on the right-hand
22 side the results are per 100 metres 51, 48, 68 and
23 77 in column E?

24 A. Yes.

25 Q. Okay. Now, if we could

1 go to row -- scroll down a bit to row 1299. A bit
2 above that as well. That's fine.

3 This one says again at 1273,
4 same date, 5 p.m. or 1700 hours, and then in row
5 1276 it says "surface Stone Church off" and gives
6 the same sort of information down there below
7 about the tire and the machine and so forth.

8 Then under "operator message"
9 it says -- in row 1299 is operator message and in
10 row 1301 it say "Stone Church off ramp right wheel
11 path Red Hill Valley Parkway mix." Again that's
12 Mr. Hogarth?

13 A. That is correct.

14 Q. And if we can scroll down
15 a little bit to see the average values. Again
16 there's 100 metre increments for a total of
17 400 metres and four readings; is that right?

18 A. Yes.

19 Q. The readings from the 100
20 through 400 is 38, 40, 33 and 39. And we heard
21 yesterday from Dr. Ludomir Uzarowski that likely
22 this particular ramp was at the Mud Street
23 interchange, the Stone Church off ramp, and that
24 that was where a test strip using the same surface
25 course for the main line Red Hill Valley Parkway

1 had been placed.

2 First of all, do you have any
3 personal knowledge of that one way or another?

4 A. I do not have any
5 personal knowledge of that.

6 Q. Okay. And nonetheless,
7 based on what's written here, are you able to
8 interpret what Mr. Hogarth saying and give us your
9 understanding?

10 A. Yeah, I think with a
11 reasonable degree of certainty looking at this and
12 then looking at a map of the roads in the area,
13 that the Stone Church off ramp would be the one
14 that curves around and heads south to Stone Church
15 Road as opposed to the one that heads more
16 eastbound, so Mud Road or something like that.

17 Q. If we can go to -- keep
18 this up, Registrar, so we don't lose it. And then
19 pull up RHV930. This is Exhibit 22. It's this --
20 this where the LINC ends up into the -- north into
21 the Red Hill Valley Parkway. If you are going
22 from the left, part of the image is west and then
23 it arcs up north from the Red Hill Valley Parkway.

24 And then there's the red image
25 there, and then at the bottom, the road that's

1 running at the bottom of the image east-west --
2 more or less east-west there, is Stone Church
3 Road, and Dr. Uzarowski indicated it is likely
4 that the testing from his understanding took place
5 on the off ramp that's marked in red. Is that the
6 one you were talking about there?

7 A. Yeah, that from my
8 understanding is also likely the same one. It's
9 possible it's the other sort of off ramp or exit,
10 but there it says Lincoln Alexander Parkway as
11 well heading eastbound. But then I don't know why
12 he would cut it to only 400 metres, so presumably
13 some direction on-site was given.

14 Q. And then Stone Church is
15 on the bottom?

16 A. That's the other thing
17 too. So yeah.

18 Q. You can take that down.
19 If we can just go back to the spreadsheet there or
20 the Excel sheet, TRW92. And if we can go to --
21 just back up a little bit. That's good. Thank
22 you. A little further, up to the next one.
23 There. Thank you.

24 And this is for the other
25 ramp, again same date, 12, 27, or 20 November 2013

1 and says "surface Greenhill on," and then down at
2 row 1253 operator message. And then row 1255 the
3 operator message is:

4 "Greenhill on ramp right wheel
5 path mix change at 260 metres.
6 Last 140 metres same mix as
7 Red Hill Valley Parkway."

8 And then if you could scroll
9 down a little bit, Registrar. Thank you. So we
10 can see the average values.

11 So again is that Mr. Hogarth's
12 message that he wrote in at the time that the
13 option is presented to him following the testing?

14 A. That is.

15 Q. And then under the
16 average values it shows again 400 metres in 100
17 metre increments with results being in row E 60,
18 60, 52 and 42; is that right?

19 A. Yes. Yes.

20 Q. And did you ever discuss
21 with Mr. Hogarth his notes and the source of the
22 information for his notes?

23 A. I did not.

24 Q. Do you know based on his
25 practices why he's -- if he's putting in a note

1 like that what he's doing and why?

2 A. Yeah, he would be trying
3 to provide some context and/or just field notes.
4 That is a pretty specific one talking about mix
5 change at specific test length, so I guess either
6 there's a sign that says mix changes here or it's
7 also possible that the pavement was visually very
8 different. Of course an older pavement is more
9 grey than a newer one. Also reads to me like some
10 information was given him at site.

11 Q. That's based, you assume,
12 on his practices but not based on an actual
13 discussion you have --

14 A. Correct, I have no
15 firsthand knowledge in that regard.

16 Q. And if we can go to next
17 at that point, Registrar, "final." If you just
18 scroll up to the top.

19 And as I understand it these
20 are, and correct me if I'm wrong, the results from
21 the lanes and the -- each of the test runs and the
22 ramps as they then later appear in the Tradewind
23 report; is that right?

24 A. Yeah, this is the process
25 and the organized data which is used in the

1 graphs.

2 Q. Okay. And in this
3 instance -- and sorry, this is your work then?

4 A. This is my work, yes.

5 Q. So if you scroll down a
6 bit there, Registrar. This has then been broken
7 up into -- keep going. I'll let you know when.
8 Thank you.

9 That's the first 10,000
10 metres. You've broken it up so it's the LINC
11 portion is -- you've demarcated it, and the Red
12 Hill Valley Parkway?

13 A. Yes, yes, we split the
14 data into approximately I think 10 and
15 7 kilometres.

16 Q. And then named it the
17 Lincoln Alexander for the first 10 and Red Hill
18 Valley Parkway for the 7?

19 A. Yes. And of course, the
20 data was collected, however, in the 17 kilometre
21 continuous run.

22 Q. And that's what we looked
23 at in the raw data tab?

24 A. Exactly.

25 Q. And then just briefly

1 then, the next six tabs, if we go to the next --
2 the third tab, there's a number of graphs that are
3 visual representations of the data; is that right?

4 A. That is correct. So on
5 the X axis we have the airport road we refer to as
6 change (ph). It's just basically metres. In this
7 case zero to 10,000. And on the Y axis we have
8 the grip tester friction number (garbled audio)
9 between zero and 100.

10 Q. And again this is your
11 processing of the data?

12 A. It is.

13 Q. And then just go over to
14 the next one. Again Lincoln Alexander Parkway
15 westbound. Next one. Lincoln Alexander centre
16 reference. And the centre reference is what?

17 A. My understanding is
18 that's a measurement conducted at the centre of a
19 lane as opposed to the wheel paths. On airport
20 runways we do runs at different offsets from
21 centre line, usually 3 metres where the narrow
22 body traffic is, 6 metres with the wide bodies,
23 and 15 metres for reference. But of course it's
24 quite different on roads that track so much
25 narrower.

1 Q. And then the next three
2 are labelled as RHV, which are the Red Hill Valley
3 Parkway segments, the 7 kilometre segment; is that
4 right?

5 A. Exactly. The 10 to 17
6 kilometre marks.

7 Q. Okay. And then the next
8 one, Registrar, and the next one. A centre
9 reference as well. And for all of these pieces
10 (ph) if your work plotting it into the graphs?

11 A. Affirmative.

12 Q. And this is what -- these
13 graphs then appear in the final report; is that
14 right?

15 A. Correct.

16 Q. Thank you. We can take
17 that down, Registrar.

18 And there's communications
19 between Golder and your father in December 2013
20 and then early to mid-January where Golder is
21 asking about the timing of receiving the test
22 results and so forth, but you appear on
23 January 21st e-mailing a draft report to your
24 father.

25 And if we can go to overview

1 document 6, image 87, please. And it's
2 paragraph 228. 229, we looked at that, that's the
3 spreadsheet containing the friction data that is
4 referred to.

5 But at 228 you e-mail your
6 father, Leonard Taylor, a draft friction survey
7 summary report for testing done November 20th,
8 2013, and your covering e-mail read:

9 "Similar overall comments to
10 the SDG one. As I showed you
11 a while back, the 17 kilometre
12 is split between two graphs
13 into approximately 10/7KM
14 sections corresponding to the
15 Lincoln Alexander and Red Hill
16 Valley Parkways, respectively.
17 I put an extra pin in the
18 GMap" -- is that Google Map?

19 A. Google map.

20 Q. "GMap to show this as
21 well. Also note that this is
22 a dual carriageway so I
23 changed the UK ref line to
24 48."

25 So if we can go to Tradewind

1 TRW43 and -- that's the e-mail itself as well
2 TRW43.0001, or might be just .1, which is the
3 attachment.

4 So can you describe what this
5 -- the document attached is a friction testing
6 survey Summary Report Lincoln Alexander, Red Hill
7 Valley Parkways, Hamilton. Can you describe what
8 this is and how you got to that point?

9 A. Sure. So this is a
10 amalgamation of the prepared data graphs and
11 tables that we saw there on the Excel sheet added
12 to some kind of baseline Word report template.
13 Looks like we had done another series not too long
14 before --

15 Q. Sorry, another series?

16 A. Another road test series
17 not too long before that this was based off of.
18 As you can see it leaves a few things to be
19 entered.

20 (Speaker overlap)

21 Q. You're right. And we'll
22 get to that, but just generally speaking what are
23 you doing to put this together --

24 A. So the data, the graphs,
25 the tables, and then summary information as well,

1 if there's summary tables in the text. And in a
2 best case scenario we've got a report we have all
3 the standards in and everything like that as well.
4 I'm handing that off for the analysis on
5 Mr. Leonard Taylor's side.

6 Q. And if go to image 2 of
7 the document on the right. Thank you. And so
8 it's in the first paragraph it does refer to the
9 Lincoln Alexander and Red Hill Valley Parkways in
10 Hamilton, but then it goes on and seems to be
11 talking about something completely different?

12 A. Correct.

13 Q. That's a different
14 project?

15 A. Yes.

16 Q. And --

17 A. More a template than a
18 draft I guess.

19 Q. Okay. That's the thing
20 is you're taking this from another document and
21 modifying it; is that right?

22 A. Yes.

23 Q. And I think next someone
24 talking about aircraft -- airport testing; is that
25 right?

1 A. Yes.

2 Q. Second paragraph. If we
3 can go to image 3. Under the title "Friction
4 Measurement Results" there's -- the first
5 paragraph refers to the crosswalks and is that
6 what you wrote specific to this project?

7 A. Yes.

8 Q. And then after that,
9 though it seems to then be again talking about
10 runways; is that right?

11 A. It does look that way,
12 yes.

13 Q. Again, is that from the
14 prior template document?

15 A. Yeah, looking at this I
16 think it was coming from two, if not three, other
17 documents just kind of getting squished together
18 as the basis.

19 Q. And then image 4, and on
20 that it appears it starts off on that page talking
21 about, if I've got it right, an airport runway,
22 but then it's talking about roads in York region;
23 is that right?

24 A. It does appear that way,
25 yes.

1 Q. And it talks about
2 intersections like Green Lane and Woodbine, Davis
3 and Leslie. And is that what you're talking
4 about, about the sort of amalgam of prior
5 documents?

6 A. Yes, I don't even
7 recognize those road names.

8 Q. Is that perhaps a project
9 you weren't involved in?

10 A. It could be, yeah.

11 Q. Well, in your e-mail to
12 your father on the left you did write "similar
13 overall comments to the SDG one." What's SDG, do
14 you know?

15 A. I believe that's Stormont
16 Dundas Glengarry, if I recall correctly.

17 Q. Is that a different road
18 report?

19 A. Yeah, I think so. I
20 don't think that's in York region.

21 Q. I agree. You don't
22 recall specifically, but that's what it refers to;
23 is that right?

24 A. Yeah.

25 Q. Okay. But again that's

1 not an airport project; is that right?

2 A. No.

3 Q. And then in your e-mail
4 you referred to about splitting up the Lincoln
5 Alexander and the Red Hill Valley Parkway. You
6 said I put an extra pin on the Google map to show
7 this as well.

8 So if we could jump forward,
9 Registrar, to image 12.

10 The first figure 1 is the
11 image of the grip tester. And then if we could
12 expand figure 2, please. And I see there's three
13 pins. There is A at the far left and C at the
14 upper right, which are at the ends of the purple
15 section, and then in the bottom there there's a
16 pin B. So can you tell us what you are describing
17 in your e-mail.

18 A. Yeah, so I believe we're
19 looking at actual the A to C is a 17 kilometre
20 stretch as measured by our friends at Google, and
21 then with B demarcating the 10 kilometre,
22 7 kilometre division and I believe this largely
23 lines up with the operator's notes as well.
24 Runways of course are a lot easier to explain
25 where the ends are so I'm trying to add a little

1 bit of a visual to this one.

2 Q. And the pin B, that's
3 around Pritchard Road. Doesn't quite show on
4 there.

5 A. Yes.

6 Q. Is that right?

7 A. Yeah.

8 Q. And then in the far west,
9 the LINC portion that's -- is that at Mohawk Road
10 where it crosses the LINC; is that right?

11 A. Can't quite see -- yeah,
12 okay. Yeah, appears to be, yeah.

13 Q. And then in the far north
14 the Red Hill portion, that ends at Barton Street?

15 A. Yes.

16 Q. Right. And do you recall
17 what the basis was for dividing them between the
18 Red Hill and the LINC in that particular location?

19 A. I don't specifically
20 recall, but I would assume there was something in
21 the operator's notes or the covering e-mail that
22 would have guided on that front.

23 Q. If we can go to image 14.
24 Take that down, Registrar. Appendix 1. This also
25 appears in the final report, right?

1 A. Correct.

2 Q. This is a chart showing
3 appendix 1 "Reference Grip Number Data For Roads:
4 UK Investigatory Skidding Resistance Levels (Risk
5 Rating) For Different Categories of Site."

6 Where did you derive this from
7 and on what basis?

8 A. So on the practical
9 matter this would have been copied and pasted from
10 a previous report. I've come to understand that
11 the original source is UK publication UKPMS I
12 think. More specifically HD28/94 is where this
13 particular table originates from.

14 Q. Okay.

15 A. And here in the bottom it
16 says "it's courtesy of Findlay Irvine," which is
17 the grip tester manufacturer.

18 Q. Right. And sorry, so you
19 said you would have copied and pasted it from a
20 prior report?

21 A. Correct.

22 Q. And at that the time did
23 you have any personal knowledge of the origins and
24 use of that particular chart aside from taking it
25 from a prior report?

1 A. The origins no; I mean,
2 the use is somewhat self-explanatory.

3 Q. And was that -- using
4 this one, was that on your own initiative or your
5 father's instructions or do you recall?

6 A. I do not specifically
7 recall but I would imagine there was a discussion
8 about making that table available in the report.

9 Q. And then if we could go
10 the next image, 15. Do you see at images 15
11 through 18, we will scroll to them in a second,
12 but am I correct that this is what we looked at in
13 the second tab on the Excel sheets?

14 A. It should be identical.

15 Q. This one is -- the road
16 is Lincoln Alexander Parkway. It shows on the
17 first page the -- if you can just expand the top
18 part there, Registrar, with the first few lines.
19 It's a little hard to read. Thank you.

20 And so it gives the chain edge
21 on the left side in 100-metre increments and by
22 column lists each of the lane measurements.

23 A. Yeah. Those would be the
24 right lane, left lane eastbound, and then coming
25 back westbound and centre reference. Yeah.

1 Q. Yeah, the eastbound R, is
2 that the right hand, the outside lane?

3 A. Yeah, that would be the
4 right hand, the outside lane.

5 Q. And L is the left hand or
6 inside lane.

7 A. (No response.)

8 Q. And is this a --
9 appreciating there could be different number lanes
10 and so forth, but is this a typical way of
11 representing the results in the report?

12 A. Absolutely yes.

13 Q. Same with runways?

14 A. Yes, other than instead
15 of lanes we're dealing with just offsets.

16 Q. Okay. And you can take
17 that down, Registrar, and go on to the next page.

18 And here it goes to the
19 10,000 metres. That's the end of the LINC
20 portion; right?

21 A. Hm-hmm.

22 Q. Sorry, is that yes?

23 A. Sorry. Affirmative.

24 Q. It gives a low at the
25 bottom there -- if you can expand that,

1 Registrar -- low 100-metre section and then it
2 gives the -- for each lane, and then it says
3 runway average.

4 A. Yes, so the low 100-metre
5 section is also coming from runways. There's
6 actually different levels requiring corrective
7 action for the runway average as a whole and for
8 100-metre sections. And with regards to runways,
9 that's usually because of concerns about rubber
10 buildup in the touchdown and threshold areas.

11 Q. I see.

12 A. In this case yes, runway
13 average means road average.

14 Q. You can take that down,
15 Registrar. And there aren't any landmarks or
16 anything noted on here, and so -- right. And so
17 is the way -- how does one ascertain the specific
18 location of each 100-metre reading?

19 A. Good question. So
20 especially on a 17 kilometre test run such as
21 this, I mean, it's reasonable to assume that
22 things can be shifted a couple metres one way or
23 the other so really you have to just go by the
24 start point of the tests and go from there.

25 Q. Okay. Sorry, so when you

1 say it can be shifted by a couple hundred metres
2 one way or the other, sorry, what do you mean by
3 that?

4 A. Oh, like over the entire
5 length of the 17 kilometre test section,
6 especially when you're doing four different lanes,
7 you know, the start position could easily be a
8 hundred metres off coming back the other way, for
9 instance. So all this to say it's not a super
10 exact location for the individual 100 metre
11 averages but nor are they completely inaccurate.

12 Q. So just to back up, I
13 guess it depends on what the exact starting point
14 is?

15 A. Yes. Of the run. Of
16 each individual run.

17 Q. And just why would they
18 be at different locations, the starting points?

19 A. Just due the dynamic
20 nature of the testing, getting up to speed,
21 getting the water film applied. I don't know what
22 the arrangements were for a safety escort and
23 things during the time of this testing, but that's
24 usually a factor as well.

25 Q. And so the pin B that we

1 looked at before that you put in the Google map,
2 is that an exact location or demarcation of the --
3 for each lane?

4 A. I would say it's not a
5 super exact demarcation. It could easily be a few
6 hundred metres or a couple hundred metres. I
7 don't even know officially what the demarcation
8 point is on those two.

9 Q. Right, the actual --

10 A. (Speaker overlap) it's
11 one road, right. I don't know where the border
12 is.

13 Q. Okay. If we can go to
14 next image. These are also again the charts that
15 appear in the final report?

16 A. Affirmative.

17 Q. And this one then at
18 image 17 is the Red Hill Valley Parkway portion of
19 it?

20 A. Yep.

21 Q. 7 kilometres. And it has
22 the same -- lanes are set out in the same way,
23 same manner?

24 A. Yes.

25 Q. And as I understand it,

1 the eastbound is -- because of course the highway
2 does change, that's with the starting point being
3 on the LINC it's running east and then goes north
4 onto the Red Hill, right?

5 A. Yes.

6 Q. And relatively westbound
7 for the Red Hill is certainly westbound on the
8 LINC, but when you look at it on a map it's
9 primarily southbound on the Red Hill?

10 A. Yes. So this would all
11 be referenced. The data would have been arranged
12 in a way, so the beginning point is always on the
13 LINC and on the west end of the LINC, and the
14 ending point is always on the north end of the
15 RHVP.

16 Q. Right. And when we were
17 looking at the raw data it was calculated in the
18 basis of one test run?

19 A. Exactly. In the
20 direction of measurement. And certainly with
21 roads people appreciate if you go in the direction
22 of travel.

23 Q. Right. Take that down,
24 Registrar, and then just go to the next page.
25 Expand the bottom bit. Thank you.

1 Then the same low 100 metre
2 section for each lane and the runway average
3 which, as you mentioned, is the lane average.

4 A. Affirmative.

5 Q. Take that down, please.
6 If we go, Registrar, to overview document 6,
7 image 87.

8 This is -- I just want to
9 place something in time before we get on to
10 another topic. So in paragraphs 230 and 231,
11 these are e-mails from Dr. Uzarowski of Golder on
12 January 24th, 2014, to your father Len Taylor
13 asking for the friction testing results and
14 indicating that his client needs a comparison of
15 friction numbers on the Red Hill Valley Parkway in
16 Hamilton from 2007 and 2013:

17 "I have summarized 2007 and
18 need the numbers for 2013. He
19 needs my summary before noon.
20 Could you send 2013 numbers to
21 me."

22 And then if we could just go
23 to image 93 at paragraph 243. If you expand for
24 us registrar.

25 Mr. Taylor, which again refers

1 to your father, on January 26th, two days later,
2 and this is a Sunday, January 26th, 2014, he
3 writes to Dr. Uzarowski. And this is just after
4 he had sent him the Tradewind report earlier that
5 day, and he says:

6 "As you will have noted, the
7 data analysis and report for
8 this project has now been
9 completed. I am sorry for the
10 delays in getting this to you
11 and trust that the summary
12 numbers that were given to you
13 by Rowan on Friday were
14 sufficient for your meeting."

15 Now, take that down,
16 Registrar, and go back to 88, 89. This is back to
17 January 24th at 11:44 a.m. So after the two
18 earlier e-mails that I took you to to your father
19 requesting the information, Dr. Uzarowski e-mails
20 Mr. Gary Moore at the City of Hamilton under the
21 subject line "friction numbers on RHVP."

22 If we can expand e-mail itself
23 on the two pages, please, Registrar. And again
24 you're not copied on this. It's just to place it
25 in time for you.

1 You'll see in the bottom of
2 the first expansion there he's writing about that
3 the friction numbers -- in 2013 the friction
4 numbers were measured on the RHVP in both
5 directions and he gives them the numbers by lane.

6 So do you recall having a
7 discussion with Dr. Uzarowski and providing him
8 with numbers from the Tradewind friction testing?

9 A. I do not specifically
10 recall that, but looking at the e-mails around
11 that time I don't really see how he could have
12 gotten the numbers any other way.

13 Q. Okay. And your dad
14 referred you to having made that --

15 A. Exactly.

16 Q. Okay. So you don't have
17 any specific -- you actually don't recall it but
18 --

19 A. I don't, but it's pretty
20 reasonable to assume looking at the --

21 Q. All right. Did you ever
22 meet Dr. Uzarowski?

23 A. I believe we met at some
24 trade shows or conferences over the years.

25 Q. In providing the numbers

1 to him what would you have told him? Would you
2 have provided any interpretation on it?

3 A. Definitely no
4 interpretation, and that's -- I mean numbers, they
5 are the averages but that's leaving out a lot of
6 context. So it is somewhat surprising as well
7 that I would have communicated or would have
8 agreed to communicate but....

9 Q. But if your father asked
10 you to, you would have done so; right?

11 A. Exactly. And judging by
12 the urgency of Ludomir's e-mails I wonder if that
13 might have played a role.

14 Q. Okay. And when you say
15 that it's leaving out a lot of context with the
16 averages, what do you mean by that?

17 A. I mean everything, right;
18 the test date, the equipment, the locations, the
19 division of the lanes, the minimums, the
20 comparison with the LINC, everything. Just the
21 averages.

22 Q. Right. And just in terms
23 of the lanes themselves, Dr. Uzarowski refers to
24 them as southbound and northbound and we were just
25 talking about it. Do you have any insight as to

1 what information you would have provided to him
2 about the lanes themselves?

3 A. I mean, I guess is he
4 referring specifically to the RHVP portion test
5 data?

6 Q. Yes.

7 A. And do those averages
8 agree with those in the tables of the reports.

9 Q. Well, here's the thing,
10 it turns out that they are reversed so what's
11 southbound actually should be northbound.

12 A. Oh, geez.

13 Q. Yeah. So I appreciate
14 you don't recall the conversation, but do you have
15 any insight into how you would have communicated
16 the information to him?

17 A. I mean, I don't think we
18 use southbound and northbound language in the
19 report or the table so I think it would be more
20 likely to have been communicated in terms of right
21 lane, right wheel, left lane, right wheel.

22 Q. But again you don't have
23 a specific --

24 A. I do not. I do not.

25 Q. And also he reports it as

1 average FN numbers. Is that how you would have
2 reported them to him? Is that your kind of
3 language?

4 A. I believe I would have
5 been more likely to use GN or grip number or just
6 the generic friction. These acronyms, ways of
7 talking about friction can mean slightly different
8 things depending on the context.

9 Q. And in his e-mail to
10 Mr. Moore, Dr. Uzarowski refers to friction
11 testing having been conducted by the MTO on the
12 Red Hill in 2007. Did you have any knowledge at
13 the time of that?

14 A. I did not.

15 Q. And we know that the MTO
16 used an ASTM locked-wheel tester device at the
17 time, which I briefly mentioned to you, and which
18 I take it from your answer earlier you do have
19 some familiarity with?

20 A. I'm aware of it in name.
21 Definitely heard about it, but nothing beyond
22 that.

23 Q. From your experience do
24 you have any knowledge or understanding of how --
25 the correlation of results between different

1 measuring devices?

2 A. Right. How deep do you
3 want me to go?

4 Q. Not too deep. I just
5 want to know what your experience is. Like, for
6 example, between different measures devices that
7 Tradewind uses.

8 A. Right. So between, for
9 instance, the grip tester and an SFT (ph) device
10 that correlation is actually very good, and that's
11 why Transport Canada allows either to be used in
12 reference to the standards.

13 But they use very similar
14 overall test methodologies in terms of the fix
15 (ph) slip ratio and even there is some dimensional
16 sizing between the size of the test tire versus
17 the speed of test and water film depth.

18 More broadly, correlating
19 different test methodologies or equipment types is
20 quite challenging. There are a huge number of
21 variables at play, from the trivial like air
22 temperature and road temperature, but through test
23 tire composition, water film depth, load on drag
24 on the test wheel, whether it's a fixed slip or
25 locked wheel tester type test. Yeah, there's a

1 lot that goes into it -- as I'm sure you and
2 everyone are aware, friction is, unlike mass, not
3 an absolute property, right. It's a property of
4 the interaction between two surfaces in motion and
5 any contaminant or lubricant in between them. So
6 the measurements are very much real, but the way
7 those measurements are conducted is a big part of
8 it.

9 Q. And just in Tradewind's
10 practices with airports, for example, did you
11 switch devices when you're doing the periodic
12 testing over time? Do you use the same device or
13 do you switch devices?

14 A. In general we try to use
15 the same device or at least same device type for
16 historical patterns. That being said, we've
17 certainly done some tests where we would alternate
18 between a grip tester and an SFT-type device and
19 we're confident in the comparability between those
20 two devices.

21 Q. Okay.

22 A. But going from something
23 like a fixed -- a locked-wheel tester to a CFME
24 would be a very different ball game from a
25 comparison point of view.

1 Q. Okay. If we take that
2 down, Registrar. If we can go to image 91. And
3 paragraph 240, as indicated it was on
4 January 26th, 2014 -- thank you, Registrar -- Len
5 Taylor e-mailed Golder, Mr. Henderson and
6 Dr. Uzarowski, providing the final Tradewind
7 report.

8 And an introductory first
9 paragraph, and an apology for the delay in the
10 last paragraph. In the middle paragraph he says:

11 "You will note that while the
12 average grip number friction
13 levels were generally uniform
14 and comparable to or above the
15 relevant reference levels on
16 the Lincoln Alexander Parkway,
17 those from the Red Hill Valley
18 Parkway were considerably
19 below the reference levels and
20 less consistent?"

21 And before getting into the
22 specifics of that, this is consistent with your
23 father's practice to provide a summary when he
24 provided a report to a client?

25 A. Yes, just a high level

1 sort of key takeaway or abstract, if you will.

2 Q. What would he typically
3 include in his summary or abstract? Like when you
4 say key takeaway, what do you mean?

5 A. Yeah, the key takeaway I
6 suppose in this kind of testing is the measured
7 results as compared to the relevant standards.

8 Q. And were you aware, was
9 there any confidentiality restrictions placed on
10 the report by Tradewind?

11 A. Not explicitly, but in
12 the business-to-business world I mean everything
13 is commercial in confidence, not to be widely
14 distributed. There is nothing specifically on the
15 report.

16 Q. Right. Well, that is
17 sort from your perspective, right, you wouldn't do
18 that, but in terms of the client were there any
19 restrictions placed on it?

20 A. Not -- certainly not in
21 writing. Would have been our assumption that it
22 wouldn't be shared widely but --

23 Q. By shared widely you
24 mean what, like --

25 A. Like publicly for

1 instance.

2 Q. But there was no
3 contractual requirement or anything like that?

4 A. No, there was not.

5 Q. And having -- you know,
6 you were involved and crunched the numbers and
7 assisted with the preparation of the report. Do
8 you think your father's summary, does it capture
9 the essence of the report? Is that what you see
10 as the key takeaways?

11 A. Definitely, yes,
12 especially here where we have two sections of
13 roadway being tested on the same day by the same
14 operator with the same equipment under the same
15 test conditions and yielding quite different
16 results, I think that's a really good highlight.

17 Q. At the same time the
18 results tell you that the Red Hill and the LINC
19 are different but not why they are different?

20 A. That is correct.

21 Q. And at the time of the
22 report were you aware that the LINC had been
23 resurfaced in 2011 whereas the Red Hill had not
24 been and had been originally paved in 2007?

25 A. No, I don't think I was

1 aware of the status of the pavement.

2 Q. Do you have any knowledge
3 of whether your father was?

4 A. If he did know it would
5 have likely shown up in the report. That's
6 certainly something we highlight in the airport
7 reports.

8 Q. If there had been --
9 (Speaker overlap)

10 A. If there had been
11 remediation, repaving, rehabilitation, yeah,
12 that's generally something that comes into play.

13 Q. And the report, as we
14 will -- when we go to that now at GOL1113. This
15 is the final report. It's got -- is that the grip
16 tester? Or a version of another machine?

17 A. That is a grip tester. I
18 don't think that's our beloved 081 though.

19 Q. Is 081 still in service?

20 A. Absolutely.

21 Q. Why do you say it's
22 beloved?

23 A. It's been around for a
24 long time. Early '90s that one was procured.

25 Q. So they do last quite a

1 while?

2 A. They do. With regular
3 maintenance and care and love.

4 Q. If we go to the second
5 image, please. So you described your respective
6 roles before. Who wrote the -- this is the final
7 report -- who wrote the narrative in the report?

8 A. I mean, the 95 percent
9 would have been Mr. Leonard Taylor. I think there
10 was a couple of sentences that had come from my
11 pre-draft.

12 Q. Right. The one that you
13 sent before like --

14 A. Exactly.

15 Q. -- the reference to
16 crosswalks?

17 A. Exactly. And the -- you
18 know, where the testing was taking place in the
19 first line there.

20 Q. Okay. And did you have
21 any part in analyzing the results in this case?

22 A. Not beyond the table and
23 graph preparation.

24 Q. And what about the
25 recommendations?

1 A. Not whatsoever.

2 Q. Sorry, none whatsoever?

3 A. None whatsoever.

4 Q. Do you recall if you read
5 the report before he sent it to Dr. Uzarowski?

6 A. Unlikely before he sent
7 it, given that it was sent out a Sunday evening.

8 Q. And of course, as we've
9 seen from the data, the testing is done at
10 50 kilometres per hour or a couple kilometres per
11 hour --

12 A. And --

13 Q. And it references in the
14 third last paragraph there the ASTM 1844 test --

15 A. Correct.

16 Q. And is that -- it
17 specifies the size of the tire as well?

18 A. Yeah, so ASTM E1844
19 standard is for the test tire itself, the size,
20 the composition, inflation pressure, and that's
21 the tire used on the grip tester.

22 Q. Okay. And is that a
23 smooth tire or a ribbed tire?

24 A. That is a smooth tread
25 tire.

1 Q. Does that mean no tread?

2 A. No tread.

3 Q. If we can go to image 3,
4 and if we could expand the middle paragraph that
5 says -- first talking about the Lincoln Alexander
6 Parkway and that the values in the outside lane
7 test runs range from approximately 50 to 55 and on
8 the inside lane test runs the values range from
9 approximately 52 to 60, and again that all the
10 readings were above the investigatory level of
11 48 that was applied. He refers to -- again this
12 paragraph was written by your father as well as
13 the rest of it, is that what you've described?

14 A. Correct.

15 Q. He refers to remarkable
16 consistency of the LINC grip number values and
17 that the narrow range in friction levels is
18 notable for a single road surface of this length.
19 Do you have any insight into that comment beyond
20 what's written or is that based on your father's
21 experience?

22 A. That would be based on
23 his experience but, I mean, it is a narrow range
24 for such a long test section of asphalt. There's
25 no doubt about that.

1 Q. Take that down,
2 Registrar, and pull up image 4 as well along with
3 image 3. If we can go to -- expand bottom
4 paragraph and then the top one of the other
5 image -- bottom of the left image and first
6 paragraph on the right, which are referring to the
7 Red Hill Valley Parkway portion.

8 And your father is pointing
9 out here that the Red Hill numbers were found to
10 generally be well below the referenced
11 investigatory level 2 of 48. And then says most
12 of the length of this road had grip numbers in the
13 range of 30 to 40. Only a short section,
14 approximately 600 metres in length of the
15 right-hand wheel track of the right-hand outside
16 lanes near the southwest end of the parkway had
17 friction levels above the UK investigatory
18 level 2. Then refers to most of the results being
19 between 30 and 40.

20 And then hold that down for
21 one second. Or pull down, please. Expand the
22 next paragraph that says "the data" in the
23 paragraph on the right. And here it says:

24 "The data from all four test
25 runs in the wheel path areas

1 of the Red Hill Valley Parkway
2 was quite consistent when
3 subdivided into 100 metre
4 section values, but did show
5 localized variations of 10 to
6 15 points over relatively
7 short lengths."

8 Do you know that means?

9 Because, I mean, you describe that the
10 measurements are reported in 100-metre segments
11 with the average of the results continuously taken
12 over the 100 metres. But --

13 A. Yeah, so he's either
14 talking about comparison between different
15 100 metre section varying between 10 and
16 15 points, like in a block in an area, or he could
17 be referring to the underlying more granular data
18 as well.

19 Q. And in your experience
20 with the grip tester, what does variability of the
21 results denote?

22 A. It can be a lot of
23 different things. Contaminants, usually rubber,
24 can cause great variability over short lengths.
25 Also the construction of the pavement too, if

1 there was some different technique applied over
2 different parts of it. You know, direction of
3 paving even can play a role.

4 Q. And when you talk about
5 contaminants and rubber, for example, is that
6 based on your runway experience?

7 A. Yeah. So when we're
8 talking about maintenance, friction testing and
9 contaminants affecting the results, most time
10 we're talking about rubber accumulation on runways
11 in the thresholds and touchdown areas. But there
12 are other contaminants that will show up from time
13 to time; sand, dust, in a couple cases pollen
14 actually -- pollen drop can significantly reduce
15 measured friction results.

16 Q. Take that down, please.
17 And we see the bottom of the
18 image on the right which is still image 4, -- if
19 you can pull that up. I think it's cutting off a
20 bit there, Registrar. Am I right or no? I think
21 part of that same page cutting off.

22 A. It is for me as well.

23 Q. It looks like it's
24 larger, if we go to the bottom of that page.

25 (DISCUSSION OFF THE RECORD).

1 BY MR. LEWIS:

2 Q. And actually I want
3 image 4 and 5. There we go. Yeah, if you could
4 expand that and then the top graph. Thank you.

5 So this is the ramps that we
6 discussed earlier when we were looking at the raw
7 data tab on the Excel sheet, and the operator
8 comments by Mr. Hogarth. And so this is, I take
9 it, your having collated that information into a
10 chart that we saw on the draft.

11 A. Affirmative, yes.

12 Q. Okay. And they --
13 there's an explanation below. It discusses the
14 investigatory level to apply to the ramps. But
15 something that you said earlier was that -- I
16 think -- without having your exact words -- was
17 that, you know, if there's a difference in the
18 pavement, typically that's something that your
19 father would note. If there was different types
20 of pavement, that sort of thing. Do you recall
21 saying that? Like, you know, that the kind of
22 thing that you would -- that if there was
23 something that affected the results --

24 A. Oh, oh, oh. If there was
25 knowledge of, for instance, repaving or

1 remediation, yes, yes.

2 Q. And so --

3 A. It's relevant, right,
4 because it can perhaps partly explain results or
5 the different -- difference in results.

6 Q. Right. And so what I'm
7 wondering is we saw Mr. Hogarth's references in
8 the operator comments there to the -- first, the
9 Greenhill onramp with the last 140 metres
10 indicating that it was the Red Hill main line, and
11 for the Stone Church off ramp, his comments
12 indicating in the operator's note that it was -- I
13 don't have the exact wording, but that it was the
14 Red Hill Valley mix as well for that portion, but
15 there's no reference to that here?

16 A. No, I don't think there
17 is.

18 Q. Okay.

19 A. So I don't know it was
20 considered and left out or not considered.

21 Q. Okay. You can take that
22 down, Registrar.

23 And, sorry, before I went on
24 to that, I asked you about the variability of grip
25 tester results, and you mentioned contaminants in

1 particular, but you also talked about construction
2 of the pavement too or different techniques
3 applied over different parts of it.

4 A. And wear as well is a big
5 one both on runways and presumably roads as well.

6 Q. Sorry, the wear being?

7 A. Wear from traffic, from
8 the weight of aircraft or vehicles actually
9 polishing the surface.

10 Q. Right. Oh, so you mean
11 the amount of traffic --

12 A. Yes.

13 Q. -- on a road or the --
14 for a runway the volume of --

15 A. Yes.

16 Q. -- aircraft?

17 A. Yes, absolutely. And,
18 you know, the type of aggregate used in the
19 asphalt, how hard it is affects the wear rate as
20 well. We've seen some runways that become
21 polished like a marble countertop from the wear
22 because the aggregate is soft.

23 Q. Okay. If we could go to
24 image 6, and actually 6 and 7. Why don't we pull
25 them both up.

1 So these are sort of
2 iterations of the same graph that we looked at on
3 the Excel sheets; is that right?

4 A. Yes. So this is right
5 lane, right wheel, left lane, left wheel for the
6 two. Yeah, yeah, so this is a -- two continuous
7 test runs then divided.

8 Q. Right. So this is --
9 when you say "continuous," so if you start on the
10 left, that's going from the far western end of the
11 LINC --

12 A. Western end.

13 Q. -- all the way through,
14 then, on the right image to the red -- the
15 demarcation point that you indicated between the
16 Red Hill and the LINC, and then on the right image
17 is the continuation on the Red Hill; is that
18 right?

19 A. Correct.

20 Q. Okay.

21 A. And for both of these the
22 definition measurement would have been in that
23 direction as well, yeah, because we're doing
24 eastbound, yeah.

25 Q. Right. Eastbound and

1 then northbound.

2 A. Yeah. I can see the
3 confusion.

4 Q. Okay. And then there we
5 see on the right-hand image, that's the -- what's
6 referred to in the report as the 5- to 600 metres
7 of the Red Hill portion?

8 A. Hm-mmm.

9 Q. -- on the outside lane
10 that what's similar to the LINC values?

11 A. It's quite striking that
12 particular one because, yes, it certainly looks
13 more like a continuation of the LINC surface as
14 compared to the left lane one.

15 Q. Right. And that's --
16 again, you don't know what the surface pavement
17 was or the surface mix, but that's something you
18 infer from the results; is that right?

19 A. That would be reasonable
20 to infer from these results, yes.

21 Q. Okay. And then if we
22 could pull up images 8 and 9.

23 And so this is the westbound
24 readings for both lanes, right?

25 A. That is westbound

1 exactly. So in this case -- well, south and
2 westbound, so they would have been --

3 Q. South and westbound.

4 A. -- recorded from the
5 17-kilometre mark towards the zero mark.

6 Q. Okay. And so in that
7 respect they would have started at the northern
8 portion of the -- or far eastern portion, but the
9 northern portion of the Red Hill Valley Parkway
10 and then on to the LINC; is that right?

11 A. I mean, I don't know
12 100 percent, but that's very logical to assume.

13 Q. Okay.

14 A. Otherwise I suppose they
15 would have had to close the road.

16 Q. Right. And again, the
17 same continuous reading between the two?

18 A. Yes.

19 Q. Okay. And -- then again,
20 we see the -- on the right-hand image we see the
21 approximately 600 metres at the -- which are
22 similar to the LINC readings, LINC portion
23 readings and then dropping off after that?

24 A. Yes, yes, which is also
25 the outer lane. There's also a bit of a steady

1 offset between the left and the right lane here
2 too.

3 Q. Sorry, a steady offset?

4 A. Like about a 58-point
5 offset between those two which is interesting as
6 well.

7 Q. Sorry, do you mean in
8 the -- on the --

9 A. Between the two lanes on
10 the LINC.

11 Q. Right. And that can --
12 one is the outside lane -- the lower -- the right
13 lane is the outside lane, right?

14 A. Yes.

15 Q. Which would typically
16 have higher traffic volumes or is that something
17 that you --

18 A. I mean, I can't really
19 comment on that, but....

20 Q. Okay. Okay. And then
21 image 10 and 11, can we pull those up.

22 And so this is the centre
23 reference for, again, the LINC on the left and the
24 Red Hill portions on the right. And again, is
25 this the same continuous reading from left to

1 right?

2 A. This would be, yes. And
3 I don't recall there -- I think it was mentioned
4 there -- which lane it was conducted in. I
5 believe it was on the outside lane.

6 So if we go to I believe --
7 we'll go back to it, but it says -- it's at
8 image 4, it says that the grip tester measurements
9 from the centre of lane reference test run on the
10 outside lane.

11 A. Perfect.

12 Q. Which we know that.

13 Okay.

14 A. So we're seeing that same
15 approximately 600-metre section there.

16 Q. Right. On the outside
17 lane?

18 A. Hm-hmm. Which is
19 consistent with the right-hand wheel path of the
20 right-hand lane as well.

21 Q. Right. And there --
22 yeah, the reference is there in the -- what the
23 Registrar pulled off in the middle paragraph.

24 A. Perfect.

25 Q. Okay. Thank you. Okay.

1 And if we could go to image 14.

2 Is the same appendix 1 that we
3 talked about from the draft, from the U.K.
4 investigatory skidding resistance levels.

5 A. Yes, I believe it's
6 unchanged.

7 Q. Right. And the grip
8 number of 48, I think you had indicated that it
9 was -- and the report indicates that it was for
10 dual carriageway?

11 A. Yes, which is a very
12 British term --

13 Q. Yes.

14 A. -- for divided highway.

15 Q. It is. What about
16 motorway? What's the difference? Do you know?
17 It gives the same rating. It gives the same --

18 A. I guess the motorway is
19 the M-class roads.

20 Q. Okay. But is it -- from
21 your reaction is it fair to say you're not sure?

22 A. I'm not really sure, no.

23 Q. Okay.

24 A. And presumably single
25 carriageway is like a two-lane divided road.

1 Q. Right. Would you have
2 gotten direction from your father on that? Do you
3 know?

4 A. I might have.

5 Q. All right. And then just
6 to go to images 15 and 16. Yeah, 15 and 16.

7 Very small, but these are
8 the -- on these pages the Lincoln Alexander
9 Parkway detailed results from the zero to
10 10,000 metres.

11 A. Okay.

12 Q. And again, these are the
13 same ones we looked at earlier, is that right, in
14 the draft?

15 A. Yes, I would imagine
16 they're identical.

17 Q. And the next two images,
18 Registrar. 17 and 18.

19 And this is the Red Hill, the
20 correlative Red Hill portion from 10,000 to
21 1,700 metres.

22 A. Hm-hmm.

23 Q. If we could go back to
24 image 13 and pull out the middle -- sorry, the
25 first and second paragraphs. Yeah.

1 Conclusions and
2 recommendations. Did you have any part in
3 formulating the recommendation?

4 A. I did not.

5 Q. Okay. And did you have
6 any discussion with your father about the
7 recommendations that you recall?

8 A. Not to my recollection.

9 Q. Okay. And after setting
10 out the results, in the last sentence there it
11 says:

12 "We recommend a more detailed
13 investigation be conducted and
14 possible remedial action be
15 considered to enhance surface
16 texture and friction
17 characteristics of the Red
18 Hill Valley Parkway based on
19 the friction measurements
20 recorded in the current
21 survey." (As read)

22 And do you know basis for his
23 recommendation?

24 A. I would imagine the basis
25 is on the measured results in comparison with the

1 U.K. standards. As noted in the report we're not
2 aware of any Canadian friction standards for
3 roads, and I'm sure, partly informed by our
4 knowledge of the standards for runways here in
5 Canada, those results are concerningly low.

6 Q. But it's not a runway?

7 A. It is not, no. And I
8 guess you could make the argument that less
9 friction is required on roadways as compared to
10 runways.

11 Q. But your father did have,
12 as you indicated, some experience with roadways?

13 A. Yes.

14 Q. And what sort of remedial
15 action might be contemplated there, again, if you
16 know?

17 A. Yeah. So that really
18 depends on the underlying cause of the low
19 recorded results. In the case of rubber deposits
20 you have two main methods available to remove the
21 rubber: One via chemical application and
22 essentially dissolve and sweep away the rubber,
23 the other would be actual just mechanical brushing
24 it away versus if the -- if it's a texture, you
25 know, microtexture macrotexture polishing-type

1 issue that's causing the reduction. Generally
2 it's called shot blasting where little steel balls
3 are fired at the pavement to actually chip it away
4 and try and recover the macrotexture.

5 Q. And again, you're
6 speaking about runways from your --

7 A. Yes. I would assume that
8 similar techniques would be applicable to
9 roadways, and I mean, I suppose that resurfacing
10 is also an option.

11 Q. Right.

12 A. Grooving actually, yeah.
13 There's -- on runways occasionally, especially
14 recently grooving is another approach that has
15 been taken.

16 Q. That's where they are
17 actually -- I don't know --

18 A. Diamond cutting grooves,
19 yeah, yeah. It -- that does --

20 Q. Diamond grinding, is
21 that --

22 A. Yeah, yeah. And I mean,
23 that will drastically improve the drainage
24 properties for sure, so to the macrotexture but
25 even more than macro because they are usually

1 about an inch apart or so.

2 Q. And what about -- do you
3 know what he meant by "a more detailed
4 investigation"?

5 A. Not specifically but, you
6 know, whether that be ongoing or frequent, more
7 frequent or measurements all the way through to --
8 yeah, what is the actual problem here? Is it the
9 pavement? Is it the wear? Is it the
10 contamination? Yeah.

11 MR. LEWIS: Commissioner, it
12 is -- I'm just going to move on to the Golder
13 report. It's just before is 11:30. Would this be
14 a good time for the morning break?

15 JUSTICE WILTON-SIEGEL: Yeah,
16 why don't we take our break. We'll stand
17 adjourned until quarter to 12:00.

18 --- Recess taken at 11:27 a.m.

19 --- Upon resuming at 11:45 a.m.

20 BY MR. LEWIS:

21 Q. So, Mr. Taylor, we know
22 that Golder -- just put some context for you --
23 sent the Tradewind report to the City of Hamilton
24 as an appendix to a draft report by Golder, and in
25 the inquiry we generally call it the Golder

1 report. And then the entire Golder report,
2 including the Tradewind report appended to it, was
3 marked -- watermarked as "draft."

4 Did you know -- or -- did
5 you know, first of all, that Golder was using the
6 Tradewind report as part of a broader analysis?
7 Is that something you had any appreciation of?

8 A. I don't believe I knew,
9 and probably we didn't specifically know it was
10 going to be copy pasted into a different report
11 going to the next person in the chain.

12 Q. Okay. When you say "we,"
13 you mean your father and --

14 A. Yeah, yeah, we at
15 Tradewind.

16 Q. And do you know if there
17 was any appreciation that it was going to be
18 marked as draft. We've heard that it was an error
19 that it was marked as draft, but do you have any
20 knowledge of that?

21 A. Again, I don't, and also
22 I doubt that we did, and furthermore upon being
23 e-mailed on Sunday the 24th of January, it was by
24 no means a draft from our point of view. It's
25 very rare that we would ever modify after

1 distribution to the client.

2 Q. It was a final report?

3 A. Yeah, absolutely. Yeah.

4 Q. And it was actually the
5 26th?

6 A. Oh, sorry.

7 Q. And do you recall when
8 you first became aware of the Golder report?

9 A. Of the Golder report.
10 That's pretty recently through this inquiry
11 process.

12 Q. Through the inquiry
13 process?

14 A. Yeah.

15 Q. Okay. And if we can go
16 the Golder report. It's at GOL2981. And if we
17 could go to image 10, please. And so in section
18 5.0, friction testing, if you could expand that
19 whole section for us, please, Registrar. Thank
20 you.

21 So here's the summary, and the
22 summary numbers are the same ones that were in the
23 e-mail we looked at earlier. And you've had a
24 chance to review this in the context of the
25 inquiry?

1 A. Yes, I have.

2 Q. Okay. And did you
3 have -- do you have any views on the summary in
4 relation to the Tradewind report?

5 A. It's fairly short.

6 Q. Yeah.

7 A. In my view, leaving out
8 quite a bit of context. So I guess there's an
9 argument (indiscernible) an appendix. One can
10 also just read the appendix. And then,
11 furthermore we've got a different naming
12 convention for the lanes which perhaps that's a
13 no-more-road kind of naming. But I wouldn't
14 immediately know which one is lane 1 and which one
15 is lane 2. But of course we get back to this
16 north, east, west, south issue as well.

17 Q. Right.

18 A. But if the whole report
19 is included as an appendix, you know, perhaps
20 that's standard practice from --

21 Q. Okay.

22 A. -- (indiscernible) part.

23 Q. All right. What about
24 the -- there is no reference to the -- there's a
25 reference to the LINC in the testing, but it

1 doesn't actually provide those results. Do you
2 have a view about that?

3 A. Yeah, that is curious. I
4 mean, I've been a bit curious. The original work
5 we were contracted to do, was it -- why were both
6 pieces of pavement tested. It was only -- was
7 only the RHVP of actual interest, were we testing
8 other as a comparison. That's been a curious one
9 as well because like you say it's sort of missing
10 half the data from our point of view from the data
11 we collected.

12 Q. Right. Now, in fairness
13 this is a -- the Golder report is about the Red
14 Hill itself?

15 A. Right. So I guess then
16 question is why was data collected on the LINC.

17 Q. Okay. Okay. And if we
18 could go to Golder GOL4370. And this is the
19 Tradewind invoice to Golder dated January 24th,
20 2014 for \$4,925 plus tax for the testing in the
21 Tradewind report.

22 Were there any further
23 pavements received from Golder by Tradewind in
24 respect of this work?

25 A. We did a review of the

1 accounting system and did not find any other
2 payments, no, or invoices.

3 Q. Okay. And has Tradewind
4 been contracted by Golder since then?

5 A. Not to my knowledge.

6 Q. Take that down,
7 Registrar. And if we can go to overview
8 document 7, different -- overview document 7 and
9 image 82, please. And paragraph 257, if you could
10 expand that for us.

11 And so in this one on
12 December 17, 2015 Dr. Uzarowski responded to your
13 father's January 26th, 2014 e-mail with which he
14 sent the Tradewind report originally, and he asked
15 questions about the standards and -- for the
16 testing, and if there's any correlation between
17 GTN grip tester number and FN friction number and
18 indicated that the GTN limits you gave in the
19 report are from the U.K.

20 Do you know what limits are
21 typically used in the U.S. or Canada? And you
22 were copied on this e-mail. It doesn't mention it
23 in the overview document, but I can tell you that
24 you were copied.

25 A. And this is about

1 23 months after the report was sent, right?

2 Q. No, it's under two years,
3 so this is December 17, 2015, so I guess it's --
4 oh, sorry, you said 23 months.

5 A. Right.

6 Q. Yeah, 23.

7 A. Yeah.

8 Q. Your math is better than
9 mine because you're an engineer and I'm not. Or
10 rather -- I'm definitely not a engineer. So --

11 A. Me neither.

12 Q. Yeah, exactly. That's
13 why I took it back. So you did receive this, but
14 prior to this, between the sending of the
15 Tradewind report and the receipt of this e-mail,
16 do you recall or know if there were any
17 communications with Golder in the interim period?

18 A. I do not know or recall.
19 I mean, Len used to get a huge number of e-mails,
20 but no, I don't believe so.

21 Q. Okay. We don't any
22 record of any --

23 A. Yeah, yeah.

24 Q. -- communications, so if
25 you're aware of any calls or anything of that

1 nature.

2 A. I'm not.

3 Q. Okay.

4 A. It's a pretty specific
5 question to be asking almost two years later.

6 Q. Fair. To your knowledge
7 until this e-mail did Golder ever raise any
8 questions about comparing friction testing
9 methodologies or standards to apply to the
10 testing?

11 A. Not to my knowledge, no.

12 Q. And did you yourself ever
13 discuss these issues with Dr. Uzarowski following
14 this e-mail?

15 A. No.

16 Q. And we do have e-mails
17 later, if we could go to images 113 and 114,
18 paragraphs 361 through 364. You don't have to
19 expand them.

20 There's communications
21 between -- on February 19th through the 22nd there
22 are e-mails between your father and Dr. Uzarowski,
23 Dr. Uzarowski follows up from earlier e-mail, and
24 then there's back and forth.

25 Do you recall if you were

1 aware of these at the time?

2 A. The exchange? I mean, I
3 don't specifically recall the exchange.

4 Q. I don't think you're --
5 I'm going to check. I don't think you were copied
6 on those e-mails, but I don't want to misspeak so
7 just give me one moment.

8 A. Comparison between grip
9 tester and E274 locked-wheel friction measurement
10 trailer. Interesting.

11 Q. Yeah, so you're not
12 copied those e-mails.

13 A. Okay.

14 Q. But you're -- if you
15 know --

16 A. Too bad. I would like to
17 see that document.

18 Q. And do you recall any
19 discussions with your father about those at the
20 time? Did he make you aware?

21 A. I don't, no, no.

22 Q. Okay. And as you --
23 you're probably aware through the inquiry. How
24 did you learn that the City had concerns about
25 whether the information in the Tradewind report

1 had been appropriately shared?

2 A. I mean, exclusively
3 through this inquiry process.

4 Q. Okay. Do you recall when
5 you became aware?

6 A. What year was that? Was
7 that late 2018 or --

8 Q. No.

9 A. -- late 2019.

10 Q. February 2019 was when it
11 came out in the media, early February 2019.

12 A. Yeah, yeah.

13 Q. So is it around that time
14 when it came --

15 A. Yeah, around that time,
16 yeah.

17 Q. Did you learn about it
18 through the media?

19 A. Yeah, I think it was a
20 phone call into our office as from some media
21 outlet.

22 Q. Oh, from the press?

23 A. Yeah.

24 Q. Okay. And did anyone at
25 the City contact you or your father about this?

1 A. Yes. My understanding is
2 that maybe a couple of weeks after it was in the
3 press, the City auditor had a phone conversation
4 with Mr. Leonard Taylor.

5 Q. Okay. But you weren't --

6 A. I was not party to that.

7 Q. Okay. You can take those
8 down, Registrar. And if we could go to RHV889.

9 So this is a letter dated
10 June 17, 2021 responding to a letter as indicated
11 there dated May 31st, 2021 from Rob Centa, our
12 former partner and lead commission counsel, and
13 wrote a letter asking a number of questions which
14 you then responded to in this letter by repeating
15 the questions and then providing the answer. Do
16 you recall this letter?

17 A. I do. They were getting
18 closer to the present day.

19 Q. It always helps to be
20 closer?

21 A. It helps a lot.

22 Q. Usually does. Okay. And
23 you wrote this letter?

24 A. Yes.

25 Q. Okay. Could we -- this

1 is not in the overview document. If we could mark
2 this as an exhibit, Commissioner. It would be
3 Exhibit 103.

4 JUSTICE WILTON-SIEGEL: Thank
5 you.

6 THE REGISTRAR: Noted,
7 Counsel. Thank you.

8 MR. LEWIS: Thank you.

9 EXHIBIT NO. 103: Letter dated
10 June 17, 2021 to Robert Centa
11 from Rowan Taylor; RHV889

12 BY MR. LEWIS:

13 Q. And the questions asked
14 were to respond to some issues arising from the
15 work conducted by CIMA, and specifically a
16 memorandum to mayor and council dated
17 February 4th, 2019 and a final report dated
18 May 2020. And I understand from your letter that
19 in answering some of these questions you consulted
20 with the manufacturer of GripTester which is
21 Findlay Irvine of Scotland?

22 A. That is correct, yes.

23 Q. Okay. And so there's
24 some overlap in the questions. I principally just
25 want to talk about the investigatory level chart

1 that your father and used in the Tradewind report
2 to establish the investigatory level of 48.

3 So if we keep the letter up,
4 and then if we could pull up HAM12842 which is the
5 CIMA February 4th, 2019 memo to mayor and council.
6 And if we could go to image 4 of that memo. And
7 it's the last two paragraphs of that page which
8 was what we asked you about in question 1,
9 responded to in your letter.

10 So -- and this is about the
11 reference table showing the threshold levels and
12 where that was from. And then -- one second --
13 and the point that CIMA makes is Tradewind used a
14 different chart than is typically applied.

15 If we go to the next image on
16 the right-hand memo. It's a little -- if you
17 just -- perfect there. Yeah. Thank you.

18 And CIMA says a more -- this
19 is a different chart that should be used, and it's
20 the U.K., PMS table which for dual carriageway
21 shows a 41 or 47. You see that?

22 A. Yes.

23 Q. Okay. And if we go back
24 to your letter, if we look at image 2. Yeah. Go
25 to the next image, please. Thanks. And then the

1 top -- if you could just expand those last top
2 three paragraphs. Thank you.

3 If you could explain -- if you
4 could just explain what you ascertained from your
5 discussion with Findlay Irvine and your review.

6 A. Absolutely. So in
7 speaking with Findlay Irvine, it looks like the
8 original table in our report comes from the same
9 U.K., PMS source, but specifically HG2894, so 1994
10 is where that comes from, those numbers come from.
11 There -- at the time of the report was created,
12 there have since been -- have since been an
13 updated version, HG2804, 2004, which is -- my
14 understanding is the ones in the CIMA reports.
15 And so they are similar, but they are not
16 identical.

17 Q. Right. And the
18 investigatory level is lower?

19 A. So, yeah, for dual
20 carriageway in the 2004 version investigatory
21 level appears to be either 41 or 47 as opposed to
22 the 1994 version which is 48. A little unclear me
23 even today what you're supposed to do with a range
24 when using it as a threshold.

25 Q. Okay. And were you and

1 your father in 2014 not aware of the more recent
2 2004 version?

3 A. I certainty was not, and
4 I don't believe Len was either.

5 Q. Okay. And would
6 Tradewind's recommendations in the Tradewind
7 report have changed in your estimation had you
8 applied the more recent investigatory levels that
9 CIMA identified?

10 A. I don't think they would
11 have changed much at all, we tend to analyze data
12 on the more conservative side, so we would've used
13 the 47 number which is, you know, one point down
14 which makes very little difference to the analysis
15 and conclusions.

16 Q. Right. Well, what if it
17 was the 41?

18 A. The 41 I guess you're
19 closer to the thresholds on the RHVP, so, yeah,
20 perhaps.

21 Q. Well, it's still below,
22 though?

23 A. Still below, it's just
24 less below, right, so....

25 Q. Okay. And does it change

1 what the test results themselves are?

2 A. It in no way changes the
3 measured test results.

4 MR. LEWIS: One moment,
5 please. Thank you very much. I do not have any
6 further questions.

7 So counsel have indicated
8 that -- at least two counsel that they have
9 questions, and I'm not sure who wants to go first,
10 as the longer estimates were for Ms. Contractor
11 for the City and Ms. Roberts. We didn't discuss
12 who was going to go first. So perhaps Ms. -- I
13 think Ms. Contractor was expecting to go longer,
14 so perhaps she could go first.

15 MS. CONTRACTOR: Certainly,
16 thank you. Mr. Commissioner, may I proceed?

17 JUSTICE WILTON-SIEGEL: Yes,
18 please proceed, Ms. Contractor.

19 EXAMINATION BY MS. CONTRACTOR:

20 Q. Good morning, Mr. Taylor.
21 My name is Delna Contractor. I'm counsel to the
22 City of Hamilton. I'm just going to ask you a
23 couple of questions with respect to some of the
24 matters that commission counsel took you through.

25 And, Mr. Registrar, could we

1 please go to TRW71.

2 And so commission counsel took
3 you to this e-mail from Mr. Hogarth. And,
4 commission counsel -- I wonder if we could make it
5 a bit bigger. Or just call out the body of the
6 e-mail perhaps, and particularly the e-mail at the
7 bottom.

8 And so this e-mail from
9 Mr. Hogarth to Mr. Leonard Taylor in which
10 Mr. Hogarth says then the Red Hill Valley Parkway
11 is the pavement of concern and has the lower
12 friction values. And I take it from your evidence
13 this morning that you don't recall any further
14 discussions with Mr. Hogarth regarding what he
15 meant by "pavement of concern."

16 A. I do not, no.

17 Q. And I take it that he may
18 have just been referring to the difference in
19 friction values between the Red Hill and the LINC?

20 A. Yes. I would say that
21 there are two interpretations there. That is one
22 of them. The other is that he was advised on-site
23 that the RHVP was of more concern than the LINC.

24 Q. So he was advised
25 on-site. Who would have advised him of that?

1 A. He may have been. Who --
2 I mean, anybody else who was on-site at the time.

3 Q. I understand. And I take
4 it that you're not aware of any City staff that
5 were on-site?

6 A. I actually have no idea
7 who else was on-site at the time.

8 Q. And you typically -- you
9 also stated that typically Mr. Hogarth flags the
10 results that are lower which may encourage
11 Tradewind to process the data on a more priority
12 basis?

13 A. That is correct, yes.

14 Q. And I believe you stated
15 that an eight-week turnaround time approximately,
16 which is what we see here is your typical
17 turnaround time?

18 A. Not unusual.

19 Q. Right. So fair to say
20 that Tradewind did not need to see -- did not have
21 any need to prioritize the review of the Red Hill
22 data on a priority basis?

23 A. I don't know if it's fair
24 to say we didn't need to, but we certainly don't
25 appear to have prioritized it.

1 Q. Right. You didn't
2 identify as something that needed to be
3 prioritized at the time?

4 A. I'm saying we didn't --
5 we did not appear to prioritize it at the time.

6 Q. Okay. And you've stated
7 that one of the key findings of the Tradewind
8 report was the difference in friction values
9 between the Red Hill and the LINC. And I take it
10 that generally speaking friction values of a
11 roadway decrease over time?

12 A. I don't know how much I
13 can comment on friction values on roadways in
14 particular and their trends over time, but
15 certainly that's something that we see in the
16 airport runway data.

17 Q. Okay. And I understand
18 from your evidence this morning that at the time
19 that Tradewind was preparing the report, they were
20 not aware that the LINC was resurfaced in the
21 summer of 2011?

22 A. That is my understanding,
23 yes.

24 Q. And so the -- when
25 Tradewind tested the friction levels on the LINC,

1 that was just over two years before -- sorry,
2 after the resurfacing was completed?

3 A. Okay.

4 Q. And the Red Hill on the
5 other hand opened in 2007, and so that testing was
6 completed nearly seven years after it opened. And
7 this morning you stated that knowledge of repaving
8 or remediation of a roadway is important and
9 usually included in your reports because it could
10 explain -- help explain the difference in results.

11 And so based on that, is it
12 fair to say that in 2013 the LINC would be
13 expected to have higher friction levels than the
14 Red Hill given that it was resurfaced in 2011?

15 A. I think that you have to
16 consider a lot of factors there in addition to the
17 age, right. If all else being equal, that is the
18 same pavement applied in the same conditions, you
19 know, by the same folks with the same traffic
20 levels over the amount of time, then, yes, you
21 could draw that conclusion, but there are a lot
22 variables at play there.

23 Q. Certainly a lot of
24 variables, and one of those is how recent the
25 roadway was resurfaced, correct?

1 A. Yes. And in some case a
2 brand new paved job can actually result in
3 decreased friction levels that then improve over
4 the first year or so in service.

5 Q. Right. And did you
6 understand the LINC to have pavement that would
7 have lower friction values that would increase
8 over time?

9 A. I don't have any specific
10 understanding in that regard.

11 Q. Okay. And if we could go
12 to Golder -- sorry, I'll just -- I'll add that
13 given that the LINC was resurfaced two years -- at
14 least or almost two years before the testing, any
15 kind of early age friction issue wouldn't be
16 contributing to the results at that point?

17 A. Yeah, in our experience
18 that is correct.

19 Q. Okay. And would be go to
20 GOL1113, please. And image mean 6 and 7. Thank
21 you.

22 Commission counsel took you to
23 these graphs and noted the difference in friction
24 values between the Red Hill and LINC and again
25 asked whether it was reasonable to infer that

1 surface mix might help explain some of that
2 difference. And I think you stated that it would
3 be reasonable to infer that. And again, I take it
4 that the fact that the LINC was resurfaced two
5 years prior to the time testing versus seven years
6 for the Red Hill, that could also help explain the
7 difference or at least help explain some of the
8 difference.

9 A. It could. Of course,
10 it's also possible that a piece of pavement is
11 resurfaced with pavement with worse friction
12 characteristics, right. It's not a guarantee
13 they're better than what is already there.

14 Q. Right. And do you have
15 any reason to believe that the LINC was resurfaced
16 in 2011 with pavement with worse friction
17 characteristics?

18 A. I do not.

19 Q. Okay. I understand that
20 generally Tradewind does friction testing in the
21 summer through to October. Does that sound right?

22 A. Yes.

23 Q. And you stated as well
24 that contaminants on the road could throw off the
25 friction testing results?

1 A. Absolutely.

2 Q. Okay. Would that include
3 brine or other materials that are laid down on the
4 road as a preventative winter control measure?

5 A. Yeah, yeah. I mean, a
6 liquid brine, if it's of a substantial depth,
7 could certainly affect the results. Even residue
8 from the salt from the brine could be foreseen to
9 affect the results.

10 Q. Thank you. And could we
11 please go to TRW36.

12 So this is the initial e-mail
13 from Dr. Henderson at Tradewind requesting
14 friction testing on the Red Hill and the LINC.
15 And I appreciate that you're not on this e-mail,
16 but just wanted to pull it up so you have a sense
17 of the initial communication.

18 Do you recall whether Golder
19 at any point advised Tradewind that the reason
20 that the City wanted to conduct friction testing
21 was as a result of comments from the police that
22 the slipperiness of the pavement was contributing
23 to collisions?

24 A. I do not have any
25 knowledge or recollection of that, no.

1 Q. And did anyone at
2 Tradewind advise, you or your father, for example,
3 that they were given that information from Golder?

4 A. I don't believe so, no.

5 Q. Okay. And, Mr. Taylor, I
6 take it that repeat friction testing is usual in
7 assessing the friction patterns over time?

8 A. Yes. And I believe I
9 alluded to that earlier my testimony about the
10 frequency at least for airports is definitely a --
11 a one shot is -- it tells you -- it gives you
12 data, but it doesn't help you at all with trends.

13 Q. Right. And so that
14 comparative information provides important data
15 about how the road is doing from a friction
16 perspective?

17 A. The pavement, yeah,
18 definitely.

19 Q. Right. And then we can
20 go to it if you'd like, but we know that the
21 recommendation in the Tradewind report with
22 respect to the Red Hill was that a more detailed
23 investigation be completed?

24 A. That is correct, yes.

25 Q. And am I correct that one

1 such type of investigation would be to compare the
2 friction testing data over time?

3 A. That would definitely be
4 one of the arms, yes.

5 Q. Okay. And if we go to
6 Tradewind 36 -- oh, which are at. Sorry, I have
7 the wrong reference. But I'll ask you, and if you
8 need to go to the e-mail, we can certainly do
9 that.

10 Commission counsel took you to
11 correspondence from Dr. Uzarowski to Mr. Leonard
12 Taylor prior to the Tradewind report having been
13 issued and provided to the client in which he
14 stated that his client, the City, was looking for
15 comparison between friction testing data that was
16 done in 2007 and the testing that Tradewind did in
17 2013. And at that point when Dr. Uzarowski sends
18 your father the e-mail, the Tradewind report is
19 not finalized.

20 I take it that no one from
21 Golder provided Tradewind with the 2007 testing
22 data to compare it to the Tradewind data?

23 A. No, and I don't think
24 we've seen it as yet.

25 Q. Okay. And if the City

1 understood that the 2013 results were higher than
2 the 2007 results, that would be -- as you agreed
3 to earlier, that would be part of the
4 investigation, the additional investigation that
5 the road -- that the report recommends?

6 A. Yes. I mean, that's how
7 many years. That's six years even before you get
8 into the different test methodologies, but it's a
9 piece of the puzzle for sure.

10 Q. Okay. And is it fair to
11 say that based on that comparison, they may come
12 to the conclusion that additional remedial
13 measures may not be required; that that could be a
14 reasonable conclusion based on that comparison?

15 A. Who is "they" in this
16 context?

17 Q. The City.

18 A. The City. I mean, we
19 can't really say what the City should or should
20 not be concluding.

21 Q. Is that a reasonable
22 conclusion? If the 2013 results they understood
23 were higher than the 2007 results, the
24 recommendation in the report is to do further
25 investigation, and you've --

1 A. Okay.

2 Q. -- told me comparison is
3 one such investigation?

4 A. Yeah, it's one of a few
5 different elements of further investigation.

6 Q. Right. And am I right
7 that the report doesn't identify specific kinds of
8 investigations, correct?

9 A. It does not, no. Because
10 it could range from further measurements to an
11 investigation of the root cause for the low
12 friction values.

13 Q. Right. And am I correct
14 that Golder did not ask Tradewind to do any
15 additional follow-up friction testing on the Red
16 Hill?

17 A. That is my understanding.

18 Q. And do you know whether
19 Tradewind ever contacted Golder just to follow up
20 to see whether they needed any more friction
21 testing.

22 A. I do not know, but I --
23 knowing us, I doubt it.

24 Q. And I would like to go
25 GOL113 and to image 13 specifically.

1 THE REGISTRAR: Sorry,
2 Counsel, do you mind just repeating the doc ID for
3 me.

4 MS. CONTRACTOR: Certainly.
5 GOL1113, and image 13, please.

6 BY MS. CONTRACTOR:

7 Q. So this is the conclusion
8 and recommendation section, of course, of the
9 Tradewind report. And again, as we discussed
10 earlier, the recommendation with respect to the
11 Red Hill was that a more detailed investigation be
12 completed.

13 The report does not provide a
14 timeline by which the investigation should be
15 completed; is that fair?

16 A. That's fair.

17 Q. And that reflects the
18 fact that friction measurements that are at
19 investigatory levels are not a definitive
20 indication that a location is unsafe; is that
21 fair?

22 A. I think in general. I
23 can't really speak to the safety or lack thereof
24 of a pavement surface.

25 Q. Certainly if the -- if

1 Tradewind thought that the investigation needed to
2 be done urgently or that there was -- it was a
3 time sensitive matter, it would have stated that
4 in the report?

5 A. I don't know how we would
6 ascertain the urgency in this case especially with
7 the lack of standards in Canada to compare it to.

8 Q. Understood. So --

9 A. For reference, in the
10 airport standards there is actually -- there are
11 levels at which remedial action must be taken,
12 must be programmed. So there is more of a
13 timeline in that case.

14 Q. Right. And there being
15 no such timeline here, none was included in the
16 report?

17 A. Correct.

18 MS. CONTRACTOR:

19 Mr. Commissioner, may I have a moment to consult my
20 notes?

21 JUSTICE WILTON-SIEGEL:

22 Absolutely, Ms. Contractor.

23 MS. CONTRACTOR: Thank you,
24 Mr. Taylor. Those are all my questions. Thanks
25 for your time today.

1 THE WITNESS: You're most
2 welcome.

3 JUSTICE WILTON-SIEGEL:
4 Ms. Roberts, please go ahead.

5 MS. JENNIFER ROBERTS: Thank
6 you.

7 EXAMINATION BY MS. JENNIFER ROBERTS:

8 Q. Mr. Taylor, I'm Jennifer
9 Roberts. I'm counsel for Golder. I have a very
10 short series of questions.

11 You mentioned a couple of
12 occasions contamination on a surface in the form
13 of rubber.

14 A. Yes.

15 Q. And I take it that's
16 something that's experienced on runways in
17 particular?

18 A. Yeah, that is one of the
19 most -- one of the highest concerns on runways,
20 especially those that are heavily trafficked. In
21 fact, a normal size aircraft leaves about a
22 kilogram of rubber behind on every landing, and
23 obviously, you can do the math, that can add up
24 quite quickly.

25 Q. Got it. So do I

1 interpret from that that you would find
2 contamination in the landing zone on a runway?

3 A. Correct. They call it
4 the touch down zones, just in from the two ends.

5 Q. Okay. And perhaps it's
6 an obvious point, but just for clarification I
7 take it that that is not an experience that
8 roadways are -- that roadways have?

9 A. I mean, I would imagine
10 that there would be some rubber deposits
11 especially around -- if there were braking zones
12 or acceleration zones or cornering zones, but I
13 would also I take it that it's much less of a
14 concern on roadways.

15 Q. And in -- okay. So do
16 you have any experience in testing where
17 contamination on a runway was discovered. Sorry,
18 let me rephrase that, where a contamination on a
19 roadway was something that was observed?

20 A. Contamination on a
21 roadway, no, I don't believe we have any specific
22 experience there.

23 Q. Okay. And particularly
24 contamination in the form of a rubber deposit on a
25 roadway?

1 A. Correct.

2 MS. CONTRACTOR: Okay. Those
3 are my questions. Thank you.

4 THE WITNESS: That was short.

5 JUSTICE WILTON-SIEGEL: Okay.

6 MR. LEWIS: On our break
7 counsel for Dufferin indicated that they would not
8 have any questions, and counsel for the MTO
9 reserved five minutes if necessary. Mr. Saad?

10 MR. SAAD: I can confirm the
11 MTO has no further questions -- or no questions
12 rather.

13 MR. LEWIS: Mr. McKay, do you
14 have any questions?

15 MR. MACKAY: No, I have no
16 questions.

17 MR. LEWIS: Thank you. I have
18 I believe two very -- well, maybe three questions
19 on two quick documents.

20 EXAMINATION BY MR. LEWIS (cont'd):

21 Q. If I could take you --
22 Registrar, if we could go to GOL1113 which the
23 Tradewind report, and if we could also pull up
24 TW92, Tradewind 92.

25 And while we're doing that,

1 Ms. Contractor asked you about whether brine laid
2 down on the road in the context of late season
3 testing and so forth, whether that could affect
4 the results, and you indicated that it could or
5 even -- I think you said even salt residue
6 potentially could have an affect. And --

7 A. Yes. With brine because
8 if it's in the liquid phase it could be adding to
9 the film depth, and then if it's evaporated then
10 you definitely have a fine particulate of the
11 salt. It would definitely affect the results. I
12 mentioned previously pollen drop actually can --

13 Q. Right?

14 A. -- (indiscernible)
15 friction results as well.

16 Q. Okay. And in the
17 Tradewind report if we could go to I believe it is
18 image 17. Let's try that. No. Sorry, Registrar.
19 It's the native document for Tradewind 92. Yeah,
20 and go to the raw tab. Great. Okay.

21 And so the first thing is --
22 if you had -- on the document on the left this is
23 from Tradewind report and specifically the Red
24 Hill -- no, let's just stick with that.

25 The Red Hill at the top, if

1 you could expand to that portion. Yeah. Great.

2 And I see the temperature is
3 given as 7 degrees.

4 A. Yes. That would be the
5 air temperature.

6 Q. Okay. And it says the
7 same thing for LINC portion as well.

8 And then if we go, then, to
9 the other document Tradewind 92 in the native,
10 please. Yeah. And if we could go to the top of
11 the document.

12 We could go through each of
13 them, but I see in line 19 -- row 19 it says "ice
14 level NA."

15 A. Oh, okay.

16 Q. What's that mean?

17 A. That's an FAA thing. I
18 think it's at .25 they consider the runway to be
19 below like an ice threshold, but yeah, it's
20 nothing to do with actual measured or observed
21 ice.

22 Q. But if there -- is there
23 any indication in any of the materials from
24 Tradewind that there was any ice or residue of a
25 concern in any way?

1 A. None that I've seen, no.

2 Q. Okay.

3 A. No. And I mean plus
4 seven is pretty safe, not going to be any ice.

5 MR. LEWIS: Yeah. Okay.
6 Those are my questions. Thank you very much.

7 JUSTICE WILTON-SIEGEL: Okay.
8 Mr. Taylor, thank you very much for attending the
9 inquiry.

10 THE WITNESS: My pleasure.

11 JUSTICE WILTON-SIEGEL: You're
12 excused.

13 I don't think we have anything
14 else we have to address with counsel today. That
15 being the case, we'll stand adjourned till Monday
16 morning at 9:30. Thank you very much.

17 --- Whereupon at 12:29 p.m. the proceedings were
18 adjourned until Monday, June 27, 2022 at
19 9:30 a.m.

20

21

22

23

24

25