

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL
held via Arbitration Place Virtual
on Thursday, February 16, 2023 at 9:32 a.m.

VOLUME 82

Arbitration Place © 2022
940-100 Queen Street 900-333 Bay Street
Ottawa, Ontario K1P 1J9 Toronto, Ontario M5H 2R2
(613) 564-2727 (416) 861-8720

APPEARANCES:

Andrew C. Lewis Chloe Hendrie	For Red Hill Valley Parkway
Jonathan Chen	For City of Hamilton
Heather McIvor Colin Bourrier	For Province of Ontario
Rachel Laurion Chris Buck	For Dufferin Construction
Jennifer Roberts	For Golder Associates Inc.

INDEX

	PAGE
AFFIRMED: DR. GERARDO FLINTSCH	15531
EXAMINATION BY MR. LEWIS	15531
EXAMINATION BY MR. CHEN	15655
EXAMINATION BY MS. ROBERTS	15646
EXAMINATION BY MR. BOURRIER	15694
FURTHER EXAMINATION BY MR. LEWIS	15697

LIST OF EXHIBITS

NO.	DESCRIPTION	PAGE
220	Dr. Gerardo Flintsch's report, EXP191.	15531
221	Mr. Russell Brownlee's report, EXP192.	15532
222	Mr. David Hein's report, which includes his CV, HAM64775.	15532
223	Mr. Dewan Karim's report, HAM64759.	15532
224	Dr. Hassan Baaj's report, GOL7515.	15532
225	Dr. Hassan Baaj's CV, GOL7519.	15533
226	Highway 407 Concession Agreement, Schedule 20, HAM64455.	15598
227	Paper published by Dr. Flintsch's research department in 2017, EXP13.	15670
228	UK project carried out in October 2009, EXP34.	15680

1 Arbitration Place Virtual

2 --- Upon resuming on Thursday, February 16, 2023

3 at 9:32 a.m.

4 MR. LEWIS: Good morning,
5 Commissioner and counsel.

6 JUSTICE WILTON-SIEGEL: Good
7 morning.

8 MR. LEWIS: Dr. Flintsch.

9 DR. FLINTSCH: Good morning.

10 MR. LEWIS: I would like to
11 open this phase of the hearings by acknowledging
12 that the City of Hamilton is situated upon the
13 traditional territories of the Erie, Neutral,
14 Huron-Wendat, Haudenosaunee and Mississaugas.
15 This land is covered by the Dish With One Spoon
16 Wampum Belt Covenant, which was an agreement
17 between the Haudenosaunee and Anishinaabek to
18 share and care for the resources around the Great
19 Lakes.

20 We further acknowledge that
21 the land on which Hamilton sits is covered by the
22 Between the Lakes Purchase, 1792, between the
23 Crown and the Mississaugas of the Credit First
24 Nation. Many of the counsel appearing today are
25 in Toronto, which is on the traditional land of

1 the Huron-Wendat, the Seneca and most recently the
2 Mississaugas of the Credit River. Today this
3 meeting place is still home to many indigenous
4 people from across Turtle Island and we're
5 grateful to have the opportunity to work on this
6 land.

7 Now, Registrar, we have today
8 Dr. Gerardo Flintsch with us, and if you could
9 please -- if the court reporter could affirm the
10 witness.

11 AFFIRMED: DR. GERARDO FLINTSCH

12 EXAMINATION BY MR. LEWIS:

13 Q. Now, we have a number of
14 expert reports that have been produced, one of
15 which is Dr. Flintsch's, and I would like to make
16 them, Commissioner, exhibits off the top in
17 anticipation of the other witnesses as well
18 testifying this week and next.

19 So, the first one is
20 Dr. Flintsch's report, EXP191, and I believe,
21 Registrar, that that is Exhibit 220. Is that
22 correct?

23 THE REGISTRAR: Noted. Yes,
24 correct.

25 EXHIBIT NO. 220:

1 Dr. Gerardo Flintsch's
2 report, EXP191.

3 MR. LEWIS: And the next one
4 is the report of Mr. Russell Brownlee of TNS,
5 which is EXP192, Exhibit 221.

6 EXHIBIT NO. 221:
7 Mr. Russell Brownlee's
8 report, EXP192.

9 MR. LEWIS: The report of
10 Mr. David Hein, this includes his CV, which is
11 HAM64775, Exhibit 222.

12 EXHIBIT NO. 222:
13 Mr. David Hein's report,
14 which includes his CV,
15 HAM64775.

16 MR. LEWIS: The report of
17 Mr. Dewan Karim of 30FE, which is HAM64759, which
18 is Exhibit 223.

19 EXHIBIT NO. 223:
20 Mr. Dewan Karim's report,
21 HAM64759.

22 MR. LEWIS: And the report of
23 Dr. Hassan Baaj, which is GOL7515, Exhibit 224.

24 EXHIBIT NO. 224:
25 Dr. Hassan Baaj's report,

1 GOL7515.

2 MR. LEWIS: And Dr. Baaj's CV,
3 which is separate, GOL7519, which is Exhibit 225.

4 EXHIBIT NO. 225:

5 Dr. Hassan Baaj's CV,
6 GOL7519.

7 MR. LEWIS: Dr. Flintsch's and
8 Mr. Brownlee's CVs were filed earlier, Exhibits 12
9 and 15 respectively, and Dr. Hein and Mr. Karim's
10 reports include their CVs, just for completeness.

11 Now, Dr. Flintsch's report
12 attaches as Appendix A his Primer on Friction,
13 Friction Management and Stone Matrix Asphalt Mixes
14 that he testified regarding in April, which is
15 also Exhibit 13, but it's attached to the report
16 that may be referred to on occasion.

17 We covered Dr. Flintsch's
18 background and qualifications back when he
19 testified in April. In the interest of time, I'm
20 not going to repeat that exercise today, nor will
21 I do that when Mr. Brownlee testifies. As I
22 indicated, Dr. Flintsch's CV is Exhibit 12. And I
23 don't intend to take Dr. Flintsch through every
24 aspect of his report, Commissioner. Where there
25 doesn't appear to be disagreement expressed by the

1 reports tendered by the participants, in the
2 interest of time, I'll either be quick or just
3 skip it, except to the extent that it's needed as
4 background to the further evidence, but I'm going
5 to focus primarily on parts of the report where
6 there are disagreement or where clarification is
7 required, hopefully.

8 BY MR. LEWIS:

9 Q. And, Dr. Flintsch, before
10 we get started, I just wanted to ask you to
11 confirm that you're aware of and understand you
12 have an obligation to provide evidence that is
13 fair, objective and non-partisan. We did not have
14 you sign an acknowledgement of that sort. It's
15 part of Rules of Civil Procedure, not the inquiry,
16 but I would ask you to confirm you understand that
17 obligation?

18 A. Yes, I do.

19 Q. And so, I just wanted to
20 quickly, Dr. Flintsch, summarize the principal
21 reviews you did of the testing that had been done
22 by various parties, various individuals and
23 companies on the RHVP. So, you reviewed the
24 results of the locked-wheel testing conducted by
25 the MTO using its ASTM E274 tester, first

1 conducted in 2007 and then each year, 2008 to
2 2014, except for 2013. Correct?

3 A. Correct.

4 Q. And you reviewed the
5 results of the locked-wheel testing conducted by
6 ARA on behalf of the City of Hamilton in May 2019,
7 prior to the Red Hill Valley Parkway resurfacing
8 that year and after the resurfacing later that
9 year, in September. Correct?

10 A. Correct.

11 Q. You reviewed the results
12 of the Tradewind testing conducted using a grip
13 tester in November 2013 and the Tradewind report
14 update those results?

15 A. Correct.

16 Q. You reviewed the results
17 of the testing conducted by the -- the friction
18 testing conducted by Englobe on behalf of the City
19 using a grip tester in May 2019, prior to the Red
20 Hill resurfacing that year?

21 A. Correct.

22 Q. You reviewed the British
23 pendulum testing conducted by Golder in
24 December 2017?

25 A. Correct.

1 Q. And, as well, the
2 macrotexture results taken by Golder in
3 December 2017?

4 A. Correct.

5 Q. And the macrotexture
6 results taken by ARA in May 2019, prior to the
7 resurfacing that year?

8 A. Yes.

9 Q. And you reviewed the
10 polished stone value results conducted on the
11 coarse aggregate conducted on the Red Hill asphalt
12 cores taken by Golder in 2017?

13 A. Correct.

14 Q. And also the polished
15 stone value results on the aggregate from the
16 Demix Varennes quarry taken by the MTO in 1992 and
17 2008?

18 A. Correct.

19 Q. You reviewed the SMA mix
20 design and laboratory and production results for
21 the SMA and the aggregates used in the SMA
22 submitted for approval, quality control, quality
23 assurance and as well the production records for
24 the SMA mix placed on the Red Hill?

25 A. Yes.

1 Q. And you reviewed various
2 CIMA reports and safety reviews?

3 A. Correct.

4 Q. And finally the reports
5 of Mr. Brownlee, Mr. Hein, Dr. Baaj and Mr. Karim.
6 Is that right?

7 A. Correct.

8 Q. Okay. Now, if we could
9 call up Dr. Flintsch's report, Exhibit 220, and
10 image 3.

11 Commissioner, it isn't the
12 case for all of the reports, but for
13 Dr. Flintsch's reports, the report, the
14 images correspond to the page number.

15 JUSTICE WILTON-SIEGEL: Okay.
16 Thank you.

17 BY MR. LEWIS:

18 Q. Registrar, if we could
19 pull up image 3, please.

20 In section 1, the third
21 paragraph there, and this is just by way of very
22 general background, you indicate that the
23 frictional properties of pavements play a
24 significant role in road safety and that the
25 friction between a tire and a pavement is a

1 critical, you say, a critical factor in reducing
2 potential crashes. Is that right?

3 A. Correct.

4 Q. But then in your Primer,
5 I don't know that we need to go to it, it's at
6 image 50 attached here, you indicate that:

7 "Though deficient
8 friction is seldom the
9 main cause of a crash,
10 there are situations
11 where low friction can
12 cause crashes in the
13 presence of other
14 contributing
15 circumstances. For
16 example, if a human error
17 makes an emergency
18 manoeuvre necessary, a
19 crash may occur if the
20 friction demanded by the
21 manoeuvre is greater than
22 the friction that the
23 road surface can provide
24 in that location. If the
25 available friction is

1 exceeded, skidding or
2 wheel slipping may lead
3 to a loss of control or
4 to a collision. On the
5 other hand, if the
6 friction is high, the
7 collision may be avoided
8 or its severity reduced."

9 Does that remain your general
10 opinion, that deficient friction is seldom the
11 main cause of a crash, but it can cause or
12 contribute to crashes in the presence of other
13 contributing factors?

14 A. Yes, it does.

15 Q. And is that the case
16 where even there are set investigatory levels in a
17 particular jurisdiction? Is there any particular
18 level of skid resistance where a pavement can
19 absolutely be said to be safe or unsafe or the
20 friction adequate?

21 A. Not really. The friction
22 demand is a condition by the context in which we
23 operate and, of course, if you are in a straight
24 line with very good visibility, then you may not
25 as much friction as if you are transversing a

1 sharp curve and there's a lot of traffic.

2 Q. Okay. And if we could go
3 to image 51, please, which is in the Primer. Just
4 at the top there, you have a reference to wet
5 weather collisions and that, as I understand it,
6 an indication of low friction or friction problems
7 can be indicated by the proportion of wet weather
8 collisions, wet road collisions. Is that correct?

9 A. Correct.

10 Q. And if we could go back
11 to image 26 and 27. And while he's doing that,
12 the number of wet weather collisions compared to
13 dry on the Red Hill is a theme that has come up,
14 of course, during this inquiry and through your
15 report. And just as a background to what we're
16 going to be talking about, you have a discussion
17 at the bottom of 26 and on to the top of 27 about
18 the CIMA reports that -- some of the CIMA reports
19 you reviewed, which indicates CIMA's reports of
20 the percentages of wet surface collisions reported
21 by CIMA. Do you see that?

22 A. Yes.

23 Q. And have you seen
24 anything in the reports submitted by the City or
25 Golder that questions these reports, the reported

1 numbers, as reported by CIMA?

2 A. No, I haven't.

3 Q. And you use the term,

4 talking about the wet surface collision

5 proportions, you refer to the numbers as high or

6 unusually high, and maybe you could put some

7 context to that. Where did the numbers of wet

8 surface collisions reported by CIMA fall in your

9 experience?

10 A. I do see there they're

11 quite high because typical values are in the order

12 of maybe 15, 25 percent, something like that, and

13 here we are seeing a percentage of wet crashes in

14 the 50s and higher even in some cases.

15 Q. Now, I'm going to move on

16 from there. If you can go back to page 25 and 26

17 as well. Thank you, Registrar.

18 I'm going to move on by

19 getting to some other things which I understand

20 there's no disagreement between you and the City

21 and Golder experts. And so, if I can summarize

22 about the section 3 there, the laboratory and

23 production results, I understand that you found

24 that the SMA mix design was appropriate and though

25 there were some departures from mix design values

1 and instances of low compaction in the asphalt
2 placed, in your view, there was no significant
3 negative impact on the frictional qualities of the
4 SMA pavement. Is that right?

5 A. Yes.

6 Q. And also you indicate
7 that nor would cracking or breaking of the
8 aggregates due to over compaction, in your view,
9 contribute to low friction. Is that a fair
10 summary?

11 A. Yes.

12 Q. And, in addition, you
13 have reviewed the report of Dr. Hassan Baaj,
14 submitted by Golder?

15 A. Correct.

16 Q. And he conducted a review
17 of the test results conducted specifically on the
18 Demix aggregates used in the SMA that were conduct
19 prior to the placement of the SMA pavement in
20 August 2007, and Dr. Baaj described the various
21 tests, the results, and opined that the aggregate
22 met the relevant requirements at the time. You're
23 familiar with that finding of his from his report?

24 A. Yes.

25 Q. And do you agree with

1 that assessment by Dr. Baa j and generally speaking
2 his review of the aggregate related test results
3 prior to repaving?

4 A. Yes, I do. I think it's
5 very thorough.

6 Q. Thank you. If we could
7 call up, go back two pages, to pages 23 and 24.
8 This is section 2.1.6 on page 23 going on to 24
9 regarding macrotexture and the macrotexture test
10 results obtained by Golder in December 2017 and
11 ARA in May 2019 using, in both cases, the sand
12 patch method. Is that right?

13 A. Correct.

14 Q. And you describe in the
15 first paragraph of section 2.1.6 what macrotexture
16 is and you testified about that in your testimony
17 in April. And it's particularly important,
18 macrotexture, as I understand it, for allowing the
19 water to drain to permit for greater tire and
20 pavement -- tire pavement adhesion. Is that
21 right?

22 A. That's correct.

23 Q. And you found that the
24 macrotexture results were appropriate. Is that
25 correct?

1 A. That's correct.

2 Q. And going back to
3 pages 21 and 22, I seem to be going in reverse
4 order, but there's a section on British pendulum
5 testing, 2.1.4 beginning on page 21 going to 22,
6 and you reviewed, as you said, the results of the
7 British pendulum testing conducted by Golder in
8 2017, from December 2017?

9 A. Correct.

10 Q. And you found that those
11 results were very variable with several very low
12 values, but that the results were unreliable
13 because carried out at sub-zero Celsius
14 temperatures. Is that right?

15 A. Correct.

16 Q. And that's also what
17 Golder concluded and you concur with that. Is
18 that right?

19 A. Yes, I do.

20 Q. Okay. I would like to
21 move on, then, to the friction measurements proper
22 and specifically the locked-wheel test results.

23 And so, if we could call up
24 image 7 and, in particular, figure 2. And, as I
25 understand it, from figure 2 -- maybe also if the

1 next paragraph as well, Registrar, if you're
2 calling that up along with the first paragraph
3 below the figure. Thank you.

4 That what you've done here is
5 plotted all of the locked-wheel testing that was
6 conducted on the Red Hill beginning on the left in
7 2007, which is the MTO testing before the Red Hill
8 opened on two of the lanes, the two southbound
9 lanes. Is that right?

10 A. Correct.

11 Q. And then from 2008
12 through 2014, with the exception of 2013, moving
13 to the right, are the MTO, again, locked-wheel
14 tester results. Is that correct?

15 A. Yes.

16 Q. And then on the right,
17 the second last set of bars with the 2019b, that's
18 the ARA locked-wheel test results. All of these
19 are the per lane averages. Correct?

20 A. Correct.

21 Q. And that's the ARA
22 testing from just prior to the resurfacing of the
23 Red Hill in May 2019. Is that right?

24 A. Correct.

25 Q. And then the last one on

1 the right marked 2019a, that's the ARA
2 locked-wheel testing after resurfacing in 2009?

3 A. Yes.

4 Q. Okay. And ARA, to my
5 understanding, is they tested the entire length of
6 the Red Hill, whereas the MTO tested a shorter
7 portion, just under four kilometres in length,
8 between Greenhill in the south and the CNR
9 overhead structure in the north. Is that correct?

10 A. That's correct. ARA
11 measure the entire length plus a few segments
12 before and after.

13 Q. Right. And without --

14 A. But the average there are
15 the ones just for the parkway.

16 Q. The ARA averages are for
17 the portion which is, you determined, of the Red
18 Hill itself?

19 A. Correct.

20 Q. Okay. We'll get to that
21 when we look at the more detailed ARA results.
22 Without getting into characterizing the results
23 yet, as I understand your report and the line
24 there, that it shows an increase in 2008 from 2007
25 after the initial preopening measurements were

1 taken by the MTO. Right?

2 A. Correct.

3 Q. And the increase, that
4 would be expected for an SMA pavement?

5 A. Yes.

6 Q. Once it's opened and
7 exposed to traffic?

8 A. Correct.

9 Q. Okay. And then a
10 reduction, as shown on the lines, the dotted line
11 there, of, I think you indicate in your report, of
12 approximately 20 percent from 2008 to 2014?

13 A. Mm-hmm.

14 Q. Sorry, that's yes?

15 A. Yes.

16 Q. And then the 2019 ARA
17 presurfacing results in the second column from the
18 right are approximately the same, as you describe
19 it, not exact, but approximately the same as the
20 2014 MTO results. Is that right?

21 A. Correct.

22 Q. And then finally on that,
23 as it shows, a significant increase after
24 resurfacing to levels that you characterize as
25 slightly higher than those measured by the MTO in

1 2008?

2 A. Correct.

3 Q. Okay. And in the
4 paragraph below the figure, as you indicate, the
5 average FN90, FN meaning friction number and the
6 90 being the speed in kilometres at which the
7 testing was taken by ARA in 2019, the average
8 ranged by lane from 31 to 35. Is that right?

9 A. Correct.

10 Q. And what do the similar
11 results between 2014 and 2019, the MTO in 2014 and
12 ARA in 2019, pre-resurfacing results tell you?
13 What does disclose to you?

14 A. They suggest that the
15 friction level has stabilized after the initial
16 polishing.

17 Q. It stabilized?

18 A. Yes, correct.

19 Q. Okay. And, sorry, what
20 did you say about polishing, I think you said?

21 A. There's some initial loss
22 of friction that happens in the first few years of
23 service for any pavement, and in this case it,
24 kind of, seems to have stabilized after 2014.

25 Q. All right. And if we

1 could go to pages 8 and 9, and these are figures 3
2 and 4, I understand that these graphs show your
3 plotting of the ARA May 2019 pre-resurfacing
4 locked-wheel test results and it indicates that
5 it's based on the chainage provided by ARA in the
6 files provided by ARA. Is that correct?

7 A. Correct.

8 Q. And at the left on page 8
9 is the plotting of the southbound lanes and on the
10 right is the northbound lanes?

11 A. Correct.

12 Q. And the yellow vertical
13 lines, I take it those demarcate the streets as
14 they cross the Red Hill Valley Parkway. Is that
15 right?

16 A. Yes. That's approximate
17 location of the crossing the streets.

18 Q. Okay. Not exact but
19 approximate?

20 A. Yes.

21 Q. Okay. And we are aware
22 that the LINC at the south end of the Red Hill,
23 we've heard evidence about this, that it was
24 resurfaced in 2011 and that the QEW interchange at
25 the north end of the Red Hill was completed in or

1 about late 2008 or early 2009. Can you describe
2 what those grey zones on either side of both of
3 figures 3 and 4 represent?

4 A. These are measurements
5 taken and what I understand are outside the SMA
6 pavement that's been reviewed and detailed in this
7 hearing.

8 Q. Okay. And, as indicated
9 on both of those, there's quite a sharp increase
10 at the north end and an increase, although less
11 extensive, at the south end. Is that right?

12 A. Correct.

13 Q. Okay. And for the
14 results on the SMA Red Hill mainline themselves,
15 there are, looking at the plotting, some
16 individual results that are under FN30, though the
17 average, as you've already indicated, by lane are
18 above FN30. Is that correct?

19 A. That is correct.

20 Q. And is that the case for
21 both, we're looking at the ARA results here. Is
22 that the case for both the MTO 2014 and the ARA
23 2019 results?

24 A. Correct.

25 Q. Okay. Do you have any

1 reason to question the reliability or the accuracy
2 of the MTO or ARA locked-wheel test results?

3 A. No.

4 Q. Okay. If we could now
5 look at the grip tester results that you -- skid
6 test results that you looked at.

7 If we go to page 10,
8 Registrar, of Dr. Flintsch's report.

9 And so, as we looked at
10 before, you reviewed the Tradewind results from
11 its November 20, 2013 testing and then by the
12 testing using a grip tester by Englobe in May 2019
13 as well. Is that right?

14 A. Correct.

15 Q. And you can take that
16 down there, Registrar. Thank you.

17 And both of those tests were
18 performed at 50 kilometres an hour, as is
19 standard, as I understand, with the grip tester.
20 Is that right?

21 A. Correct.

22 Q. And the same from the
23 locked-wheel testing, which was performed at the
24 posted 90 kilometres an hour speed. Correct?

25 A. Correct.

1 Q. Although we'll get to
2 that later, ARA tested at 90 but also at 65 and I
3 think 80 as well. Is that right? Sorry, you
4 nodded.

5 A. Yes. Sorry.

6 Q. Thank you. And do you
7 have any reason to question the reliability or
8 accuracy of the Englobe or the grip tester test
9 results?

10 A. No.

11 Q. And we'll get to the UK
12 standard and we know that Mr. David Hein in his
13 report disagrees with the use of the UK standard
14 to apply the Tradewind results, grip tester
15 results, but do you read his report as questioning
16 the Tradewind testing itself or the accuracy of
17 the results in and of themselves?

18 A. No, I don't think so.

19 Q. And as you testified in
20 April in your Primer, grip testers and
21 locked-wheel testers, they're not the same. They
22 have -- it's a different machine, different
23 technology and measures in a different way. Is
24 that right?

25 A. That is correct.

1 Q. And they don't return
2 immediately comparable results. Is that fair?

3 A. Yes.

4 Q. And in the middle of
5 there where it says grip tester measurements,
6 maybe you could call up that section, 2.1.2,
7 Registrar. Sorry, that's 2.1.2.1. I mean above
8 there, the 2.1.2 where it says grip tester
9 measurements in those three paragraphs. Thank
10 you. That's it. Thank you.

11 In the second paragraph there,
12 you indicate that they're not immediately
13 comparable to the MTO and ARA results. And then
14 in the last sentence, second paragraph:

15 "Directionally, one would
16 expect the grip tester GN
17 to be higher than the
18 locked-wheel tester FN
19 friction number."

20 And you testified about that
21 issue in your testimony in April as well. Is that
22 right?

23 A. Correct.

24 Q. And then you indicate in
25 the third paragraph there that:

1 "Nevertheless -- "
2 And we'll get to the reasons
3 for this, but you consider the grip tester results
4 by both Tradewind and Englobe to be generally
5 confirmatory of and consistent with the
6 locked-wheel tester results obtained by the MTO
7 and ARA, for reasons I will explain after
8 discussing the grip tester results themselves.

9 And we'll get to those reasons
10 as we go, but having reviewed Mr. Hein's report,
11 do you still consider the grip tester results here
12 to be generally confirmatory and consistent with
13 the locked-wheel tester results?

14 A. Yes, I do.

15 Q. Okay. And then if you
16 could take that down, Registrar, and if we could
17 go to page 18. If you, Registrar, could just
18 enlarge from the top of the page down to the end
19 of that section, the two paragraphs below the
20 figure. Thank you.

21 And you describe at the top
22 paragraph above figure 9 the results taken by
23 Tradewind in 2013 and Englobe in mid-2019 as being
24 very similar to one another at the top of that
25 page. What does that suggest to you with respect

1 to what you referred to earlier as the
2 stabilization of friction levels with respect to
3 the locked-wheel test results in 2014 and 2019?

4 A. Yes. I wrote there I
5 believe that that's a confirmation that the values
6 have stabilized after roughly 2013, 2014. The
7 friction values have, kind of, reached a plateau.

8 Q. So, the grip tester
9 results that are shown here that you described are
10 consistent with the stabilization shown in the
11 locked-wheel test results?

12 A. Correct.

13 Q. Okay. And in that
14 figure 9 is, so I understand it, on the left, the
15 2013, that's a by lane averaging of the Tradewind
16 results?

17 A. Correct.

18 Q. And on the right is the
19 average by lane of the Englobe results in 2019
20 pre-resurfacing?

21 A. Correct.

22 Q. And immediately below
23 figure 9, you wrote that the Englobe results shown
24 in figures 7 and 8, which we will look at, also
25 confirm the presence of localized areas with lower

1 friction as observed in the Tradewind report?

2 A. Correct.

3 Q. Okay. Is that

4 consistent, generally speaking, as well with the
5 locked-wheel testing results of the MTO and ARA.

6 A. Yes, it is consistent.

7 Q. I'm sorry, it is

8 consistent?

9 A. Yes.

10 Q. And if we could go,

11 Registrar, to pages 11 and 12, and these are

12 figures 5 and 6 from your report and my

13 understanding is that the chart itself in the grey

14 with the blue and the purple lines and the

15 chainage at the bottom showing the metres in

16 chainage, that those are from the charts that

17 appear in the Tradewind report themselves. Is

18 that right?

19 A. Correct.

20 Q. And then, so we're clear

21 on it, because it's a little hard to keep straight

22 and it's been a while since we talked about this

23 in the hearings for everyone, but at the top where

24 you indicate in each figure, in the case of

25 figure 5, it says southbound lanes, and figure 6,

1 northbound lanes, those are added for clarity
2 because Tradewind uses eastbound and westbound,
3 because they measured them at the same time as the
4 LINC?

5 A. Correct.

6 Q. Southbound pertaining to
7 the reference in Tradewind to westbound and
8 northbound pertaining to westbound in the
9 Tradewind report?

10 A. Yes. Sorry, the
11 southbound is westbound and northbound is
12 eastbound.

13 Q. Yes. If I said
14 differently, I apologize, but that's correct. And
15 there's a note on the bottom for clarity for that,
16 if I got it right.

17 And the Tradewind results
18 show, as I understand it, those are 100-metre
19 intervals, each plot?

20 A. Correct. And that's the
21 average for a series of measurements taken
22 continuously over those 100-metres.

23 Q. And that's because the
24 grip tester is a continuous friction measurement
25 device which is continually measuring, but then

1 produces an average over, in this case, each
2 100-metre segment. Is that right?

3 A. That is correct. The
4 analyst that's processing the data can choose
5 how -- the averaging.

6 Q. And as distinct from the
7 locked-wheel tester, which, because it applies,
8 essentially, the brakes at periodic places, is
9 measuring the skid resistance on the specific
10 instances where the brakes are applied. Correct?

11 A. Correct, and it doesn't
12 measure the whole length between tests. It just
13 measure a short section when the wheel is fully
14 locked. It measure the whole thing, but it does
15 report -- well, reports all the -- it measures the
16 test and then reports the average of the segment
17 where the wheel is locked.

18 Q. Okay. And the line in
19 the middle, as we've heard evidence about this,
20 but the green line, which is just below 50 at 48,
21 that is from the Tradewind report indicating the
22 investigatory level which Tradewind applies, but
23 that was using an older UK standard. Is that
24 correct?

25 A. That is correct.

1 Q. And at the same time, as
2 indicated in the Tradewind report, there are
3 instances where the results dip and rise and, in
4 some places, dipping below a grip number of 30.
5 Is that right?

6 A. That is correct.

7 Q. And, again, directionally
8 a grip number of 30 is not necessarily the same
9 thing as an FN of 30. Right?

10 A. Correct.

11 Q. And then in both
12 directions here but only on the outside lane, the
13 one in blue, towards the left-hand side of each
14 chart, which is in the direction of where the Red
15 Hill joins the LINC, it increases from, in each
16 case, around a grip number of 30 on the left-hand
17 chart there and a little higher on the right-hand
18 chart, increasing to a level at 50 or above.
19 Right?

20 A. That is correct.

21 Q. And is that consistent
22 with what you observed and discussed from the ARA
23 locked-wheel test results in --

24 A. Yes, it is, and it seems
25 again in this case they also testing a different

1 pavement at the beginning and end of the testing
2 section.

3 Q. And how does that
4 increased number -- we could go to the Tradewind
5 report, but that increased elevated grip number on
6 the left-hand side at the south end compare with
7 the results that Tradewind took from the LINC?

8 A. Can you repeat that?
9 Sorry.

10 Q. Yeah. How does where it
11 increases to the level around 50 or above, how
12 does that compare with the results that Tradewind
13 obtained from its measurements on the LINC?

14 A. They seem to be
15 consistent.

16 Q. Similar to that number?

17 A. Similar, yeah.

18 Q. Okay. And if we could go
19 to pages 16 to 17, figures 7 and 8, these graphs
20 are taken from the Englobe May 2019 grip tester
21 results from the Red Hill in both directions. Is
22 that correct?

23 A. Correct.

24 Q. And on the left in
25 figure 7 is the southbound lanes, and on the

1 right, figure 8, are the northbound lanes?

2 A. Correct.

3 Q. And the results here are
4 expressed, am I correct that on the left-hand
5 side, the Y axis where it 0.1, 0.2, 0.3, 0.4, 0.5,
6 et cetera, where it says friction number, is that
7 the coefficient of the friction?

8 A. That's correct, and the
9 grip number is 100 times higher than that, you
10 divide by 100, so at 30 would be a 0.3 in this.

11 Q. Right. Okay. And it's
12 fair to say there's some variability of results
13 here?

14 A. Correct.

15 Q. And, as you said,
16 Tradewind expressed the averages every 100-metres.
17 Is that the same or different from Englobe?

18 A. It has higher resolution
19 in this case. Shorter interval for --

20 Q. Shorter intervals?

21 A. Yeah. That's why you
22 see, kind of, spiking in the other case.

23 Q. It's more spiky, a little
24 bit more variation, because it's measuring over
25 shorter intervals than Tradewind did?

1 A. Correct.

2 Q. Okay.

3 A. Tradewind report is kind
4 of a little bit longer average in length, so it
5 gets smooth in the curves a little bit.

6 Q. Okay. And again, in
7 particular, in the northbound lanes in figure 8 on
8 the right, at the left-hand side there is what
9 appears to be and there's three plots there, which
10 we'll get to, but there appears to be an increase
11 on the left-hand side at the south end, again,
12 towards where the LINC is?

13 A. Correct.

14 Q. And is that, again,
15 generally speaking, consistent with what you've
16 already described in the other tests?

17 A. Yes. It shows that the
18 LINC has higher friction than the Parkway.

19 Q. And in the northbound
20 lanes, as I said, there's three lines as opposed
21 to two on the southbound lane chart, and so the
22 blue on both sides, that's the inside lane,
23 whereas the red line is the outside lane in both
24 directions. Is that right?

25 A. Correct.

1 Q. And then there's a green
2 line. What does that reflect?

3 A. The green line is an
4 extra measurement that was taken in the middle of
5 the lane that represent the area that has not been
6 weathered by the traffic. It hasn't been polished
7 by the action of the tires of the vehicles driving
8 or at least much less only when you are overtaking
9 it and so on. So, again, give an indication of
10 kind of the original friction value of that
11 particular road segment.

12 Q. Okay. And so, just to
13 unpack that a bit, the other measurements on both
14 directions are in the wheel paths of both lanes?

15 A. Correct.

16 Q. Whereas the green line in
17 the northbound lanes is --

18 A. Is in the middle.

19 Q. In the middle. It
20 indicates it's the outside lane that it's measures
21 there?

22 A. Correct.

23 Q. And I won't go to it but
24 we'll leave the charts up, but on page 18 right
25 after this, you indicate that measurements were

1 also taken by Englobe in the middle of lane two,
2 outside lane, in the northbound direction, and
3 those results were higher than the measurements
4 taken on the right wheel path in each lane,
5 approximately 23 percent higher, again, supporting
6 the assumption that the aggregate had polished on
7 the wheel paths and that the drop in friction was
8 due to this polishing as discussed for the
9 Tradewind measurements which we'll come back to.

10 And so, we'll talk about it
11 now. The Tradewind results showed a similar
12 thing. Is that correct?

13 A. Yes, they do.

14 Q. Okay. And if we could go
15 to page 14, Registrar, and if you could call up
16 first the big bullet there below the table. It
17 starts, "Measurements were also taken."

18 And so, this was, you're
19 indicating that Tradewind also took measurements
20 in the centre of the outside lane in the
21 northbound direction. Is that right?

22 A. Correct.

23 Q. And is that the same lane
24 that Englobe took in it that we were just looking
25 at?

1 A. Yes.

2 Q. And you indicate that
3 those results were higher than the measurements
4 taken on the wheel paths in each lane, which is
5 approximately 23 percent higher than the average
6 of the two lanes in the same direction and
7 18 percent higher than the average of the wheel
8 paths in all four lanes in both directions.

9 So, to stick for the moment
10 with the first part, the 23 percent higher, it's
11 23 percent higher than the average in the two
12 northbound lanes on the wheel paths?

13 A. Correct.

14 Q. Okay. And is that
15 typical or unusual to see results with a higher
16 friction results in the middle of the lane than on
17 the wheel path?

18 A. No, not at all.

19 Q. Well, I said both. I
20 said is it unusual or is it --

21 A. It's not unusual. It is
22 what typically we see. The percent difference is
23 what's different. In some cases, there's a small
24 percentage, there's a high percentage, and that's
25 depending on the -- mostly the polishing

1 characteristics of the aggregate.

2 Q. Okay. And, as you
3 indicate in that paragraph, you indicate it
4 supports the assumption that:

5 "...the aggregate had
6 polished on the wheel
7 paths and that the drop
8 in friction was at least
9 partially due to this
10 polishing. To maintain
11 appropriate levels of
12 friction over time, it is
13 important that the
14 aggregates exposed on the
15 surface of the pavement
16 maintain its
17 microtexture."

18 And then you finish off:

19 "Although there is always
20 some wear or polishing
21 due to the abrasive
22 effect of the tire of the
23 pavement, if the coarse
24 aggregate sources are
25 susceptible to polishing,

1 the reduction in friction
2 over time can be
3 significant, as discussed
4 later in this report."

5 And so, is it fair that you
6 attribute at least, in part or in whole, to the
7 reduction in friction that you described earlier
8 of approximately 20 percent between 2008 and 2013,
9 2014, as being due to the polishing of the
10 aggregate?

11 A. Correct.

12 Q. Can you take that down,
13 Registrar, please.

14 If we could go to pages 18 and
15 19 and my understanding is that you conducted a
16 conversion of the grip tester results taken by
17 both Tradewind and Englobe to the equivalent FN90R
18 results of the locked-wheel testing conducted by
19 the MTO and ARA; MTO in 2014 and ARA in 2019. Is
20 that right?

21 A. Correct.

22 Q. And the FN90 that I
23 referred to taken at 90 kilometres an hour, the
24 friction number obtained at 90 kilometres an hour
25 with a ribbed tire.

1 And at the bottom of the
2 image at page 18 and the top of page 19, you
3 explain the conversion exercise that you
4 undertook. It's a four-step calculation. Is that
5 correct?

6 A. That is correct. It has
7 four steps and includes two conversions and two
8 adjustments to correct the same for units in a
9 way.

10 Q. To correct for units?

11 A. Yes.

12 Q. Okay. So, if you could
13 describe each of those steps, please? They're set
14 out there, but if you could just describe them for
15 us.

16 A. The process include first
17 a conversion from the grip number collected by the
18 grip tester to what we call a SCRIM reading.

19 Q. Sorry, that's SCRIM?

20 A. SCRIM, yes. SCRIM
21 reading that is collected by another continuous
22 device that is used significantly around the world
23 and it was discussed before in the Primer. And
24 so, that's based on some correlations developed by
25 the Transport Research Laboratory in the UK by

1 testing a wide range of surfaces on their testing
2 facility.

3 And the second correction is
4 step number 3 where I brought that number from the
5 SCRIM back to the locked-wheel using another
6 conversion equation that was developed under a
7 Federal Highway Administration project that was
8 led by my research team at Virginia Tech, but the
9 testing was conducted independently at the Texas
10 Transportation Institute again over a wide range
11 of surfaces that go from very low friction to very
12 high friction.

13 And, in between, there's a
14 second step that just --

15 Q. Sorry, can I stop you for
16 a moment, Dr. Flintsch? I think we may have an
17 issue with the Commissioner's -- we may have to
18 start over. I'm not sure. Hold on for one --
19 we'll go back. Sorry, the Commissioner's camera
20 froze and his feed froze, so we may have to go
21 back. Just give me one moment. We'll see if it
22 comes back on very quickly.

23 If we could take five minutes,
24 please, Registrar, to correct this and we will
25 come back. If you could send people to their

1 breakout rooms, I would appreciate it. Thank you.

2 THE REGISTRAR: Absolutely.

3 We'll resume in five minutes.

4 MR. LEWIS: Thank you.

5 --- Recess taken at 10:31 a.m.

6 --- Upon resuming at 10:56 a.m.

7 MR. LEWIS: We're back. There
8 was a technical glitch with the Commissioner's
9 hardware, so we will in one moment resume, if we
10 could, with Dr. Flintsch.

11 BY MR. LEWIS:

12 Q. And I'm going to ask you
13 to start again in a moment with going back to step
14 one. If you could repeat that, the Commissioner
15 advises that's where his feed went out, somewhere
16 in the middle of that, so if I could ask you just
17 to collect your thoughts and come back to that
18 stage in the exercise.

19 While you're doing that, I'm
20 just advised that the Commissioner's asked if we
21 could -- we'll count that as our morning break and
22 we will continue through to the lunch break, which
23 is scheduled for one, but if it makes sense to
24 take an earlier make, then we may do that.

25 And last thing before we get

1 right back into it is, Registrar, I think I
2 misspoke, I'm told I misspoke, when I made
3 Dr. Baaj's report an exhibit, and that would be
4 Exhibit 224. I think I said it's Golder 7515 but
5 in fact it's 7517?

6 THE REGISTRAR: Noted.

7 MR. LEWIS: Thank you.

8 BY MR. LEWIS:

9 Q. With that now,
10 Dr. Flintsch, sorry for the technical snafus. If
11 I could ask you to go back to the conversion that
12 you were describing with step one. Thank you.

13 A. Sure. No problem. As I
14 was saying before, I look at the two different
15 measurements and I decided to follow a two-step
16 process that include two conversions from the grip
17 tester to the SCRIM and from the SCRIM to the
18 lock-wheel. And step one, what I did is I used a
19 relationship developed in the UK by the Transport
20 Research Laboratory on their testing facility.
21 They have a testing facility with different
22 pavement surfaces and they develop a correlation
23 between the grip tester and the SCRIM reading.

24 And then in step three I used
25 a different conversion that was developed by my

1 research group under contract with federal highway
2 in collaboration with the Texas Transportation
3 Institute to convert from the SCRIM measurement to
4 a friction number measured by the locked-wheel at
5 40-miles per hour or approximately 65 kilometres
6 per hour.

7 In between, I had to convert
8 the units that are used for the SCRIM in the UK to
9 the ones we use in the U.S. We don't apply a
10 correction factor that is applied in the UK
11 because that was introduced to correct, for
12 account, in a change in the rubber used in the
13 tires. That's why you have a 0.78. This is just
14 that our measurements in the UK, they tried to
15 reference their measurement to the previous type
16 of rubber that was used in the SCRIM and the rest
17 of the world, and we adopted with a new tire with
18 a new type of rubber, we didn't need to do that
19 correction.

20 And then the last one is to
21 bring the measurements at 65 kilometres per hour
22 to 90 kilometres per hour. That is the
23 measurements that are done in Canada and the U.S.
24 We do use the measure on all roads at the same
25 speed. Again, if we use in a different speed, we

1 convert it and then report it as 40 miles per hour
2 approximately, 65 kilometres per hour. So, that's
3 why I applied this three step process.

4 And then the other comment is
5 that equation in step three is also developed on a
6 wide range of surfaces. That's why I felt that
7 those were maybe the most appropriate steps to
8 follow to get an estimate of what the conversion
9 would be.

10 Q. And on step four, just
11 the correction to bring it to 90, am I correct
12 from what you've indicated there that you used the
13 ARA measurements, because ARA did the testing both
14 in 2019 at both 65 kilometres an hour and 90?

15 A. Correct.

16 Q. Okay. And in your Primer
17 and back in April you did discuss the
18 difficulties, acknowledged difficulties, with
19 converting friction testing values obtained from
20 different devices, and you state in the next
21 paragraph, if you could call up the two paragraphs
22 below number 4 on page 19, please, Registrar.
23 Thank you.

24 In the second paragraph in
25 that call out, you refer back to the Primer and

1 indicate that you recognize the difficulties in
2 that the interconversions that you talked about
3 are not very accurate and may not apply to
4 pavements not included in their development. But
5 then you say that, although this remains true,
6 that you're confident that the conversion here,
7 while not exact, is reasonably accurate in that it
8 is at least or reasonably appropriate.

9 And then above that you
10 indicate that the results are consistent between
11 the MTO 2014 testing and the Tradewind
12 November 2013 testing are consistent and show
13 relatively low average friction levels six to
14 seven years after construction. And then
15 similarly it suggests that the results of
16 presurfacing ARA and Englobe testing are
17 consistent and show the friction levels had
18 levelled off after 2013, 2014.

19 And then I'll ask you some
20 questions about that, but if we could put up
21 figure 10 that I believe shows the converted grip
22 tester results. Yes. Thank you. This is on
23 page 20 of your report. And once we look at this
24 and make sure we understand what you've done here,
25 I'll ask you for some questions about the

1 conversion, the accuracy of it.

2 So, as I understand this
3 figure, it shows in the darker coloured bands from
4 2007 to 2012, 2014, and then the two on the right,
5 the 2019b and 2019a, that shows the locked-wheel
6 test that we had previously looked that both by
7 the MTO and by ARA. Is that right?

8 A. Correct.

9 Q. And then you added, again
10 by lane averages, the Tradewind results as
11 converted by you in the column that's marked as
12 2013 with an asterisk. Is that right?

13 A. Correct.

14 Q. And the Englobe results
15 from May 2019 in the column towards the right
16 that's titled 2019b with an asterisk, also more
17 lightly shaded. Is that right?

18 A. Yes.

19 Q. Again, colour coordinated
20 with the same lane -- colouring for the same
21 lanes.

22 And can you explain why you
23 have come to the conclusion that the conversion of
24 the grip tester results to the equivalent
25 locked-wheel FN90 results are reasonably

1 appropriate, as you described it?

2 A. Yeah. And, again, as you
3 mentioned before, I do recognize that it's very
4 hard to convert from one skid tester to another
5 and when we discussed this in length when we had
6 the discussion of the primer.

7 In this particular case, I
8 went through the conversions and then plotted and
9 they gave a very similar results to the values
10 collected with the locked-wheel were that, in a
11 way, provided a check for this type of pavement,
12 it seems to be working. So, that may work for
13 another pavement, it may not, but in this case we
14 get measurements from two devices and, after the
15 conversion, they match, so it seems reasonable to
16 say that this average conversion seems to be
17 working for this particular pavement.

18 Q. And just to unpack that a
19 bit, if I understand it correctly, you did the
20 conversion first. Is that right?

21 A. Correct.

22 Q. And then you noted that
23 they showed the similar, as converted, showed the
24 similar trajectory and numbers as the ones in the
25 years close to them. Is that a fair summary?

1 A. Correct.

2 Q. Okay. And similarly, if
3 the line, the horizontal line, was it consistent
4 with the levelling off that you described that was
5 disclosed by the locked-wheel test results by the
6 MTO and ARA?

7 A. Correct, so I have, kind
8 of, a double verification and in a way the active
9 values are similar to the ones that were measured
10 in close to about the same date and they also
11 confirmed that the friction has been roughly
12 constant after that period, through that period
13 from 2013, 2014, to 2019.

14 Q. Okay. And Mr. Hein, as
15 you will have seen in his report for the City, he
16 disagrees that the conversions are, as you've
17 described it, although approximate, that they're
18 reasonably accurate, and he indicates that the
19 correlation cannot be accurately done.

20 So, the first thing I want to
21 take you to, if we could go to Mr. Hein's report.
22 So, this is, I believe, Registrar, the Hein report
23 is Exhibit 222 and if we could go to images 16 to
24 17. And, unlike Dr. Flintsch's report, the page
25 numbering is off by two, I believe, of the images,

1 so image 17 is page 15 and image 16 is page 14.

2 At the bottom of page 14, in
3 paragraph 46 and going on to the next page,
4 Mr. Hein refers to a number of different, three
5 different, exercises or experiments conducted over
6 the years, which, I think fair to say, for the
7 conclusion that it's difficult to harmonize
8 friction results and convert them.

9 Are you familiar with the
10 experiments and endeavours that's he speaks of
11 there in paragraph 48?

12 A. Yes, I am, and I also
13 reviewed those and they're cited in the Primer.

14 Q. Okay. The one that you
15 discussed in April that are referenced in your
16 Primer?

17 A. Correct.

18 Q. And, again, you don't
19 disagree with the general proposition that there's
20 difficulties. You've described that. It's that,
21 in this particular instance, you have comfort that
22 they're reasonably accurate. Is that fair?

23 A. Correct.

24 Q. Okay. And then on
25 page 15, that's image 17, at paragraph 49,

1 Mr. Hein -- no, sorry. I wanted to keep up the
2 same thing there, Registrar, the same two pages.
3 Maybe I misspoke, but it's images 16 to 17. Yes,
4 thank you. And it's paragraph 49 at the bottom of
5 the image 17.

6 Mr. Hein refers to your
7 reasoning about the conversion results being
8 generally in line with those measured by the MTO
9 and ARA locked-wheel devices and refers to that as
10 simplistic, that reasoning is simplistic, in that
11 further independent testing would be necessary to
12 validate in such conversion.

13 What do you say about the
14 comment about it being simplistic?

15 A. Well, in a way, it's a
16 simple check, so I kind of agree on that, but I do
17 feel that simple doesn't mean wrong. I wouldn't
18 recommend that you implement this conversion on a
19 standard or anything like that, but in this
20 particular case, we have one measurement and then
21 apply the conversions and we got about the same
22 number. That make me feel at least I understand.
23 I'm not saying that should be adopted or anything
24 like that, but in this particular case it gave me
25 confidence that they're reasonable. And, again,

1 they're based on a lot of data collected over a
2 lot of surfaces, so it's not that I started with
3 faulty equations in a way.

4 Q. And so, if I understood
5 that correctly, you're not saying that this should
6 be exported to convert grip tester, grip numbers,
7 to locked-wheel friction numbers at different
8 speeds in all circumstances, in other
9 circumstances. You're saying I think it works
10 reasonably well here for the reasons that you
11 described. Is that fair?

12 A. Correct.

13 Q. Okay. And he refers to
14 further independent testing would be necessary to
15 validate any such conversion. Do you know what
16 that would be? We can ask Mr. Hein, but do you
17 know what that's referring to?

18 A. I'm not sure, but what I
19 presume it referred to is that we should test a
20 grip tester and the locked-wheel under a variety
21 of surfaces to verify these processes. Again,
22 that's a fair statement. If you want to adopt an
23 equation, I think that would be very reasonable.

24 Q. Thank you. You can take
25 that down, Registrar. If we could go back to

1 Dr. Flintsch's report and call up page 14. Thank
2 you. And if you could expand table 1, please.

3 And so, I just want to talk
4 about the UK investigatory levels for a bit. And
5 we know that the Tradewind report applied an
6 earlier UK standard, an earlier version, and you,
7 in your Primer, dealt with the one that was in
8 place at the time of the Tradewind testing and, as
9 well, the more recent subsequent one. And the one
10 that was in place at the time of the Tradewind
11 testing is this table 1. Is that correct?

12 A. Correct.

13 Q. And if you could take
14 that down and just resume the page. Actually, the
15 next page, 15. In the last paragraph of this
16 section above 2.1.2.2, so it's, sort of, the just
17 below the middle of page, Registrar, if you could
18 call that out where it says, "At set out in the
19 Primer."

20 You indicate that:

21 "...unlike in some
22 jurisdictions (notably as
23 discussed in the Primer,
24 the UK, Australia and New
25 Zealand) there are no

1 published provincial or
2 national standards in
3 Ontario or Canada
4 respecting highway
5 friction investigatory
6 levels or intervention
7 levels. However, in my
8 view, that does not mean
9 standards imported from
10 other jurisdictions for
11 the purpose of evaluating
12 the frictional qualities
13 of pavements have no
14 meaning or ought to be
15 disregarded. To the
16 contrary, British
17 standards reproduced in
18 table 1 can provide a
19 good reference."

20 And Mr. Hein disagrees with
21 that, I think it's fair to say. He indicates at
22 various points that there has not been a basis to
23 rely on the UK guidelines in Canada. In his view,
24 it's not appropriate to rely on them in this
25 circumstance.

1 And then if we could go --
2 maybe take down that call out and if we could go
3 to Mr. Hein's report at image 14 and 15. And
4 images 14 and 15 are pages 12 and 13.

5 After the references that I
6 just referred to, those are in paragraphs 38 and
7 39, in paragraph 40, Mr. Hein talks about the
8 Australian Austroads friction management program
9 being fundamentally based on the UK friction
10 model. And I think back in April in your Primer
11 you discussed it was very similar to the
12 Australian program. Is that right?

13 A. It is correct, yes.

14 Q. Yeah. But Mr. Hein
15 indicates it was borrowed only after significant
16 analysis and tailoring to the Australian
17 conditions and that the same approach would have
18 to be taken in Canada.

19 And then at paragraph 41, he
20 reiterates that, that that same approach would
21 have to be taken in Canada, as I just said, and
22 that:

23 "Before a particular
24 jurisdiction's friction
25 management policy is

1 adopted in Canada, there
2 must be an analysis by
3 the appropriate authority
4 as to its applicability.
5 Here, Dr. Flintsch
6 appears to be suggesting
7 the UK guideline should
8 be used as a reference
9 point in this inquiry.
10 However, I have not seen
11 and testing or analysis
12 of its applicability to
13 Canada, and more
14 specifically, to the Red
15 Hill Valley Parkway. I'm
16 therefore unable to
17 support the reliance on
18 the UK guidelines to
19 inform the road surface
20 conditions on the Red
21 Hill Valley Parkway."

22 Can you address Mr. Hein's
23 critique?

24 A. Sure. I do agree
25 100 percent that if you want to adopt it as a

1 general policy, it has to be verified to the local
2 conditions. But it doesn't mean that it cannot
3 provide a reference point to say, well, if a --
4 let me phrase a little bit. If you don't have a
5 better standard, then it makes sense to me to use
6 the best international standard.

7 And, actually, a similar case
8 happened here in the U.S. in the last AASHTO guide
9 for pavement friction that was published about a
10 month ago maybe or a couple of months ago,
11 referenced this as a potential starting point for
12 development such a policy in the U.S., so I don't
13 think it's unreasonable to consider it as part of
14 the information you're analyzing for determining
15 if the friction is sufficient or not, to use
16 international standard, so though I do agree that
17 you wouldn't adopt a standard from another country
18 without verifying it's applied properly to your
19 country.

20 Q. And on that point about
21 adopting it as a friction management program, as
22 part of that and the standards themselves, we'll
23 go back to it, but there's a reference in
24 Mr. Hein's report where he talks about, I
25 think -- let me make sure I'm getting it

1 correctly. There could be high cost implications
2 of importing a friction management and standards
3 which potentially cannot be achievable or
4 sustainable and that there's, of course, cost
5 consequences to that. Can you comment on that?

6 A. Sure. And that's true.
7 Depending on where you are, maybe in different, in
8 the country, different provinces may have to have
9 different policies because of the availability of
10 aggregate and all of that. What the basic
11 principle in what the UK standards are a basis
12 that there's a relationship between friction and
13 crashes and we discussed that in the Primer. The
14 lower the friction, the higher the number of
15 crashes. So, particular improvement in friction
16 will result in a particularly reduction of crashes
17 for a particular road. And, again, it would be
18 different from a highway than from a local road
19 because of all the factors that we discussed at
20 the beginning that are involved in a crash, but
21 the fundamental relationship is valid and that's
22 the way that this British standard has been
23 developed, based on the relationship between
24 crashes and friction.

25 So, the fundamental principle

1 is valid. I think it's valid. It's been proven
2 scientifically. Actually, some of the reports
3 that our research group developed for the highway
4 administration confirmed that in the U.S.

5 And, again, going back to a
6 policy, I agree that if you don't have the high
7 quality aggregate, you may not be able to provide
8 a specific level of friction, so that's where the
9 economics play a role and it's a policy decision,
10 I agree, that somebody has to make about, well,
11 how much risk I'm willing to take in terms of
12 potential crashes and then how much I value that
13 risk and then, based on that, I will establish a
14 particular standard.

15 Q. And so, a couple of
16 things there. Now, you're saying that if a
17 jurisdiction, whenever they're using a standard or
18 a friction management policy, there are trade-offs
19 and there's always no road is completely safe and
20 always a cost-benefit analysis that has to be
21 entered into before you adopt a program
22 holus-bolus. That's one part of it. Right?

23 A. Correct.

24 Q. And the other part, you
25 did mention earlier, just in the context of

1 answering my questions, about the new AASHTO guide
2 and I think you referenced that the UK standards
3 are being used as a -- in what fashion --

4 A. The references in the
5 guide as an example of such a policy.

6 Q. Okay. And including the
7 friction demand categories and --

8 A. Yeah. That's a very good
9 point that I should have highlighted. One of the
10 key contributions of that policy is that the
11 friction that you need is different depending on
12 the roadway you are driving and it's not one
13 number that can be applied across the board
14 throughout all the network because, for example,
15 the friction you need in a highway if you are in a
16 straight section, is different than when you are
17 traversing a curve, so a section where the
18 friction supply that the pavement is provided may
19 be good, when you get to a curve or you get to a
20 ramp where the vehicles had more interaction, then
21 the same level of friction may not be enough to
22 meet the demand of the vehicles.

23 And, again, if you have more
24 traffic, there's more interaction between the
25 vehicle, and that probably is another factor which

1 contribute to even a higher demand. So, what I'm
2 saying is that the demand of friction is not
3 constant. It depends on the context of what you
4 are driving in that particular road. Another
5 important factor is the speed. The higher the
6 speed, the higher the friction demand, because you
7 will need longer to stop to or control your
8 vehicle.

9 So, my point is you need to --
10 even these values that are provided in the UK,
11 they don't mean that you are above that particular
12 threshold, you are 100 percent safe. There's
13 still some risk and those are some policies to
14 guide, but you could even have a friction problem
15 if the value is higher than the ones that are
16 established in that policy.

17 Q. And to cover off that
18 last point, you indicate that -- I think you said
19 if you meet it, it doesn't mean that there's no
20 risk if you meet the standard. And correlatively,
21 as I think you discussed before, that if you are
22 below whatever standard is applied, that doesn't
23 mean inherently that the road is unsafe, either?

24 A. Yeah. It means --

25 Q. It works both ways.

1 Right?

2 A. -- that you have a higher
3 risk and, again, how high that risk is, it depends
4 on the particular conditions of your road, again,
5 the geometry, the speed and so on. And also the
6 climatic conditions. That's why we have wet
7 crashes and dry crashes and so on.

8 Q. And if you were faced
9 with a similar situation in the U.S. as you're
10 dealing with here, would you give the same opinion
11 with respect to the relevance of the Tradewind
12 report results using the UK standards as a
13 reference?

14 A. Yes, I could. Truly if
15 you have some measurements and then you have a way
16 to compare with a value that -- well, at least in
17 another country is considered a reasonable to
18 expect from a road, I will consider it. The other
19 thing, if I don't believe the friction
20 measurements, what I could have done -- and,
21 again, the idea is not you're saying you have to
22 react and treat. What the recommendation is you
23 have to do a further investigation. So, if I
24 don't trust the friction measurements, then I will
25 ask somebody to measure with an equipment that I

1 feel comfortable with and double check, because
2 just assuming it doesn't apply, I think it's
3 riskier than saying, well, I will analyze and see
4 if it does apply and if it's applied, then I'll
5 try to fix it. And it doesn't apply, well, I
6 don't have to worry about it.

7 I don't know if I was clear.

8 Q. I think so. It was to
9 me. If it wasn't to the Commissioner, I expect he
10 will --

11 JUSTICE WILTON-SIEGEL: I
12 understand.

13 MR. LEWIS: Thank you.

14 BY MR. LEWIS:

15 Q. And along those lines,
16 you, at various points in your report,
17 characterize the results overall of the friction
18 testing on the Red Hill as being relatively low.
19 That's a term that you use a number of times. Is
20 that a fair summary of your overall conclusion
21 regarding the friction levels?

22 A. Yes, it is. And, again,
23 it reflect the thing I mentioned before, that what
24 I believe the supply of friction doesn't seem to
25 meet the demand that the particular conditions on

1 this freeway require to have, I guess, a
2 reasonable agreement.

3 Q. Sorry, reasonable
4 agreement?

5 A. Well, sorry, yeah, that's
6 not a happy word in a way. What I'm saying is
7 that the friction supply is not enough to meet the
8 demand for the vehicles in this particular
9 condition.

10 Q. Okay. And Mr. Hein takes
11 issue with that characterization and he refers to
12 the wording of relatively low and he disagrees and
13 relies, I think it's fair to say, you tell me if
14 you disagree, I think it's fair to say that he
15 relies on the MTO's -- he refers to it as a
16 benchmark of FN30 used by the MTO. We've heard
17 from a lot of MTO witnesses as to how the MTO uses
18 it and we know that it's not a published or
19 generally publicly available guideline, although
20 it's not unknown, either, but that Mr. Hein
21 indicates that these would be acceptable results
22 in his view in Ontario using the FN30 threshold.
23 Is that how you read his report on that point?

24 A. Yes, I do.

25 Q. Okay. And then married

1 up with he disagrees that there could be any use
2 of the UK standard, that is the grip tester, so
3 the average locked-wheel tester results by lane
4 are above FN30 so that the results are acceptable
5 and not relatively low. Do you have a comment on
6 that?

7 A. Well, I agree that they
8 would be acceptable to the current practice, but
9 that doesn't mean that I could not help reduce
10 crashes if I look -- aspire as a higher friction
11 number. And, again, careful study may confirm or
12 deny that, but I think that that would be
13 something that could be quantified, whether or not
14 it is appropriate to have a higher value for this
15 particular case that may have a higher demand than
16 the typical road for what that policy applies.
17 And I'm sure that, again, that it could be that it
18 is okay, but given that some of the other
19 considerations, it may not be, so it's good to
20 check whether or not that 30 is appropriate for
21 this particular facility.

22 Q. And we've heard from many
23 MTO witnesses, and I probably mis-asked the
24 question, we've heard from a number of MTO
25 witnesses about how the MTO uses and applies FN30

1 as a guideline, which includes that they, you
2 know, look at the geometry and the wet weather
3 collisions and potentially other factors, so I'm
4 not asking you to comment on Mr. Hein's opinion on
5 its use of it, because the MTO evidence is the
6 evidence, but in terms of what you described as
7 the other factors and the friction demand, is that
8 still relevant when you're applying a single
9 number?

10 A. Can you repeat the
11 question? Sorry.

12 Q. Yeah. Do the
13 considerations you referred to regarding friction
14 demand and the collision rates and so forth, do
15 those still have relevance when you're looking at
16 a single threshold or guideline?

17 A. No, definitely.

18 Q. Okay.

19 A. Because that friction
20 demand is independent of the number that you fix.
21 That depends on the other factors that play a
22 role, the vehicles and the human and how they
23 interact. Because, again, if you have very low
24 traffic on a straight road, you don't need the
25 same friction that you need in a road that has a

1 lot of vehicles, a lot of ramps and curves and
2 things like that. The demand depends on the
3 context in which you are driving. And, again,
4 that's nothing new. This has been recognized as
5 far as the '60s in some of the reports that I've
6 read, so...

7 Q. Okay. And so, I wonder
8 if we could look at a document that Mr. Hein
9 references. He refers to it at paragraph 10 of
10 his report in image 4. He references the Highway
11 407 Concession Agreement, Schedule 20 -- there it
12 is up on the screen, paragraph 10 -- and refers to
13 the Highway 407 ETR as one of those and refers to
14 the, among others, but the criteria for friction
15 is identified as friction value of under 30 when
16 travelling at the posted speed based on the
17 500-metre average values of friction.

18 And if we could go to that
19 document, Registrar. It's HAM64455.

20 And, while that's coming up,
21 the inquiry did hear from a witness on behalf of
22 the company that operates the Highway 407 and he
23 did refer to this agreement and the FN30
24 investigatory level that they were subject to by
25 way of its concession agreement.

1 Sorry, Registrar, do you have
2 that document, HAM64455? And I take it you have
3 not seen this document until it was produced as
4 part of Mr. Hein's report. Is that correct?

5 A. Correct.

6 Q. Okay. And if we could --
7 so, it's schedule 20, Safety and Standards
8 Protocol.

9 If you go to image 6, please.
10 And, at the bottom, there's a paragraph that says,
11 if you could call that up, the bottom paragraph,
12 and it says:

13 "For freeway pavements,
14 when the surface friction
15 skid number reaches
16 SN 100=30 as measured by
17 a brake-force trailer
18 conforming to ASTM
19 standard E274 and E501,
20 the concessionaire shall
21 undertake immediate
22 investigation and, if
23 appropriate, establish
24 and implement a schedule
25 for immediate mitigation.

1 Remedial action is also
2 to be undertaken whenever
3 a surface friction
4 problem is thought to
5 exists, irrespective of
6 the surface friction skid
7 number."

8 So, the first thing, as I read
9 this, it seems to me it requires an investigation
10 of FN equals 30, I guess, not below. That appears
11 to be what it says. Do you agree with that?

12 A. Yes.

13 Q. But then the last
14 sentence about remedial action is also to be
15 undertaken whenever a surface friction problem is
16 thought to exist irrespective of surface friction
17 skid number, is that long the lines of what you
18 were talking about?

19 A. Yeah. I do believe that
20 that's recognized in that problem, that the demand
21 of friction is dependent on the context. And,
22 again, 30 is a good guideline, but it may not be
23 enough in all situations. That's the way I've
24 read it myself, that it could be above 30 and
25 still need some intervention or at least some

1 investigation because the surface frictions could
2 be a problem.

3 Q. And I guess, conversely,
4 even if that is the threshold, if it's below 30
5 but it doesn't appear to be any issue, then it may
6 not be a problem?

7 A. Correct. That's why it's
8 called an investigatory level in general. You
9 need to investigate further to find out if it is a
10 problem or not.

11 Q. Okay. And if we could
12 make this an exhibit, Registrar. It's HAM64455.

13 THE REGISTRAR: Noted.

14 MR. LEWIS: Thank you.

15 EXHIBIT NO. 226: Highway
16 407 Concession Agreement,
17 Schedule 20, HAM64455.

18 BY MR. LEWIS:

19 Q. Just give me one moment.

20 If you can take that down. Thank you.

21 If we could go back to
22 Mr. Hein's report on image 5, which is page 3 --
23 sorry, image 5, Registrar. Thank you. And I
24 guess the prior page as well, if both could be up.
25 Thank you.

1 So, Mr. Hein indicates, he,
2 sort of, made a comparison to some levels in
3 various U.S. states of comparing the MTO's FN30
4 guideline, and he then lists a number of U.S.
5 states and the table refers to intervention
6 levels, table 1. And Mr. Hein indicates above
7 there that the terms intervention, desire,
8 questionable review and investigatory level are
9 commonly used for this purpose. It's titled
10 Intervention Levels. Do you know if these are
11 intervention levels or investigatory levels or
12 what? Do you know?

13 A. I don't know the size of
14 those intervention level and the regional
15 synthesis where this is taken from.

16 Q. Okay. It could be
17 either. It says intervention, but that doesn't
18 necessarily mean it's an intervention level. Is
19 that right, as the way you described it?

20 A. Yeah. I don't know if I
21 make a comment that the intervention and
22 investigatory levels are not the same thing.

23 Q. Right.

24 A. At least from the point
25 of view in the U.S., the AASHTO guide recently

1 already recognized that the intervention level is
2 when you have to do something, we have to fix the
3 friction. An investigatory level that is higher
4 than the intervention level requires that you
5 investigate to find out if friction is good or
6 not. But when we set an intervention level, and
7 that's one of the reasons why we eliminated that
8 the from the last version of the AASHTO guide,
9 means you have to intervene, you have to fix it
10 and provide a solution. So, if these are real
11 intervention level, the investigatory levels
12 should be higher than this.

13 Q. Right. Okay. And I
14 think you referred to that in your testimony in
15 April and in the Primer about in the newer AASHTO
16 guide, that the intervention levels were
17 eliminated I think on the basis essentially that
18 you have to investigate anyway to determine if
19 there's an issue?

20 A. Correct. And at that
21 time it wasn't published, but now it is published
22 and it's available.

23 Q. Okay. And these are
24 taken from a prior iteration. Is that correct?

25 A. Correct. Well, these are

1 taken from NCHRP synthesis that they investigate
2 the practice, but the original, I would say,
3 framework for this goes back to another NCHRP
4 report, much older, from the '60s.

5 Q. Okay. And do you agree,
6 in any event, though, that the FN30, however it is
7 applied by the MTO, falls, as Mr. Hein says,
8 roughly in the middle of these levels that are
9 indicated here on this chart?

10 A. Correct, although they
11 are measured in a different speed, so that's
12 another caveat there.

13 Q. Right. Yeah. And
14 Mr. Hein refers to the averages by lane taken by
15 the locked-wheel testers falling below FN30 as
16 being, he refers to, as minor and inconsequential
17 deviations. I don't need to go to it, but that's
18 in paragraph 20 of his report. And then he sets
19 out by lane from's 2019 presurfacing testing the
20 averages taken at 500-metre segments.

21 If you could go to images --
22 start at image 7 and 8, Registrar, of Mr. Hein's
23 report. Yeah. And it's pages 5 and 6.

24 And so, correct me if I'm
25 wrong, but what Mr. Hein has done here is taken

1 each lane in each direction and indicated by
2 500-metre segments what the average is. Is that
3 right?

4 A. Yes.

5 Q. Okay. And then if you go
6 to the next page, page 7, so maybe keep up 6 and
7 7, images 8 and 9.

8 So, in this case, you see we
9 have one northbound lane on the left and then a
10 southbound lane and then on the top right on
11 page 7 it's southbound lane two, and, again, each
12 one those is a 500-metre segment and the red line
13 across the middle is the FN30. Is that right?

14 A. Yes.

15 Q. And if one is positing
16 FN30 as a hard guideline as a hard number at which
17 it's acceptable or not acceptable, if a section, a
18 500-metre section, is below, what do you think
19 about that? Is that a minor and inconsequential
20 deviation in that context?

21 A. Well, there's two points
22 in there. One, the accuracy of the measurements
23 has to be taken into account, so when you said 31
24 or a 29, depending on when you measure it, how you
25 measurement, it may be all valid because of the

1 accuracy of the equipment. So, in that sense, it
2 is within the accuracy of the equipment.

3 But if you keep that in mind,
4 also a 31 could be less than 30 if you measure it
5 in another time. So, it all depends on how you
6 interpret. Again, I don't see that necessarily if
7 it's above 30, it's good, if below 30 is low. I
8 think the 30 is a guideline, again, to trigger
9 investigation. So, if you decide to apply it as a
10 rigid number, if it's below 30, you should do an
11 investigation because the whole road may not be
12 below that standard, but you may have localized
13 friction problems. And a lot of times that's the
14 case. You may not need to fix the whole section,
15 but you may have some problems in particular,
16 localized problems, where the friction is lower
17 than the rest. I don't know if I answer your
18 question. Sorry.

19 Q. You did. Thank you. And
20 then coming back -- you can take that down,
21 please, Registrar. Thank you. I think on your
22 last point, if I did get it correctly, your point
23 is there's the standard deviations if the
24 equipment isn't perfectly accurate and so forth,
25 but, again, that the safety or whether or not

1 there is a problem isn't entirely dependent on
2 whether, whatever the number is, whether it's
3 above or below. Is that fair?

4 A. Correct. And, again, it
5 depends on the context of where you are driving,
6 the speed, the geometry, the drivers.

7 Q. Right. And that comes
8 back to your term, I think, relatively low, to
9 describe the friction results. Is that right?

10 A. That is correct.

11 Q. And do I understand that
12 the things from you described that you're looking
13 at and characterizing it that way, and there's a
14 number of things but you tell me if I'm wrong
15 about any of it, that, number 1, there's the
16 reduction over time of approximately 20 percent
17 with some results which dip significantly lower
18 than the averages. Is that number 1?

19 A. Correct.

20 Q. And then in some cases
21 below FN30, in that instance. Right?

22 A. Correct.

23 Q. And then the grip tester
24 results being lower than the UK standards. That's
25 another touch point?

1 A. Correct.

2 Q. And the CIMA reports
3 indicating a consistently high percentage of wet
4 surface collisions?

5 A. Correct.

6 Q. And to go along with
7 that, you referred to geometry of the highway and
8 speed, excessive speeds as well. Is that right?

9 A. Correct. And, again, all
10 of these kind of provide a context, as I was
11 talking about before, especially the last two
12 points. If you have a high percentage of wet
13 crashes and less than perfect geometry and higher
14 speeds, that's an indication that you very likely
15 will have a higher demand for friction that
16 understand that normal conditions.

17 Q. And when you say less
18 than perfect geometry, I assume what you mean is,
19 you know, if perfect geometry is straight, a
20 tangent section where there is little, you know,
21 not many decision points, things like that, and
22 low traffic perhaps, which is not exactly a
23 geometry issue, but all of those things are issues
24 where there is a less friction demand. Is that --

25 A. Yeah, you are correct.

1 Each time you have a curve and, again, if the
2 curve is very, very, with a very high radius, may
3 not be, but if the radius is significant, and you
4 have to use some of that friction to transverse a
5 curve, then the friction you have for safety, for
6 braking and so on would be less because you're
7 using already some of that friction to transverse
8 the curve safely. And if you go fast, you need
9 more friction.

10 Q. And then there's another
11 use of the term relatively low, friction is -- in
12 your report you comment on the abutting pavements
13 to the Red Hill on the LINC and the QEW
14 interchange, which have been measured as higher
15 skid resistance levels, as where you described
16 earlier.

17 And if we could go to page 10
18 of Dr. Flintsch's report, please. And in the top
19 paragraph, if you could call that out, this is
20 what I was just speaking of about the higher
21 levels in the abutting pavements. And you
22 indicate:

23 "The difference between
24 the friction on the
25 adjacent highway

1 sections at either end of
2 the RHVP compared with
3 that on the RHVP itself
4 make the relatively low
5 friction on the RHVP more
6 problematic. Those
7 drivers reaching the RHVP
8 from the adjacent highway
9 sections with higher
10 friction may have an
11 expectation of friction
12 levels that are not
13 available on the RHVP."

14 And, again, you use the term
15 relatively low here. Does this reflect your
16 opinion?

17 A. Yes, it does.

18 Q. You can take that down,
19 please.

20 But Mr. Hein, as, you know,
21 disagrees with that. And if we could call up
22 Mr. Hein's report at image 10, page 8. Yes.
23 Thank you. In paragraphs 26 and 27, prior to
24 that, he quotes the passage in your report that I
25 just took you to. There we go. I won't read all

1 this, but I think Mr. Hein is saying here he
2 disagrees with you and that differentials in
3 friction and different pavement sections don't
4 create issues for the average driver or
5 expectations for them and it's usually only
6 appreciated, such changes are only appreciated, by
7 specialized drivers, like race car drivers and the
8 like. Could you comment on that?

9 A. Sure. I do agree that
10 regular drivers don't think about friction when
11 they're driving, but I don't agree that this is
12 not perceived by any way. It's because truly
13 friction is related with a stopping distance, so
14 if you are driving in a highway with a specific
15 friction, then you kind of get used to what it is,
16 how much brake effort you have to make, how much
17 distance you have to keep, just an example, from
18 the other vehicle. Then all of a sudden, if the
19 friction is lower, you will need a much higher
20 distance and you may not notice that, but then if
21 you assume it's the same, you may not have enough
22 distance to stop when you brake and crash into the
23 vehicle in front of you. That's just an example.
24 It's not that you are thinking about friction, but
25 you kind of get used to driving on a part that is

1 safe. And, again, when it start to rain, we
2 typically start to keep more distance from the
3 vehicle in front of us because we know there's
4 less friction there, but when the pavement is dry
5 or if it's wet in both cases, I cannot tell that
6 really there's been a change and that could become
7 unsafe in a way.

8 So, I think, I know we don't
9 think about friction, but in a way, the way we
10 drive is conditioned by how we feel the vehicle is
11 able to stop under regular driving conditions.
12 So, of course, if it changes all the time, I will
13 agree that -- but if it's significant
14 sections that it's high friction and then all of a
15 sudden lower, I may have more difficulty adjusting
16 to that because I don't know that I need more
17 distance.

18 Q. So, it's actually the
19 converse, really. If I understood you correctly,
20 that drivers, regular drivers, so you, me, maybe
21 you know it better, but certainly me don't
22 appreciate the differences in friction. Is that a
23 good way to put it?

24 A. Correct.

25 Q. Okay.

1 A. But it does impact your
2 sense of safety in a way and your safety because
3 if you get used to one value and all of a sudden
4 it's lower, you may not be aware that you need to
5 keep a longer distance between you and the vehicle
6 in front of you or that you need to slow down in a
7 curve because you may not be able to transverse
8 safely.

9 Q. You can take that down,
10 please, Registrar.

11 So, I would like to go back to
12 discuss the Tradewind report itself, followed by
13 the Golder report recommendations. And if we
14 could go to page 13 of your report. And you've
15 already commented on the Tradewind report to an
16 extent, so I'm not going to go through all of
17 this, but if we look at the fourth and fifth
18 bullets, please.

19 In these bullets, you're
20 referring to the conclusion in the Tradewind
21 report itself using the incorrect or the earlier
22 UK investigatory level to a 48 grip number rather
23 than the lower one that was current at the time,
24 but that Tradewind recommended a more detailed
25 investigation be conducted and possible remedial

1 action being considered to enhance the surface
2 texture and friction characteristics of the Red
3 Hill Valley Parkway based on the friction
4 measurements recorded in the current survey. And
5 then you indicate:

6 "I concur with this
7 recommendation. Although
8 Tradewind used an earlier
9 table with an earlier
10 conversion to convert the
11 investigatory levels for
12 the SCRIM to GN -- "

13 Grip number:

14 " -- and only one
15 investigatory level for
16 each demand category, the
17 same conclusion would
18 have been reached using
19 the levels reported in
20 2005 and reproduced in
21 table 1."

22 Which we've already looked at.

23 And so, we've discussed why, in your view, the UK
24 standards are a useful reference in a particular
25 instance. Can you describe why an investigation

1 would be warranted based on this?

2 A. Yeah. I do feel it is a
3 reasonable recommendation because, again, since
4 there are not -- we do have some measurements and
5 those measurements are below what is considered
6 safe -- well, not considered safe but considered
7 worth of an investigation in the UK, it makes
8 sense to me, well, I should investigate and if you
9 don't believe on the measurements, as I've
10 mentioned before, the minimum I would need to do
11 is verify that if I've used the equipment I'm used
12 to, that the measurements are okay.

13 Q. By using --

14 A. And appropriate for the
15 conditions I have.

16 Q. So, you mean, like, using
17 a device that you recognize or if you don't
18 recognize that standard, then a standard that you
19 do recognize and a device that you're familiar
20 with?

21 A. And the other thing, at
22 least in the US, that we do when there's an
23 investigation is look at the percentage of wet
24 crashes and see that's about the typical values
25 for what we consider a good friction road.

1 Q. Right. As part of the
2 investigation?

3 A. As part of the
4 investigation.

5 Q. And so, if you have a
6 report that indicates there may be an issue, do
7 you simply ignore it?

8 A. No. Especially when it
9 relate to safety.

10 Q. I'm specifically talking
11 about a friction report in this issue, so --

12 A. Yeah.

13 Q. And then if you could
14 call up the last two bullets, Registrar.

15 Just to close off, I think you
16 referred to this issue earlier, but here you talk
17 about potentially using those standards, you could
18 apply higher investigatory values, is the first
19 bullet, based on the demand categories. Is that
20 what that's referring to?

21 A. That is correct. The
22 value was the used to the report was for, kind of,
23 sections without any events, but curves are
24 considered an event and, again, depending on the
25 conditions, you could have decide, well, we have

1 several curves in the section, so I should use a
2 higher value as an investigatory level. Again,
3 going back, there may be a higher demand of
4 friction in that section.

5 Q. And then in the second
6 bullet all you refer to the localized sections of
7 lower values as referred to in the Tradewind
8 report?

9 A. Yeah. Correct.

10 Q. Is that just another
11 indication of a potential concern?

12 A. Exactly.

13 JUSTICE WILTON-SIEGEL: If I
14 could just ask a question with respect to wet
15 weather accidents. Are you saying that the
16 experience with wet weather accidents would
17 incline you to -- would be one of the factors that
18 would suggest that further investigation would be
19 warranted?

20 THE WITNESS: Correct, or a
21 confirmation that there's a friction problem. If
22 you are low friction and a high percentage of
23 crashes on wet weather, then that's a confirmation
24 that probably you need to correct for improve the
25 friction of that section.

1 JUSTICE WILTON-SIEGEL: In
2 other words, you would start with the assumption
3 that perhaps friction was lower than it had to be
4 on that particular section where you're seeing
5 that accident experience?

6 THE WITNESS: Correct. And
7 that's the way, the way the accident reduction
8 program in the U.S. have worked for many years.
9 We just using a proactive approach where we
10 measure friction. Before what we did is we look
11 at places where we have a high percentage of wet
12 crashes and then check if the friction is
13 appropriate in these sections, so it was the
14 opposite. Right now what we are saying, well,
15 let's measure friction at the natural level so we
16 can be proactive and find out places where we
17 may -- friction may be contributing to crashes and
18 then do the investigation. But before it says,
19 well, we don't have enough equipment to measure
20 friction everywhere, so let's look at the places
21 where we have a high percent of crashes and then
22 focus on those and see if friction is a problem.
23 So, if you have the two, it's a confirmation that
24 you have a problem.

25 And, again, I go back to the

1 fact that most dry and wet crashes increase when
2 friction goes down, when you have lower friction,
3 but the wet crashes increases a higher rate, since
4 when the friction is deficient, more deficient,
5 you get a higher percentage of wet crashes because
6 you demand more -- it's not that you demand more
7 friction. The friction value is lower when the
8 pavement is wet and we discussed that in the
9 Primer extensively.

10 MR. LEWIS: Does that answer
11 your question, Commissioner?

12 JUSTICE WILTON-SIEGEL: Yes.

13 BY MR. LEWIS:

14 Q. And we know CIMA did do,
15 in its 2015 and later reports, analyzed a higher,
16 high levels, of wet weather collisions, as you
17 mentioned. Is that, given the results in the
18 Tradewind report, is that something that you would
19 or would not have predicted?

20 A. Yeah, that's what I would
21 expect. Again, what I believe is a confirmation
22 that there is a friction problem.

23 Q. And then we discussed the
24 Golder report in 2014 which attached the Tradewind
25 report and made some recommendations.

1 If we could call up page 28 of
2 Dr. Flintsch's report, please. And in the
3 section 4.1.2, Golder, the first two paragraphs,
4 if you could call that up, please, Registrar, in
5 the middle.

6 And as we know and you refer
7 to here, Golder recommended in its January 2014
8 report that -- there were a couple of things and
9 the friction issue was embedded in it, which was
10 that there was a recommendation to deal with
11 cracking, longitudinal top-down cracking, by
12 resurfacing, and on the remaining portion, to
13 route and seal cracks, followed by applying a
14 single layer of microsurfacing, and then the
15 combination of the two, mill and overlay, and
16 applying microsurfacing, as stated in the Golder
17 report, by doing that, the issue of relatively low
18 FN on the RHVP would also be addressed.

19 And you indicate that, of
20 course, you're not opining about the cracking
21 issue, but that you agree with Golder that doing
22 that, the combination with the microsurfacing done
23 properly, would have addressed the low friction
24 issue at that time. That remains your view?

25 A. Yes.

1 Q. And so, Mr. Hein, in his
2 report, he opines that it was not necessary for
3 Hamilton to carry out microsurfacing on the Red
4 Hill at that time, in 2014.

5 If we could go to the Hein
6 report, image 12, please, which is page 10. And
7 Mr. Hein, his comments in response are here. And
8 then the question he poses at the start is
9 question 4:

10 "Comment on
11 Dr. Flintsch's opinion
12 that the RHVP should have
13 been microsurfaced in
14 2014 and, at resurfacing,
15 the RHVP was preferable
16 to shot blasting in
17 2019."

18 I don't think there's any
19 disagreement on the second part of it, so I'll
20 focus on the first part, the microsurfacing in
21 2014.

22 Is that what you were saying
23 in your report, that the City should have done it
24 right then?

25 A. No. I agree with the

1 recommendation that it could have addressed the
2 problem and I also agree with the recommendation
3 they should have been investigated.

4 Q. Right.

5 A. Yeah.

6 Q. So, just to come back, if
7 they were doing the microsurfacing along with the
8 partial resurfacing, that would have addressed the
9 issue. That's number 1?

10 A. If done properly, as I
11 mentioned before, because there was some complete
12 evidence in the literature about microsurfacing,
13 in some cases worked very well, in others it
14 didn't work as well.

15 Q. And I think the second
16 part of the recommendation you agree with, I guess
17 it's the Tradewind recommendation, about
18 investigating. Right?

19 A. Correct.

20 Q. If you weren't going to
21 address it, you should investigate it?

22 A. Correct.

23 Q. Okay. And think you
24 described that issue.

25 JUSTICE WILTON-SIEGEL:

1 Perhaps I can ask, just to be clear, when you say
2 it should have been investigated, do you mean that
3 there should have been more testing using, as you
4 described it, testing equipment with which one
5 felt more familiar, such as the locked-wheel
6 tester, or do you mean that there should have been
7 an investigation into whether, given the various
8 factors that create a demand for friction, there
9 was a need for more friction than these levels as
10 tested seemed to indicate?

11 THE WITNESS: Well, if I were
12 doing it, I would have done both.

13 JUSTICE WILTON-SIEGEL: Okay.

14 THE WITNESS: Because the
15 second part include looking at the crashes and the
16 geometry of the road. But, again, this is, as we
17 discussed before, there's a cost benefit part of
18 it, so how much depends on the conditions. In
19 this case, we did high traffic and high demand
20 road. Probably I would do both.

21 BY MR. LEWIS:

22 Q. And, along those lines,
23 just before we get into the issue of contributing
24 factors to collisions and the issue of wet weather
25 collisions, we discussed your view of whether a

1 particular level of friction declared by an
2 investigatory level or a guideline depends on the
3 demand and the circumstances and that's what you
4 were just referring to.

5 With wet road conditions, is
6 the question of whether inadequate friction is a
7 contributing factor to elevated wet road
8 collisions dependent on whether the friction
9 levels are above or below or at a particular
10 threshold, regardless of what that is?

11 A. No. Typically always
12 more collisions in wet weather because, again, the
13 friction is lower in the same location. Because
14 we measure wet friction and during dry conditions
15 the friction is higher than we are measuring
16 really, so that's why it's less critical, but it
17 does impact both and there's quite a bit of
18 evidence to that effect. But, again, during wet
19 conditions, we do get more crashes in percentage,
20 maybe not in number, but in percentage, than
21 during dry conditions. It also was the case that
22 the lower the friction, the percentage start to
23 increase.

24 Q. Okay. And at page 27 of
25 your report, if we could go there, there's the

1 reference to, just starting there, "In a legal
2 opinion." And then, Registrar, if you could call
3 out that and the next two paragraphs, sort of the
4 middle of the page.

5 JUSTICE WILTON-SIEGEL:

6 Page 27?

7 MR. LEWIS: Yes.

8 JUSTICE WILTON-SIEGEL: Sorry,
9 Mr. Lewis. This is page 27 of Dr. Flintsch's
10 report?

11 MR. LEWIS: Yes, that's
12 correct. Sorry about that.

13 JUSTICE WILTON-SIEGEL: Thank
14 you.

15 BY MR. LEWIS:

16 Q. And so, this is referring
17 to comments by Mr. Malone to a lawyer, David
18 Boghosian. It indicates:

19 "When asked to rank in
20 order of greatest
21 contribution to the
22 inordinate number of wet
23 road crashes, Mr. Malone
24 advised as follows."

25 And then Mr. Boghosian's memo

1 or opinion indicates the four bullets of:

2 "Slipperiness of the road
3 surface (i.e. the road is
4 slipperier when wet than
5 other roads which leads
6 to greater accidents than
7 on roads with similar
8 large numbers of
9 horizontal curves in wet
10 road conditions)."

11 Second bullet:

12 "Speeds exceeding the
13 capability of the highway
14 given the curvature of
15 the road."

16 Third:

17 "Curves in the road
18 (there are a number of
19 sharp curves having
20 design speeds of 100
21 km/hr, whereas a high
22 proportion of vehicles
23 are substantially
24 exceeding that speed."

25 And fourth:

1 "The close proximity of
2 the on/off-ramps to each
3 other leading to losses
4 of control and/or
5 drivers' errors as
6 traffic attempts to merge
7 onto the highway or cut
8 across lanes to get off
9 the highway."

10 And then the next paragraph,
11 as indicated, Mr. Malone testified on October 31
12 and your report was due very shortly thereafter
13 that, and so there's the indication below there
14 where Mr. Malone testified on October 31 that,
15 regarding that ranking, that he did feel that
16 those points, those bullet points, were
17 contributing factors but interrelated and that he
18 would not rank them and you were asked to give
19 your view on that, which is indicated in the last
20 paragraph there, that the proportion of Red Hill
21 Valley Parkway collisions that occurred on a wet
22 surface was high, so you agree with that:

23 "I also agree that all of
24 the listed factors,
25 including slipperiness of

1 the road surface (low
2 friction) probably
3 contributed to this
4 unusually high percentage
5 of wet road collisions.
6 However, I don't have
7 enough scientific
8 evidence to comment on
9 the order of greater
10 contribution attributed
11 to Mr. Malone in the
12 memo."

13 And so, in short, you agree
14 with Mr. Malone that including all of those
15 factors as contributors. Is that right.

16 A. Yes. Correct.

17 Q. But you don't rank them
18 because they're all interrelated. Is that right?

19 A. That is correct. And,
20 again, it's impossible to generalize. All of them
21 are important. In a particular crash, one might
22 be more important than the other, but I don't
23 think it's possible to, at least based on what
24 I've seen, that you can say, well, in all cases
25 this is what is the main cause in general.

1 Q. Right. And so, I think
2 you said that it was in a particular crash, one
3 might be more important than the other, but in a
4 generalized sense, you're not able to state?

5 A. Correct.

6 Q. And so, in that sense, do
7 you agree that Mr. Hein, he indicates that if
8 you're going to attribute something to a
9 particular crash, you have to have a particular
10 examination or a reconstruction of that particular
11 collision. Would you agree with that?

12 A. I do agree with that.

13 Q. And in those four factors
14 or those four bullets, I should say, if I
15 understood what you said before correctly, that
16 those three, the speeds, the curves, the on and
17 off-ramps, that those are things that go towards
18 the friction demand part of the analysis?

19 A. Correct.

20 Q. And although Mr. Hein
21 disagrees with the conclusion that the friction
22 levels on the Red Hill was relatively low, do you
23 read, from your reading, any disagreement in
24 Mr. Hein's report with your assessment that the
25 friction level, however it's characterized, is a

1 contributor to the high level of wet weather
2 collisions?

3 A. No.

4 Q. Commissioner, I'm at a
5 natural break point and it's almost 12:30. I
6 wonder if this would be a good time to take lunch?

7 JUSTICE WILTON-SIEGEL: That's
8 fine. Why don't we take the usual lunch. I guess
9 we'll take an hour and a quarter and that means we
10 would return at quarter to 2:00.

11 MR. LEWIS: Okay. Thank you.

12 JUSTICE WILTON-SIEGEL: Thank
13 you.

14 --- Luncheon recess taken at 12:27 p.m.

15 --- Upon resuming at 1:46 p.m.

16 MR. LEWIS: Good afternoon,
17 Commissioner, counsel and Dr. Flintsch. May I
18 proceed?

19 JUSTICE WILTON-SIEGEL: Yes,
20 please proceed.

21 BY MR. LEWIS:

22 Q. Dr. Flintsch, I want to
23 recapitulate a couple of things that you said to
24 make sure I understand them completely in your
25 comments about the Tradewind report and the Golder

1 report recommendations coming out of that. And
2 so, I'm going to tell you how I understood it and
3 correct me if I misinterpret it in any way.

4 As I understood it, that the
5 remedial measures that were recommended in the
6 Golder report, which was the mill and pave for the
7 part of the Red Hill where the longitudinal
8 cracking was identified as being an issue, and
9 that the microsurfacing, if done properly, as you
10 said, on the rest of the Red Hill would have
11 addressed -- you agree that that would have
12 addressed any friction issues. That's the first
13 thing.

14 A. I agree, yes.

15 Q. But if those remedial
16 measures recommended by Golder at that time
17 weren't taken, that there should have been an
18 investigation, as mentioned by the Tradewind
19 report. Is that right?

20 A. Yes, I agree with that.

21 Q. Okay. And then I thought
22 that was clear. Then the next thing was about two
23 potential parts about the investigation. You
24 referred to if there was a question about the
25 applicability or the usefulness of the grip tester

1 results and the UK standards and so forth and that
2 if it was thought that there was more familiar
3 equipment, like the ASTM locked-wheel tester and
4 so forth, if there were any questions about that,
5 then further questions should have been done using
6 the familiar equipment. Is that the first part of
7 it?

8 A. Yeah. That's my opinion.

9 Q. Okay. And then in any
10 event of whether that further testing was done,
11 investigate whether the friction demand may be
12 exceeding the available friction by reviewing the
13 geometry, speeds, traffic, collisions, those sorts
14 of things. Is that right?

15 A. Yes. Correct.

16 Q. Okay. Thank you. And I
17 would like to go back to, briefly, your references
18 to the CIMA reports in your report.

19 And, Registrar, this is at the
20 bottom of page 26 and 27.

21 The bottom three paragraphs
22 continuing on to page 27, but the bottom three of
23 26 on to 27 talks about the three CIMA reports you
24 specifically reference: The 2013 CIMA review,
25 done between the portion between Dartnall and

1 Greenhill Roads and potential issues there and
2 recommendations; and then the 2015 RHVP detailed
3 safety analysis bay CIMA, which refers to the
4 50 percent of the collisions on wet surfaces,
5 suggesting friction problems and, in particular,
6 the northbound mainline in the segment including
7 the King Street interchange showed a high
8 percentage and recommended friction testing as one
9 of the countermeasures that should be considered,
10 and also possible improvements recommended speed
11 enforcement, installing slippery when wet signs;
12 and then the January 2019 CIMA roadside safety
13 assessment, which had a further analysis of wet
14 surface collisions and opining that the findings
15 that inadequate skid resistance and excessive
16 speeds may be contributing factors to collisions
17 and noting that the portions between Greenhill and
18 King Street and King and Queenston had up to
19 88 percent of wet surface collisions.

20 And, again, what do these wet
21 weather collisions proportions tell you? Do you
22 agree with CIMA about your assessment?

23 A. Yes, I do. Again, as I
24 said before, these are high collisions and in some
25 cases, of course, very high. We are talking about

1 88 percent, as mentioned there. So, again, I feel
2 that these are very high and they are a
3 confirmation that, as we mentioned before, the
4 demand for friction exceeds the supply, the
5 friction that the pavement is supplying.

6 Q. And you've read the
7 report of Mr. Dewan Karim of 30FE responding to
8 Mr. Russell Brownlee's TNS report. Yes?

9 A. Yes.

10 Q. And in your report you
11 wrote about the City's annual collision reports
12 from 2017 to 2021 and indicated that you agreed
13 with -- you relied upon and agreed with
14 Mr. Brownlee's analysis about the significance of
15 the trends, the collision trends, after the
16 various countermeasures were enacted by the City
17 and the resurfacing took place in 2019.

18 And Mr. Karim, one thing he
19 takes issue with in Mr. Brownlee's report is
20 relying on the statistics post the resurfacing
21 because of the pandemic. First, that there's a
22 short period of time prior to that, but then
23 during the pandemic and indicating that the
24 pandemic threw everything off, if I can
25 paraphrase, and so the collision data from the

1 pandemic period in particular there, 2020 and
2 2021, is unreliable or at least insofar as it
3 cannot be compared to pre-pandemic data so that,
4 in effect, that you cannot reach conclusions
5 pertaining to the effect of those countermeasures
6 and the resurfacing because of the disconnect in
7 the data due to the pandemic.

8 And I anticipate that
9 Mr. Brownlee is going to testify that, after
10 considering Mr. Karim's perspective on this point,
11 that he has reconsidered and will agree with
12 Mr. Karim about the pandemic era data and the
13 unreliability of it.

14 And so, assuming that's the
15 case, would that change your other conclusions in
16 any respect?

17 A. No, although I note that
18 I haven't done any research on the post pandemic
19 crashes or anything like that. One of the reasons
20 that we use the ratio between wet crashes and dry
21 crashes for, kind of, assessing the friction issue
22 is because -- and, again, this is one of the
23 reasons, not the only reason. Is because when you
24 divide by the number of dry crashes, you're kind
25 of normalizing the conditions. And, again, I

1 don't have a very good specific basis for these,
2 but I just based on what I know about this
3 analysis, I feel that people will not try
4 different -- the changes of that affected both the
5 dry and wet conditions similarly. It would be
6 very unlikely that people will change their
7 behaviour in dry conditions but not in wet
8 conditions and vice versa. If they change the
9 behaviour, they will change both behaviours, so it
10 shouldn't be affecting the way here. But again,
11 this is just an opinion maybe instead of a
12 scientific fact.

13 And the second thing, even if
14 we -- I wouldn't trust the result. That's not the
15 case, after the pandemic, that wouldn't change my
16 conclusions because, again, the numbers you serve
17 are very high, as I said before, independent of
18 what happened after that. I suspect this will be
19 confirmed with future assessment.

20 Q. Now I would like to talk
21 about Dr. Hassan Baaj's report. I briefly
22 mentioned that at the outset. Broadly speaking,
23 he dealt with, I think, three broad issues. The
24 first is what you agreed with, was that the front
25 end testing, if I can put it that way, of the

1 Demix aggregate, that it met all the requirements
2 for use at the time of the construction, and we
3 already discussed that. And a second part is that
4 with respect to there was the MTO testing done in
5 1992, a very long time ago now, that the MTO
6 conducted and you mentioned it in your report and
7 Dr. Baaj indicated that it's incorrect to compare
8 anything to 1992. He agreed with you that
9 variation isn't uncommon or to be expected in a
10 quarry over time, and he goes into quite a bit of
11 detail about that.

12 And so, you have a reference.
13 It's at page 23, if we could go to it, to the 1992
14 testing. Yes it's that paragraph. Thank you.
15 The first full paragraph on that page, if you
16 could expand that just a bit. Sorry, the first
17 full paragraph that starts, "The PSV." Yeah.

18 And so, in the middle of that,
19 you note that the inservice pavement, PSV results,
20 from December 2017 is consistent with the results
21 of the MTO obtained from 1992, reported by the MTO
22 in December 2007, but lower than the value of 52
23 that the MTO reported for the same aggregate
24 source obtained from quarry in 2008. And then you
25 note that the variation is not uncommon as

1 different rock seams are exploited over time.

2 So, just what do you say about
3 what Dr. Baaj says about the 1992 results and
4 maybe just describe what your intention was when
5 you mentioned them?

6 A. I agree with him. Maybe
7 the way I worded the sentence wasn't the best. I
8 was asked to comment how well they were and how
9 they were similar, but I didn't mean to say that
10 they will have any impact whether the aggregate
11 later on was acceptable or not. It was just the
12 same value caught my attention, but I didn't meant
13 to imply that we should say the aggregate was bad
14 because of that.

15 Q. And then, as noted there,
16 you refer to the PSV of 45 obtained from the
17 inservice pavement, that it was extracted by
18 Golder in 2017 and then tested shortly thereafter.

19 One thing I should note,
20 Commissioner, at the bottom of this paragraph, and
21 I confirmed this after Ms. Roberts pointed it or
22 Ms. Ramaswamy pointed it out in December, that the
23 third last line, when it talks about an aggregate
24 susceptible to polishing loses its macrotexture
25 because of the abrasive affect of traffic, that

1 that should be microtexture?

2 JUSTICE WILTON-SIEGEL:

3 Microtexture?

4 MR. LEWIS: Yes, and we
5 confirmed that with Dr. Flintsch and advised
6 counsel at that time.

7 BY MR. LEWIS:

8 Q. That's correct? That's
9 just a typo there, doctor?

10 A. Yes.

11 Q. And so, we know that
12 Dr. Baaj spends a considerable amount of time in
13 his report talking about the unreliability of the
14 PSV results taken from the inservice pavement in
15 2017. And so, just to back up for one second, am
16 I correct that the observations in your report
17 about the polishing is relating to that polishing
18 is a cause of the approximately 20 percent drop in
19 skid resistance over the time period that you have
20 already discussed. That's the first thing. Is
21 that correct?

22 A. Yes, it is correct. It's
23 at least one of the factors related with that,
24 yes.

25 Q. Okay. And if, as

1 Dr. Baaj suggests, that you cannot rely, none of
2 us can rely on the 2017 PSV results of 45, does
3 that change anything else in terms of about what
4 the cause is in terms of polishing off the
5 microtexture of the aggregate?

6 A. No.

7 Q. Okay. And in the sense
8 that the polishing of the aggregate over time is
9 the cause of the reduction in friction?

10 A. Correct.

11 Q. Okay. And if we could
12 look at Dr. Baaj's conclusions or his report and
13 if we could go, Registrar, to Dr. Baaj's report,
14 image 26, which is page 25, I believe, so, this
15 one is one page off image and page.

16 And Dr. Baaj indicates in the
17 first -- in section 3.3 and referring to that drop
18 in friction of approximately 20 percent, Dr. Baaj
19 refers to you correctly as having described the
20 drop as significant and that you appear to connect
21 it to the PSV measured in 2017 from the inservice
22 pavement. And then he disagrees, I think, with
23 the drop in friction of 20 percent over a six-year
24 period being significant. Can you comment on
25 that?

1 A. Well, that depends on the
2 aggregate you are using of course in the regular
3 practices. In addition to that, it depends on
4 where you start with. If you start with a very
5 high friction value, then if you drop 20 percent,
6 you still have high friction. But if we have a
7 friction like we had that started with about 40
8 something and that being around 30, then it's more
9 critical than in other cases. And, again, what
10 I'm saying is it's relative, but I do feel that it
11 is significant.

12 Q. Okay.

13 A. And, again, I don't have
14 a lot of experience with other aggregates in
15 Canada, so I couldn't comment if that's average or
16 higher or lower than average.

17 Q. Okay. If we go to
18 page 25, it's image 26, the next page. Wait.
19 Sorry. Image 26. Maybe keep up that image and
20 the next one, please. That's it. Thank you.

21 In the last paragraph on
22 page 25, Dr. Baaj indicates that:

23 "Aggregate polishing is
24 in fact a significant
25 contributor to the loss

1 of the skid resistance of
2 pavements. As stated by
3 Dr. Flintsch, the
4 aggregate loses its
5 microstructure because of
6 the abrasive effect of
7 traffic and this is true
8 for all natural
9 aggregates. Therefore,
10 it is reasonable to
11 expect aggregate as to
12 polish during the
13 pavement surface life.
14 Aggregate polishing would
15 happen faster when the
16 traffic volume is higher
17 than the anticipated
18 design volume, which was
19 the case with the RHVP."

20 Then he goes on, as we said,
21 that he disagrees that the PSV testing is related
22 to that or, from 2017, the PSV testing, to
23 conclude that it's susceptible to polishing
24 because it was altered by being in service.

25 So, the first thing is do you

1 read this conclusion by Dr. Baaj as agreeing with
2 you about aggregate polishing being the cause or
3 contributing factor to the reduction in friction,
4 of whatever that was? Is that fair?

5 A. Yes. Yes.

6 Q. But disagreeing with you
7 that you can use the 2017 PSV results to arrive at
8 that conclusion?

9 A. Well, I did not use those
10 results to arrive to that conclusion. I was just
11 commenting that it's consistent. It's just an
12 observation. It really is not a cause effect
13 there. And I'm sorry if I implied that. I was
14 just commenting that there's the polishing and
15 there's a good amount of polishing, so consistent
16 with the value that was obtained.

17 Q. Okay. And is it typical
18 to do the PSV testing on inservice aggregates?
19 Dr. Baaj says that it's not, that that's not the
20 usual way of doing it.

21 A. No, I agree. I haven't
22 seen it before.

23 Q. Okay. And then, as I
24 understood it, and this is indicated in your
25 report, that polished stone value is intended to

1 give a representation of the terminal frictional
2 characteristics of the aggregate. Just so we
3 understand, could you describe what that means?
4 Is that the end point when it's fully polished.
5 Is that what that means?

6 A. In theory, that's what it
7 is looking for. Of course, in practice, you
8 always need to end somewhere with a test, so you
9 do so many cycles of polishing and then you stop.
10 So, it may or may not be the terminal value in
11 reality. So, that's why it very well could be
12 that when you start with a lower value, you may
13 end up with something lower than you would if you
14 start with an original aggregate. But, in theory,
15 the objective of the test would be to get the
16 terminal polishing value. So, if that's the case,
17 then it won't make a difference if you start with
18 an aggregate that's already polished because you
19 end up with -- but, again, one thing is the theory
20 and another is the practice. I couldn't -- I
21 never done this test myself, so I couldn't tell if
22 that's the case or not.

23 Q. Okay. And I think that
24 Dr. Baaj's overall point is that the inservice PSV
25 results do not indicate what the original

1 frictional qualities of the aggregate was, that it
2 indicates instead of, sort of, projecting its
3 current state and projecting forward. But is that
4 nevertheless consistent with indicating a loss of
5 friction over time, that there has been polishing?

6 A. Yeah.

7 Q. And I think you indicated
8 earlier that with respect to the polishing, you've
9 talked about the results taken by Tradewind and
10 Englobe, the friction results that were obtained
11 from the wheel paths versus the centre lane, and
12 that was something that you relied on on the issue
13 of polishing. Is that right?

14 A. Correct.

15 Q. And, as well, that the
16 macrotexture was, as you said, overall
17 satisfactory?

18 A. Yes.

19 Q. Okay. And if we could go
20 to your general observations and conclusions on
21 pages 29 and 30. Back, Registrar, to
22 Dr. Flintsch's report, pages 29 and 30.

23 I don't intend to read it out,
24 but starting -- I think what we were just talking
25 about, you refer about the macrotexture

1 measurements and the PSV value, but you've
2 explained your perspective on that, and that the
3 level of wear reflecting a decline in microtexture
4 is something that's reflected overall by the
5 testing.

6 Then if we could call up the
7 bottom paragraph on 29 and as well the balance of
8 30 where it continues. Sorry, all three
9 paragraphs on 30, if you can do that. Okay.
10 Thank you. That was a complicated one, I
11 appreciate, Registrar.

12 So, here, this is just a
13 summary of your conclusions?

14 A. Can you repeat that?

15 Q. Is this a fair summary of
16 your overall conclusions?

17 A. Yes. Yes, it is.

18 Q. Okay. And we've seen
19 that the expert reports tendered by the City and
20 Golder disagreed or semi-agreed with you on
21 certain points and so forth and attempted to
22 address those items. Is there anything that you
23 have read in the participants' reports or
24 discussion today that causes you to reconsider
25 this overall conclusion?

1 A. No.

2 Q. Okay. And this still
3 reflects your opinion?

4 A. Yes.

5 Q. Okay. Commissioner, I
6 would just like to review my notes. I may be
7 done. And I would like to just speak to
8 participants' counsel, if I may, just to talk
9 about their time estimates.

10 JUSTICE WILTON-SIEGEL: Sure.
11 Well, then, why don't we take a five-minute break
12 and I'll ask the registrar to put all the counsel
13 in a separate breakout room.

14 MR. LEWIS: Thank you.

15 --- Recess taken at 2:16 p.m.

16 --- Upon resuming at 2:35 p.m.

17 MR. LEWIS: We're back. May I
18 proceed, Commissioner? I just have, I think, one
19 or two questions?

20 JUSTICE WILTON-SIEGEL: Please
21 do so.

22 MR. LEWIS: Thank you.

23 BY MR. LEWIS:

24 Q. Dr. Flintsch, this is
25 just on the issue of the pandemic era collision

1 statistics and whether anything can be drawn for
2 that. And I just want to confirm the last part of
3 your evidence on that. I think you indicated
4 that, you know, if you can't rely on it, it
5 wouldn't change your conclusions about
6 pre-pandemic, but that once those statistics are
7 normalized, going forward, is that what you were
8 talking about, that if there is a reduction, that
9 could be varied going forward. Is that what you
10 were saying?

11 A. In part, yes, but I also
12 said when we are doing the ratio between the wet
13 and dry crashes, we are, kind of, normalizing the
14 percentage also. So, I wouldn't expect that the
15 percent between the wet and dry would change
16 because of the pandemic because I don't expect the
17 driving behaviour will be different when the
18 pavement is dry or wet or at least the change
19 in -- of course it's different, but the change in
20 behaviour will be similar. And, of course,
21 eventually the conditions will normalize and there
22 would be more data to convey, so I think it's
23 both.

24 Q. That's the point I was
25 getting at, was the second part about whether it's

1 now or whenever, when traffic conditions have
2 normalized. Is that what you were saying?

3 A. Yes.

4 Q. Okay. Thank you. I
5 don't have any further questions Commissioner.

6 JUSTICE WILTON-SIEGEL: Okay.

7 MR. LEWIS: I understand that
8 Ms. Roberts, on behalf of Golder, is going to lead
9 off, followed for Mr. Chen for the City and
10 Mr. Bourrier for the MTO after that and maybe
11 Ms. Laurion for Dufferin at the end. Thank you.

12 JUSTICE WILTON-SIEGEL:

13 Ms. Roberts.

14 MS. JENNIFER ROBERTS: Thank
15 you, Commissioner. May I begin?

16 JUSTICE WILTON-SIEGEL: Yes,
17 please proceed.

18 MS. JENNIFER ROBERTS: Thank
19 you.

20 EXAMINATION BY MS. JENNIFER ROBERTS:

21 Q. Dr. Flintsch, I'm
22 Jennifer Roberts, counsel for Golder. I have a
23 few questions, but I think you covered off what I
24 would have otherwise asked, so I will be fairly
25 brief.

1 I just want to begin with a
2 point from your evidence. And I'm going through
3 my notes, so please forgive me if I've got this
4 somewhat muddled, but this was the Commissioner's
5 question. When he asked in the context of your
6 evidence about whether the City had taken Golder's
7 advise and implemented microsurfacing, that that
8 would have improved friction, and then you said if
9 they didn't do that, that they should have then
10 done something about the advice provided by
11 Tradewind and conducted further investigation.

12 And you mentioned that if they
13 had been uncomfortable, I think that's your word,
14 with the testing that had been done, they should
15 have used a different kind of testing. Do you
16 remember --

17 A. What I said if it was --
18 I don't believe in the result of the grip tester,
19 but the grip tester is showing maybe I should be
20 doing something. The minimum I would do is to go
21 ahead and do testing with equipment I'm familiar
22 with, like the locked-wheel.

23 Q. Okay. But I take it that
24 you're not, in that answer, suggesting that there
25 was any uncertainty or unreliability about the use

1 of a grip tester?

2 A. No. No. We've used it
3 for several projects in the U.S., so no, I don't
4 have any issues with that.

5 Q. So, your evidence is if
6 the owner in this case was uncomfortable with the
7 methodology, they should have just used a
8 different methodology. Do I have that right?

9 A. Correct.

10 Q. Okay. Thank you. Okay.
11 I just want to address your evidence about the
12 expectation of friction on different sections of
13 the road, and I think maybe the easiest way to do
14 this is to look at one of the figures from your
15 report.

16 Registrar, if I can ask you,
17 please, to go to -- hold on, let me see if I can
18 find it -- Dr. Flintsch's report, pages -- let's
19 go to page 9, which is figure 4. Okay.

20 And you discuss in your report
21 and you have testified today that drivers may have
22 an expectation of friction going from one highway
23 with high friction to another one with lower
24 friction. Do you remember that part of your
25 testimony?

1 A. Yes.

2 Q. Okay. And I think, if
3 we -- and commission counsel took you to this.
4 This is the -- you've put in a graph format the
5 friction measurements by ARA before resurfacing
6 and it shows changes at either end, which we've
7 indicated is beyond the SMA asphalt, and that
8 signifies the circumstance you're talking about
9 where empirically there's a change in friction?

10 A. Correct.

11 Q. Okay. And I just want to
12 address this point because I think, you know, as a
13 driver, surely it's the case on any highway that,
14 as that highway is surfaced and resurfaced over
15 time, that there's consistently changes in
16 friction?

17 A. That is correct, yes.

18 Q. Okay. And so, that
19 expectation of friction is always going to be, you
20 know, at least in part violated by just the
21 ordinary resurfacing of a highway?

22 A. That is correct.

23 Q. Okay. Okay. Thank you.

24 And let me -- forgive me, sir. I am jumping
25 around a little bit.

1 A. No problem.

2 Q. I don't want to take you
3 in detail through evidence you've already gone
4 through. I just want to address the Golder
5 recommendation to use microsurfacing.

6 Registrar, can you take down
7 that call out, please. Thank you.

8 You say in your report that
9 assuming that microsurfacing were properly done,
10 that that would have improved friction. Now,
11 taking that assumption that the microsurfacing was
12 properly done, how long would you expect that the
13 improved frictional characteristics would last?

14 A. That's a very good
15 question and I don't know for sure, but I would
16 expect that it would be several years.

17 Q. Okay. Thank you. And I
18 want to go to a similar point in relation to your
19 evidence about shot blasting and skid abrading.
20 You address the recommendation to carry out shot
21 blasting and skid abrading. And you address that
22 recommendation and say in 2018 that, you know, by
23 the time that that recommendation was given in
24 2018, that the resurfacing was already pending and
25 you understood why that advice might not be taken,

1 bought resurfacing was a better answer. Do I have
2 that evidence right?

3 A. What I said, if I
4 remember correctly, is that in general,
5 microsurfacing is a long-term solution. We're
6 talking about several years. My experience with
7 the shot blasting is when you have heavy traffic,
8 it does provide some help, but it's only
9 temporary. We're talking about months. So, you
10 may have had to repeat it again if you -- but it
11 depends on the conditions again and it's a
12 treatment that has been used, but it's not widely
13 used around the world.

14 Q. Okay. So, let me just
15 dig into the treatment. And there some evidence
16 on this point as to what shot blasting and skid
17 abrading is and I understand that they're two
18 slightly different techniques, but in both cases
19 they essentially crudely roughen the surface
20 texture?

21 A. That is correct. And one
22 is a special case of the other. A skid abrader is
23 a shot blasting technology with some special
24 features that make it more efficient, at least in
25 theory.

1 Q. Okay. And, as I
2 understand it, and look to you for correction,
3 effectively you're shooting metal into the surface
4 of the highway and then using a magnet to pick it
5 up and that process roughens the surface?

6 A. Yeah. The impact of the
7 pellets, the steel pellets, break the aggregate
8 and expose the microtexture basically.

9 Q. And you alluded to it
10 already, but were either of these techniques of
11 shot blasting, skid abrading, applied in 2016,
12 2016, how long in general terms would you expect
13 the --

14 A. Yeah. Again, I don't
15 have a lot of experience with those treatments, so
16 it would be hard to say. But my opinion based on
17 the limited applications, we did test some
18 applications around Washington DC recently, we're
19 talking about months.

20 Q. Months?

21 A. Yeah.

22 Q. Okay. Then I take it the
23 techniques could have been used on sections of the
24 Red Hill?

25 A. That is correct.

1 Q. Okay. So, if I'm
2 following your evidence correctly, there were
3 areas where objectively there were high numbers of
4 collisions, particularly wet weather collisions,
5 and as you describe it, that in those
6 sections there's a very high demand for friction.
7 And I take it, sir, that in those areas, that they
8 could have been improved had the City used the
9 techniques of skid abrading or shot blasting.

10 A. That's a lot of ifs, but
11 if I had -- yeah, of course. The most critical
12 locations are where you have low friction and a
13 lot of -- high percent of wet crashes. So, if I
14 were going to fix a section like that, I would
15 emphasize fixing first the area, the localized
16 areas, where I do have the lowest frictions and
17 the highest percentage of wet crashes. And a skid
18 abrader or shot blasting could have been one of
19 the solutions. I know in the short term I've seen
20 improvements. Again, I couldn't guess how long it
21 would last. I don't think it would be a solution
22 for five years, but it --

23 Q. No. Yeah. I appreciate
24 that. Okay. So, it could have been applied as a
25 technique to improve friction temporarily, as you

1 say, pending a more comprehensive pavement
2 resurfacing?

3 A. Correct. And I know it
4 is used. I had one student who did some work in
5 Spain looking at these and it does provide a
6 temporary solution. This was a concession they
7 had to provide a specific level, so they would use
8 this as a regular practice to keep the friction in
9 acceptable values. So, there are experience like
10 that.

11 Q. Okay. Thank you. I just
12 want to go to a different topic. You were taken
13 to it by commission counsel, Dr. Baaj's view that
14 the decline in friction values of approximately
15 20 percent, he didn't think it was significant and
16 you do. And so, I just want to note that and
17 leave it.

18 I take it that the point
19 you're actually making, the important point, is
20 that regardless of whether you characterize the
21 change as significant or not, that the friction
22 was relatively low, pick your phrase, but your
23 view is that the evidence from the high number of
24 wet weather collisions and the high demand on
25 friction because of the geometry and those other

1 factors, that clearly that means that however you
2 call it, that there was insufficient friction for
3 the demand. Do I understand you correctly?

4 A. Yes.

5 Q. Okay. Thank you. That's
6 very helpful. Thank you, Dr. Flintsch. Those are
7 my questions.

8 JUSTICE WILTON-SIEGEL: Okay.
9 Mr. Lewis?

10 MR. LEWIS: Yes. I believe
11 Mr. Chen is up next for the City.

12 MR. CHEN: May I proceed,
13 Mr. Commissioner?

14 JUSTICE WILTON-SIEGEL: Yes,
15 please do, Mr. Chen.

16 EXAMINATION BY MR. CHEN:

17 Q. Good afternoon,
18 Dr. Flintsch.

19 A. Good afternoon.

20 Q. I'm counsel for the City.
21 Just taking you back to your Primer, it discusses
22 approaches that highway agencies use to specify
23 and manage, you know, frictional properties of
24 pavements in a number of jurisdictions, Australia,
25 the UK and New Zealand. Do you recall that?

1 A. Yes.

2 Q. But with respect to
3 Canada, in your Primer you state that you're
4 unaware of any published -- this is
5 paraphrasing -- provincial or national standards
6 in Canada respecting highway friction
7 investigatory or intervention levels. Do you
8 recall that?

9 A. Yes.

10 Q. And then you go on to say
11 that you consulted with colleagues to confirm that
12 was your understanding. Right?

13 A. That is correct.

14 Q. And you contacted
15 colleagues that had expertise, I suppose, in
16 Canadian friction management practices?

17 A. Correct.

18 Q. And I take it you did
19 that because they would have more knowledge and
20 experience with respect to Canadian friction
21 management practices?

22 A. That is correct. I look
23 at the literature and websites and all of that and
24 I couldn't find anything, but I wasn't sure, so
25 that's why I felt it was a good idea to check with

1 some local colleagues to verify that was the case.

2 I didn't want to miss anything, of course.

3 Q. Yeah. Fair. One of the
4 those colleagues was a name you've mentioned
5 today, David Hein. Do you recall that?

6 A. Yes, I did.

7 Q. And you e-mailed him for
8 help on this topic?

9 A. Yes. He's a good friend
10 and also a colleague in some of the activities of
11 the (unintelligible) association and ASE.

12 Q. And do you recall asking
13 Mr. Hein about specifically Canadian standards or
14 policies that speak to, I guess, required or
15 recommended friction levels?

16 A. Correct.

17 Q. All right. And you
18 reached out to Mr. Hein because you recognized
19 that he is a friction expert in Canada. Correct?

20 A. Correct.

21 Q. And I take it you're
22 aware of the work that Mr. Hein has done in Canada
23 with respect to pavements and friction testing?

24 A. Yes, some of it. I
25 wouldn't say -- especially mostly the

1 international work, he's been very active
2 internationally. That's when I interact with him
3 more. I never work with him on the project in
4 Canada, so I know what he's published about it,
5 but not all his work.

6 Q. Right. But would you
7 agree that Mr. Hein, being a friction expert in
8 Canada, he has more experience than you conducting
9 friction testing on Ontario roads, for example?

10 A. Of course, I never done
11 any testing on Ontario roads, but I don't think
12 that's a main -- I haven't done testing on roads.
13 We use many different pieces of equipment, when I
14 say me, our research group, on roads and all
15 around the U.S. and, through my consulting work, I
16 also been involved in a few other countries, but
17 never in Canada. I agree with that part.

18 Q. Right. Never in Canada
19 or Ontario for that matter?

20 A. Correct, although I was
21 examiner for a couple of the students that did
22 their Ph.D. dissertations on friction in Canada,
23 so I do have some indirect knowledge because I had
24 to review their dissertations.

25 Q. Okay. Indirect

1 knowledge. Thank you.

2 A. Yeah.

3 Q. So, just turning to
4 Ontario and the practices here, in your report,
5 and we've heard about it a lot in the inquiry, you
6 refer to the friction number of 30 that's used by
7 the MTO?

8 A. Mm-hmm. Yes.

9 Q. And you understand that
10 the MTO uses, I'll just say FN30 for short, as an
11 investigatory level?

12 A. That's what I understand.
13 I cannot find a formal investigatory level because
14 it's not a published number.

15 Q. Right. No, I understand
16 that. And that FN30 investigatory level, you
17 learned of that through preparing for this inquiry
18 or in the course of this inquiry?

19 A. Correct.

20 Q. All right. You haven't
21 previously in your work applied FN30 in any
22 context?

23 A. Not really, not myself.
24 We have assessed these because in the U.S. we are
25 moving from a simple number to a friction demand

1 concept similar to the UK, so we've been using
2 that concept, so we have defined investigatory
3 levels, but we have and I know that several of the
4 states have some numbers that they use, as they
5 were already discussed in the proceeding.

6 Q. And, Mr. Hein, as you
7 saw, I think Mr. Lewis had shown you one of the
8 tables that Mr. Hein has included, the variation,
9 you know, below 30, above 30. You recall that?

10 A. Correct.

11 Q. In your report, I just
12 want to make sure I'm clear about this, you don't
13 express an opinion on the MTO's use of FN30 for
14 friction management purposes, practices?

15 A. No.

16 Q. Correct? And you also
17 don't express an opinion on what Mr. Hein has said
18 in his report about the use of FN30, in your
19 report or in today's evidence?

20 A. In the report, I didn't
21 have the other report, so I couldn't comment. I
22 don't have any issues with the use of the
23 number 30. What I could recommend is I would say
24 that I don't see that that should be a value
25 that -- and we talk about this. We know 30, it's

1 an unsafe road. Higher in safety, FN30, I'm sure
2 is a safe road. What whether said several time is
3 that how much friction is needed, the friction
4 demand, depends on the context on that particular
5 highway, things like the speed the vehicles are
6 travelling, the geometry and all that. So, I do
7 agree that this is a relevant value. I'm not sure
8 that, as I said before, that means if you are
9 below 30 you are safe or vice versa, if you are
10 above -- sorry, the opposite. If you are below
11 30, you are not safe and if you are above 30 you
12 are safe. That part, I don't have experience to
13 tell you that because I haven't look at crashes
14 versus friction in the Canada.

15 Q. Right.

16 A. Or in Ontario.

17 Q. Right. And I may have a
18 question on that as we go on, but just so I'm
19 clear, just from, you know, strictly speaking
20 talking about investigatory level, you're not
21 saying that you shouldn't use FN30?

22 A. That is correct.

23 Q. Okay. Registrar, could
24 we bring up Dr. Flintsch's report, which is
25 EXP191, image 6. At the same time, could we also

1 bring up image 7.

2 Dr. Flintsch, one of the
3 comments that you make is that the RHVP friction
4 values seem to have stabilized after 2014 or so.
5 And, I take it, by stabilized, you know, you mean
6 that there was a decrease in the initial years and
7 in 2014 onwards to 2019 the friction values were
8 more or less in the same range?

9 A. Correct.

10 Q. Okay. And figure 2, as
11 the title suggests, what we see in that figure are
12 average measurements for the lane. Correct?

13 A. Correct.

14 Q. And I take it looking at
15 it, you know, from an average friction
16 measurements in the way that you have done it is
17 appropriate to, kind of, assess the friction
18 values?

19 A. Can you repeat the
20 question? Sorry, I did not understand it.

21 Q. Well, the way that the
22 friction values are presented in this figure are
23 average friction values and we're looking at this
24 graph or this bar graph to determine the friction
25 levels and what it is on the Red Hill. I take it

1 that because you presented it this way, it's a
2 proper way of, you know, looking at the data?

3 A. Yes. Saying if it's okay
4 to use the average versus the specific plot, I
5 also present the specific plots, so I present it
6 both ways. I think this is a reasonable way.
7 What I was trying show in this plot is that
8 friction drop significantly in the first few
9 years. That was the main objective of showing it
10 like this.

11 Q. Okay. And just one last
12 point on this graph before we move off of it. I
13 take it there is a FN30 in the line going through
14 it. I take it we can agree that none of the bars
15 on this figure fall below the FN30 line?

16 A. No.

17 Q. So, we can agree or you
18 don't agree?

19 A. No. We agree that none
20 of them -- none of the average fall below 30. We
21 are in agreement with that.

22 Q. Okay. And I won't take
23 you to this. This is a different topic in your
24 report, but you make the observation that the grip
25 tester numbers on the RHVP, Red Hill Valley

1 Parkway, were lower than the LINC. You're aware
2 of course that the LINC was resurfaced in 2011?

3 A. Yes.

4 Q. And as a general
5 proposition, you would expect that a newer
6 pavement could generate higher friction results?

7 A. Correct.

8 Q. And that would explain
9 the variation or the differential between the
10 friction levels on the LINC and the Red Hill?

11 A. Well, at least
12 potentially. There may be many other reasons,
13 too, but that could be one of the reasons of
14 course.

15 Q. Okay. Great. Thank you.
16 Mr. Lewis raised the UK levels with you and we've
17 touched on it a little bit in your report. You
18 say that the UK guidelines can provide a good
19 reference.

20 First question: You're not
21 aware of whether the UK investigatory levels have
22 ever been applied in Ontario. Right?

23 A. No.

24 Q. Okay. And is it fair to
25 say that an investigatory level set by one

1 jurisdiction does not necessarily suit the
2 conditions or needs of another jurisdiction?

3 A. Correct.

4 Q. And so, if a road
5 authority wants to adopt an investigatory level
6 from another jurisdiction, you know, you may want
7 to consider the differences of those conditions
8 between jurisdictions?

9 A. Correct.

10 Q. This is not a
11 one-size-fits-all situation?

12 A. Agree.

13 Q. Okay. And Mr. Hein, in
14 his report, talks about the Austroads report,
15 which Mr. Lewis had raised with you as well, and I
16 don't think we need to bring it up, but in that
17 report it points out various things that you would
18 want to look at before relying on an investigatory
19 level from another jurisdiction so I just want to
20 see what your thoughts are on it?

21 A. Sure. Sorry, I thought
22 you were done the question.

23 Q. I'm going to be a bit
24 more specific. One of the things that you would
25 look at the construction material, so the

1 availability of aggregates. That would affect the
2 investigatory level?

3 A. It could.

4 Q. Climate?

5 A. Yes.

6 Q. Right. And another point
7 that the report raises is road management budgets
8 of a particular city. That's also a fair
9 consideration?

10 A. All of those are valid
11 consideration, I agree.

12 Q. All right. And budgets
13 themselves are important not just for, you know,
14 looking at investigatory levels, but also with
15 respect of what remedial measures or treatments
16 may be of value at a particular time?

17 A. Of course.

18 Q. All right. So, I want to
19 switch topics to the very technical conversion
20 relationship that we have in your report. And,
21 you know, Mr. Lewis brought this up with you. And
22 the conversion is from grip tester results, so GN,
23 to FN90, friction number 90 or at 90 kilometres an
24 hours. Correct?

25 A. Correct.

1 Q. And so, the steps that
2 you do, you take, to do the conversion are set out
3 in your report. I may have lost the reference.
4 It's at 2.1.2.3. I'm just trying to locate the
5 actual image number. Bear with me one second.

6 MR. LEWIS: It's page and
7 image 18 and 19.

8 MR. CHEN: Thank you,
9 Mr. Lewis.

10 BY MR. CHEN:

11 Q. Okay. Perfect. So,
12 you've testified that you have done the conversion
13 in four steps, two equations, two adjustments.
14 Correct?

15 A. Correct.

16 Q. I take it there's no
17 reliable formula to use to convert directly from
18 GN to FN. Right? Otherwise, you would have used
19 that instead of a four step approach?

20 A. That is correct. That's
21 discussed in the Primer and also in Mr. Hein's
22 report. There have been many attempts over the
23 years to do these. And the reason why I used
24 these two in particular is because they are based
25 on significant amount of testing in a wide range

1 of pavements. A lot of the other corrections are
2 based on wider ranges of friction, so it's very
3 hard to get a good accurate conversion that you
4 could extrapolate. And, again, if you have
5 facilities where you have high values and low
6 value, of course your equation is more
7 comprehensive and that's the reason I chose these
8 two in particular.

9 Q. Right. But just for the
10 direct conversion to GN to FN, there is no formula
11 out there that will give you any, you know, sort
12 of comfort in the conversion?

13 A. That's correct. There
14 are many that have been published, including some
15 that we developed in our research group, but I
16 think these two in particular, I felt more
17 confidence with.

18 Q. Okay. Well, in 2017,
19 there's a paper by, I think, your research
20 department?

21 A. Yeah.

22 Q. If we can pull that up,
23 EXP13.

24 A. That's the one from North
25 Carolina?

1 Q. Yeah, that's correct.

2 A. That is correct, yeah.

3 Q. You know your work very
4 well. If we can go to image 33, just jumping to
5 the conclusion.

6 A. Yeah. There is an
7 equation there where we had a relationship but we
8 didn't felt that it was very accurate and then we
9 mentioned that when we specify. I think it's a
10 couple pages before that, I believe.

11 Q. If I can just confirm
12 your conclusion here, Conclusions, then there's
13 the bolded heading, Harmonization/Interconversion
14 of Equipment, and you say:

15 "The direct results of
16 the comparison showed
17 that: Comparing the
18 locked-wheel to the GN
19 and SR measurements
20 produced low to moderate
21 correlations (under 50
22 percent)."

23 Do you stand by that
24 conclusion?

25 A. For this particular data

1 set, I do.

2 Q. Okay. Great. Thank you.

3 We can bring that down and turn back to image 18

4 of the report, EXP191.

5 MR. LEWIS: I'm sorry to
6 interrupt, but I was wondering if that should be
7 made an exhibit?

8 MR. CHEN: Perfect. Thank
9 you. Thank you, Mr. Lewis.

10 THE REGISTRAR: Noted as an
11 exhibit.

12 JUSTICE WILTON-SIEGEL: What's
13 the number, Mr. Registrar?

14 THE REGISTRAR: 227.

15 JUSTICE WILTON-SIEGEL: Thank
16 you.

17 EXHIBIT NO. 227: Paper
18 published by
19 Dr. Flintsch's research
20 department in 2017,
21 EXP13.

22 BY MR. CHEN:

23 Q. If we can go back to
24 image 18 when you have a moment, Mr. Registrar, 18
25 and 19. And in terms of how the conversion goes,

1 Dr. Flintsch, you take the value that you obtain
2 from the first formula and then you apply it to
3 the next step. Is that right?

4 A. Correct.

5 Q. And step one of the
6 conversion is the conversion of the grip number to
7 the SCRIM reading?

8 A. Correct.

9 Q. And to do that, you
10 relied on -- there's a cite, Dunford 2010. That's
11 the UK project that you referred to?

12 A. Correct.

13 Q. Okay. And you're
14 familiar with that project, I assume?

15 A. Yes, I am.

16 Q. So, can we turn up EXP34,
17 page 7.

18 Dr. Flintsch, so, for this UK
19 project, it was carried out in October 2009. You
20 see that there? I take it that's a yes with the
21 nod?

22 A. I'm sorry. I didn't
23 understand the question.

24 Q. No, I was just asking you
25 to confirm that this was when it took place.

1 A. Okay. Yes, of course.

2 Q. And for this particular
3 trial, there were 11 grip testers and two SCRIMS.
4 Is that right?

5 A. I don't remember the
6 numbers, but I assume that's correct.

7 Q. All right. Well, well
8 come to that, but one of the objectives is to see
9 how the grip tester results, you know, compare
10 with the SCRIM results. Right? That's where you
11 were, kind of, running both machines?

12 A. Correct.

13 Q. All right. You were also
14 comparing how various grip tester results compare
15 amongst each other. That was also part of the
16 exercise?

17 A. I don't remember the
18 details, but I think that's probably correct, yes.

19 Q. All right. And just as a
20 general matter, when we talk about conversions, is
21 it correct that there are two concepts that are
22 important: Repeatability and reproducibility?

23 A. That is correct.

24 Q. And repeatability is the
25 ability for a measurement tool, like the grip

1 tester, to, kind of, repeat its results. Right?

2 A. That is correct.

3 Q. And reproducibility is
4 the ability, you know, for a different measurement
5 to a different grip tester to obtain the same
6 results?

7 A. Sorry, I lost you a
8 little bit because you broke for a second when you
9 were talking.

10 Q. Repeatability is the same
11 machine getting the same results. Reproducibility
12 is a different machine getting similar or same
13 result from a different machine?

14 A. That is correct.

15 Q. Somewhat of a mouthful,
16 but I think --

17 A. I know.

18 Q. -- we're on the same
19 page. All right.

20 A. So, the questions they
21 use, they are related with the reproducibility of
22 the devices basically.

23 Q. Right. And you touched
24 on this in your evidence earlier, but the formula
25 that's developed, you know, that's part of this

1 trial, is derived from the various roads and
2 tracks that were used in this trial?

3 A. The various sections,
4 yes. There were shorter sections and they have a
5 research facility where they were first done, if I
6 remember correctly.

7 Q. Right. And can we agree
8 that, you know, none of the sections or the test
9 facilities here replicate the Red Hill Valley
10 Parkway?

11 A. Well, I couldn't answer
12 that. There may be one that is similar. Truly, I
13 don't remember the details. You may go to the
14 page where they show the sections. I reviewed
15 this report in preparing the Primer, but that was
16 a long time ago now. I don't remember the detail.

17 Q. Let me just locate the
18 page.

19 A. One of the good things
20 I've seen in this report was, again, that there
21 was a wide range of different friction values from
22 relatively low to kind of high values.

23 Q. Okay.

24 A. That part, I do remember.
25 But if it was an SMA section similar to the one we

1 are dealing with, I truly don't remember.

2 Q. That's fair. I'll take
3 you to something more specific.

4 A. Yeah.

5 Q. But perhaps something
6 less challenging. I take it we can agree that the
7 grip tester that was used to conduct the Tradewind
8 testing in 2013 was not the same as any of the
9 grip testers that were used in this trial?

10 A. I agree with that.

11 Q. Okay.

12 A. Probably the locked-wheel
13 that was used by MTO wasn't the same one used by
14 ARA later.

15 Q. Fair enough. I'm just
16 focused on --

17 A. Yeah, yeah.

18 Q. -- the formula and how we
19 arrive at the formula.

20 A. Okay.

21 Q. And this report, aside
22 from the fact that, you know, there's variations
23 between the grip tester, it also talks about the
24 variations between, you know, operators and tow
25 systems being different. That's all correct?

1 A. That is correct.

2 Q. Okay. And so, just
3 looking at some of the results of this project, if
4 we can go to image 18, table 4.4, Dr. Flintsch,
5 titled Average Grip Number Measured on All Road
6 Sections.

7 A. Can you make it a little
8 bit bigger, that table, please? Okay, perfect.

9 Q. Thank you, Mr. Registrar.
10 I have to say this is some fascinating stuff. But
11 just looking at row 1, which is a particular
12 section, and then there are letters on the top, A
13 to L, those represent the different grip testers
14 that did the testing. So, if we look at row 1, am
15 I right that the variation that we see in the 11,
16 10 or 11, grip testers is that it can go as low as
17 0.46, which is A, and as high as 0.63, which is H?

18 A. That is correct.

19 Q. Okay. So, that's a
20 difference of, you know, 46 GN and 63 GN?

21 A. Yeah. And if I remember
22 correctly, they are highlighted because they
23 identify that they probably had some problems with
24 those measurements, if I remember correctly.

25 Q. Yeah. I think it was K

1 where they had to exclude that machine because it
2 was producing results higher than, much higher,
3 than the other grip tester challengers.

4 And if we can turn to
5 image 21, and I appreciate, Dr. Flintsch, that I'm
6 getting into the details, but I have to
7 understand, you know, how this formula was
8 derived, as I understand it, figure 4.1 shows the
9 results of the study, so they've plotted the
10 results that they have. Do you see that?

11 A. Correct. Correct.

12 Q. And so, the grip numbers
13 range from about 0.45 all the way to 0.85 or so.
14 Correct?

15 A. Correct. And there's one
16 value very low there at about 0.1.

17 Q. Right.

18 A. Yeah.

19 Q. So, the data set that
20 we're dealing with in which this equation was
21 developed is replicated here. Correct?

22 A. Correct.

23 Q. All right. And from that
24 data set, we get to the equation at the bottom, SC
25 equals 0.89 times GN. Correct?

1 A. That is correct. These
2 are, I think, if I remember correctly, is the
3 average for each device, for each section, sorry,
4 from all the devices.

5 Q. And it says here at the
6 bottom there:

7 "This conversion should
8 be used with caution."

9 And I take it that's
10 consistent with the evidence that you gave today
11 with respect to conversions?

12 A. Correct.

13 Q. Okay. And if we can go
14 to image or if we could also put up image 12,
15 which is the page before this, so that -- sorry,
16 my mistake. Image 20 and 21.

17 And you had mentioned before,
18 Dr. Flintsch, that the trial was run on different
19 sections with different types of services. And on
20 the left side of your screen just at the bottom
21 there, that last paragraph, it says:

22 "Section 3B on the track
23 and all measurements from
24 grip tester K on the
25 track have been

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

excluded."

Do you see that notation? We

can blow up the --

A. Can you repeat that?

Where is that? Sorry.

Q. If we can just call out

the paragraph, the bottom paragraph, on image 20.

So, it's that second line at the end,

Dr. Flintsch, section B, 3B?

A. Yeah, that's correct.

Q. I take it you don't know

what section 3B is until --

A. No, no. No, I don't.

Q. Okay. So, if we can

go --

A. I visit the track once

many years ago, but I -- yeah.

Q. I seem to have lost --

image 11. If we just look at the table 2.2 there,

section 3B, it says SMA?

A. Mm-hmm.

Q. Right. So, that would be

the section that was removed. That data was

excluded. Do you agree with that?

A. Yeah.

1 Q. Okay. We can take that
2 down, Registrar. Thank you very much.

3 Dr. Flintsch, a different
4 topic. You talk about the impact of temperature
5 on friction measurements in your report?

6 A. Yes.

7 Q. And one of the things
8 that you say is that no measurement should be
9 taken for -- sorry?

10 MR. LEWIS: Sorry to
11 interrupt. Should we mark that as an exhibit?

12 MR. CHEN: We should.

13 MR. LEWIS: I do it all the
14 time, so I can't fault you. I'm not sure of the
15 document number, though. The doc ID.

16 THE REGISTRAR: EXP34,
17 Exhibit 228.

18 MR. LEWIS: Thank you.

19 EXHIBIT NO. 228: UK
20 project carried out in
21 October 2009, EXP34.

22 MR. CHEN: My law clerk is not
23 sitting with me, signalling me to do it, so that's
24 why I'm failing a bit.

25 BY MR. CHEN:

1 Q. Dr. Flintsch, just going
2 back to where it was, you talk about the impact of
3 temperature on friction measurements in your
4 report and one of the things you say is that no
5 measurements should be taken when the temperature
6 drops below 0 degrees because water may freeze.
7 Is that correct?

8 A. That is correct. That
9 was in the Primer, I believe, not that the report
10 that we're discussing now. It's provided as an
11 appendix. I agree, yeah.

12 Q. Yeah. And I think it's
13 also in your report after you talk about the
14 conversion at --

15 A. Well, when we talk about
16 the VPN, I said they're not reliable because they
17 are done below 0 degrees, so it is implied there,
18 yes.

19 Q. And so, just going back
20 to that point, if the water freezes, the values
21 are unreliable?

22 A. Correct, because you're
23 testing on ice, not on a wet pavement. Yeah.

24 Q. And in your report, aside
25 from the 0 degrees Celsius that you talk about,

1 you also talk about your personal recommendation,
2 also the recommendation of AASHTO, that friction
3 testing be conducted with pavement temperatures
4 between 5 degrees Celsius to 50 degrees Celsius.
5 Correct?

6 A. Yes, that is correct.

7 Q. And is it fair to say
8 that you would ideally want to avoid friction
9 testing at near freezing temperatures as well, so
10 close to 0?

11 A. Yeah, because I will be
12 measuring the friction value too high compared to
13 what really we do under regular conditions. The
14 lower the temperature, the higher the value I
15 measure in, so it would be too high when in
16 reality the friction will be lower than that.

17 Q. Right. But is there not
18 also, you know, if you're close to freezing
19 temperatures, a measure of unreliability in -- go
20 ahead.

21 A. Well, the recommendation
22 is mostly based on the fact that I don't want to
23 measure a friction higher and it not be in the
24 safe side. What I'm saying is if you test in
25 between 5 and then I don't remember what the other

1 temperature is, you get the value that is
2 freezing. With the rubber, it starts to get too
3 hard with low temperatures, then the friction
4 value you're measuring is higher than what you're
5 really getting on the road. That's my
6 recommendation. But I know that there's some
7 agencies that do that and they do correct for
8 temperature. So, I wouldn't say they are
9 unreliable. I think this is just a recommendation
10 truly. I don't have a good argument either way.

11 Q. Right. So, if you were
12 testing in, you know, temperature ranges that are,
13 you know, even below your 5 degrees -- let me just
14 step back. As I understand it, when you're
15 conducting friction testing, you want to be able
16 to control as many variables as you can. Right?

17 A. Correct.

18 Q. Right. And so, if the
19 temperatures have been below 0 and slowly rising,
20 for example, are you saying that that's not a
21 range of temperature that would cause you any
22 concern with respect to friction testing?

23 A. Really, I couldn't say a
24 way or another really. Of course I don't want to
25 test below freezing, as I said before, and I would

1 need to look at each case in particular before I
2 say, well, I feel good or not about this. I know
3 if you look at most of the standards, they don't
4 have a range of temperatures because we are not
5 sure, but if you ask me about my opinion, I don't
6 see it would be unreliable. And also, we are
7 talking pavement temperature or air temperature?
8 That's another question, because the pavement is
9 typically warmer than the air by a lot of degrees
10 during the day and by a few degrees during night.
11 So, if you're talking about one degree, it's very
12 likely that the water will be freezing on the
13 pavement. If you are talking about minus one
14 degree, I would say probably I wouldn't measure,
15 but I'm not sure -- I don't know, to be frank.

16 Q. Okay. That's fine.

17 Thank you very much.

18 A. Thank you.

19 Q. Just to confirm a
20 separate point, Dr. Flintsch, your report goes
21 into the macrotexture and measurements on the Red
22 Hill. Am I correct that your conclusion on
23 macrotexture is that the macrotexture on the Red
24 Hill was appropriate and acceptable?

25 A. Correct.

1 Q. Okay. And turning to
2 your comments on resurfacing and shot blasting,
3 specifically with respect to shot blasting, your
4 view is that shot blasting can be a good
5 short-term solution as it relates to friction. Is
6 that correct?

7 A. Correct.

8 Q. Right. And when we say
9 short-term, I take it you mean a short period of
10 time?

11 A. Correct. As I explained
12 just before this discussion with Ms. Roberts is
13 that you break the aggregate and you expose some
14 of the microtexture and, again, these are small
15 areas that get polished again relatively quickly.
16 Truly, I don't have enough experience to tell you
17 if it's three months or one year or something like
18 this. But I don't think it does provide, based on
19 what I've seen in the literature, like, a five
20 years improvement that will last several years.
21 That's -- I'm quite sure.

22 Q. And so, you had mentioned
23 that microsurfacing needs, you know, to be done
24 properly. I take it with shot blasting, similarly
25 the effectiveness, if, you know, I understand your

1 point about the experience, the effectiveness of
2 shot blasting may very well depend on, you know,
3 the asphalt and the aggregate that you're dealing
4 with. Is that fair?

5 A. That is fair.

6 Q. And one of the points
7 that Mr. Hein makes in his report, which I think
8 you've read, is that shot blasting sometimes is
9 actually over abrasive and it may actually affect
10 friction detrimentally. Would you agree with
11 that?

12 A. Truly, I don't know. I
13 haven't seen it, but that doesn't mean that --
14 truly, what I've seen is that it's used as a
15 treatment to improve friction, so I couldn't tell
16 if it could be detrimental, but it's not
17 unreasonable.

18 Q. And you conclude in that
19 section of your report that resurfacing is a
20 better and long-term solution. Why is that?

21 A. Because you're starting
22 with a new surface.

23 Q. Okay. Fairly
24 straightforward, Dr. Flintsch?

25 A. Yeah.

1 Q. And so, obviously with
2 that comes a longer term solution?

3 A. Exactly.

4 Q. Right. Okay. And just
5 going back to what Mr. Hein said about shot
6 blasting and it being over abrasive, you don't
7 dispute, I take it, his conclusion that that's a
8 possibility?

9 A. No.

10 Q. Okay. And I apologize
11 for jumping back and forth, Dr. Flintsch. I'm
12 just, kind of, going through the notes and making
13 sure that points are covered and I don't want to
14 ask you again.

15 There is, in your report,
16 references to localized areas with low friction.
17 Do you recall using words to that effect?

18 A. Yes. I believe it was
19 lower friction, but --

20 Q. Okay. Well, just to be
21 fair, I think there's lower and also localized
22 elsewhere, but we don't need to go into that.

23 I take it you've, you know, in
24 your report, indicated there are localized areas
25 with lower friction, which are identified in the

1 Tradewind report. Do you recall that?

2 A. Yeah.

3 Q. And you've reviewed the

4 Tradewind friction data, of course?

5 A. Yes.

6 Q. And when I look at those

7 results and compare it to ARA, for example, I see

8 GPS coordinates with the ARA data, as an example,

9 but not the Tradewind. Is that your observation

10 as well?

11 A. That is correct.

12 Q. Okay. And without GPS

13 coordinates, you would agree that it's, you know,

14 quite difficult to determine with any precision,

15 you know, where the areas of low friction are,

16 looking at the Tradewind report?

17 A. Well, yes and no. Of

18 course you won't be able to locate it to the point

19 that you know exactly where they are, but I think

20 since you have the niche at the beginning of the

21 end of the section, it's relatively easy to point

22 with an accuracy. If you have to repair them, you

23 should be able to locate them. I wouldn't have

24 any problem locating them myself.

25 Q. Okay. So, you're

1 suggesting that -- and I'm trying to think back to
2 how the Tradewind data looks, but you're going,
3 like, roughly in sections of --

4 A. Yeah. I'm not talking
5 about -- yeah. You're not talking about fixing
6 five metres of road here, because that would not
7 affect friction, but we're talking about a few
8 hundred metres. That's a little bit different. I
9 don't know if I -- can you hear me?

10 Q. Yes, I can hear you.

11 A. Okay. Something happened
12 on the screen. I don't know what. I don't know
13 if I answered your question or not. I'm sorry. I
14 got a little bit distracted.

15 Q. No. I think we agree
16 that the Tradewind data doesn't provide you with
17 any precision of where, you know, some of the
18 localized areas are, but you're saying that you
19 would, kind of, look at it more broadly. Is that
20 fair?

21 A. That is correct, yes.
22 And, again, we need to keep in mind that some of
23 those tests were done several years ago with GPRs,
24 not as commonly used as it is now.

25 Q. Give me one second,

1 Dr. Flintsch.

2 A. No problem.

3 Q. One of the topics that
4 you address in your report is the contributing
5 factors to the wet road collisions?

6 A. Yes.

7 Q. And in discussing the
8 ranking of, you know, the potential contributing
9 factors to wet weather collisions on the Red Hill,
10 you confirmed earlier that, you know, it's
11 impossible to rank them because a they're all, you
12 know, interrelated. Is that a fair
13 characterization?

14 A. That is correct. They're
15 interrelated and, again, the reports change from
16 one crash to another.

17 Q. Yeah, agreed. And just
18 in terms of the contributing factors, you know,
19 they would include, so we talked about, you know,
20 slipperiness. There's also speeding and
21 curvature. Those are factors as well?

22 A. Yes, they are.

23 Q. Right. And you can't
24 say, when you're looking at those factors, that,
25 you know, one factor is contributing more than

1 another to collisions on the Red Hill. Correct?

2 A. Correct.

3 Q. Okay. And that is

4 consistent with what you've said at the outset of

5 your evidence, that -- and I think it's in your

6 Primer -- deficient friction is, you know, seldom

7 the main cause of a crash, but that it could cause

8 or contribute to crashes in the presence of other

9 contributing factors. Is that right?

10 A. Correct.

11 Q. And that's because, I

12 take it, the contributing factors, like human

13 error or speeding, they would create an increased

14 friction demand as well? Is that correct?

15 A. Correct.

16 Q. All right. And I think

17 one of the points that you raised today that the

18 higher friction values could, you know, avoid the

19 crash or reduce the severity of the crash. Is

20 that correct?

21 A. Correct.

22 Q. And so, just looking at

23 it from the other perspective, it's fair to say

24 that, you know, countermeasures or actions that

25 reduce the friction demand could also avoid the

1 crash or reduce the severity of the crash. Is
2 that correct?

3 A. Correct. Yes.

4 Q. All right. And I think
5 you were talking about, you know, friction demand
6 and supply, so we're, kind of, bringing that
7 together.

8 You're aware and you've read
9 the 2015 CIMA report? You may not have read it
10 all, but you're aware of it?

11 A. Yes. I had it all
12 because I had to review it carefully, so I did
13 read it. It was while ago, so if you ask me for
14 details, I need to go and look for them.

15 Q. No, no. I will be
16 staying high level.

17 A. Okay.

18 Q. But you understood that
19 the 2015 CIMA report to, you know, discuss the
20 detailed safety review that was done on the Red
21 Hill?

22 A. Yes.

23 Q. All right. And so, based
24 on collision analysis, CIMA in that report
25 concluded that a combination of speeding and wet

1 surface conditions may be contributing to the wet
2 weather collisions on the Red Hill. Does that
3 accord with your recollection?

4 A. Yes.

5 Q. And, you know, just
6 considering the conversation we've been having, we
7 can't generalize which one of those factors would
8 be the primary contributor. Correct?

9 A. I agree.

10 Q. Okay. So, if a
11 countermeasure were to be deployed that reduces
12 speeding, for example, that, as we discussed,
13 could reduce the demand for friction. Right?

14 A. Yes.

15 Q. Okay. And
16 countermeasures like those ones could also, then,
17 reduce or result in a reduction of the number of
18 collisions or reduce the severity of the
19 collision?

20 A. Yes.

21 Q. Yes? You're nodding.

22 A. Yes. I think we all
23 agree on that, yes.

24 Q. Perfect. Okay. If I can
25 just have a minute to consult.

1 Mr. Commissioner, I wonder if
2 we could take our afternoon break just to confirm
3 that there's no further questions from our end?

4 JUSTICE WILTON-SIEGEL: That
5 would be fine. Let's take a five-minute break.
6 Sorry, a 15-minute break, if you want to take the
7 afternoon break. I guess we have plenty of time.
8 It's a quarter to 4:00 now. We'll return at 4:00.

9 --- Recess taken at 3:43 p.m.

10 --- Upon resuming at 4:00 p.m.

11 MR. CHEN: Mr. Commissioner,
12 no further questions from the City. Thank you.

13 JUSTICE WILTON-SIEGEL: Okay.
14 Thank you, Mr. Chen.

15 MR. LEWIS: I believe
16 Mr. Bourrier was up next.

17 MR. BOURRIER: May I proceed,
18 Commissioner?

19 JUSTICE WILTON-SIEGEL: Yes,
20 please do.

21 EXAMINATION BY MR. BOURRIER:

22 Q. Good afternoon,
23 Dr. Flintsch. I'm counsel for the Ministry of
24 Transportation. I have a few questions to ask you
25 about the MTO friction measurements.

1 A. Good afternoon.

2 Q. Good afternoon.

3 Registrar, can you please pull up Dr. Flintsch's
4 report at page 5. And, Registrar, if you could
5 call out the figure 1 chart at the top, that would
6 be helpful. Thank you.

7 Dr. Flintsch, if we look at
8 this chart, which shows the MTO locked-wheel
9 average measurements between 2007 and 2014, would
10 you say that the friction values were starting to
11 stabilize prior to 2014?

12 A. That's hard to say really
13 because we are missing one in between. It seems
14 that the 2012 are a bit higher than the 2014.

15 Q. Okay. And I believe this
16 morning your evidence to commission counsel was
17 that when you looked at the grip tester results in
18 2013, you had thought that that demonstrated that
19 the friction was stabilizing around 2013. Do I
20 have that right?

21 A. I said, yeah, around 2013
22 or 2014 really, yes.

23 Q. And if we look at this
24 chart, we don't have any results for 2013 for the
25 locked-wheel measurements. With that in mind, is

1 it fair to say that the results were starting to
2 stabilize after the MTO tested in 2012, so
3 sometime after 2012?

4 A. It could be. I don't
5 have any way of saying really one way or another.

6 Q. That's fine.

7 A. Because we don't have any
8 testing after 2014, either.

9 Q. If you take a look at the
10 results between 2011 and 2014, would you agree
11 with the statement that the friction is decreasing
12 at a much slower rate than the previous years?

13 A. That is correct.

14 Q. And if we look at the
15 previous years, specifically 2009 to 2010, would
16 you say that those results show the more rapid
17 decline in friction?

18 A. Correct.

19 Q. And if we look at these
20 results just on their own, am I right in saying
21 that they don't tell us anything about the
22 friction demand on the road?

23 A. That is correct.

24 Q. Registrar, you can take
25 down this call out.

1 Commissioner, I'm just going
2 to take one minute to look at my notes.

3 Those are all my questions.

4 Thank you, Dr. Flintsch.

5 MR. LEWIS: And Ms. Laurion
6 for Dufferin reserved time, five minutes, but I
7 don't know if she has any questions.

8 MS. LAURION: We have no
9 questions. Thank you, Commissioner. Thank you,
10 Mr. Lewis.

11 JUSTICE WILTON-SIEGEL: Okay.
12 Thank you. Mr. Lewis?

13 MR. LEWIS: I do have a few
14 redirect questions, Commissioner, if I could have
15 your indulgence.

16 JUSTICE WILTON-SIEGEL: Yes.
17 Please proceed.

18 FURTHER EXAMINATION BY MR. LEWIS:

19 Q. Dr. Flintsch, Mr. Chen
20 asked you about the LINC and it having the higher
21 friction levels as disclosed by the Tradewind
22 report and then later the ARA and maybe to a
23 lesser extent the Englobe testing because of the
24 limits on the testing, and you had indicated -- he
25 asked you if the reason for that would be or could

1 be the more recent resurfacing in 2011 and you
2 said, well, that is one of the potential reasons.

3 What are the other potential
4 reasons?

5 A. The material is different
6 and it has just higher friction to start with and
7 throughout the life. I don't know what the
8 terminal value would be, but they could be a
9 different material in the surface truly. I did
10 not look into that.

11 Q. No, I appreciate you
12 didn't look at it. I'm just asking you to
13 speculate on the reason, so I just wanted to
14 finish the thought. Thank you.

15 A. Yeah.

16 Q. In relation to the
17 Dunford paper, which Mr. Chen brought you to and
18 it was about the conversion, and Mr. Chen asked
19 you about the SMA section being removed and the
20 data excluded from the SMA section. Do you recall
21 that?

22 A. I do.

23 Q. And do you know why it
24 was removed?

25 A. No, I don't.

1 Q. Okay. If we just look
2 back and you see it, and I don't know if this will
3 help you or not, if we could go, Registrar, to
4 EXP34, which is, I think, Exhibit 228, and
5 image 17, if I've got the right one. And then in
6 the middle paragraph above table 4.3, it talks
7 about section 3B being removed. I appreciate you
8 don't have any insight behind -- I assume you
9 don't have any further insight behind it, but it
10 says that they have excluded section 3B and I
11 think it was indicated that was the SMA section.
12 Is that right?

13 A. That is correct, yes. I
14 do remember that part.

15 Q. Okay. And it says:
16 "Because of the physical
17 variability of the
18 surface caused by
19 repeated braking."

20 What does physical variability
21 mean? Do you know?

22 A. I think that it's not
23 homogeneous, so you cannot -- that's what I
24 understand, that there are areas maybe with a
25 different condition than others along that length

1 and maybe there were some cracking or some
2 bleeding or something. Truly, it could be many
3 things. That would be my assumption truly. I
4 don't know.

5 Q. You're just reading it,
6 as am I?

7 A. Yeah.

8 Q. Okay. Thank you. You
9 can take that down. Thank you, Registrar.

10 Mr. Chen asked you about
11 repeatability and reproducibility in relation to
12 the grip testers. Did you see any issue with
13 reproducibility between the Tradewind and Englobe
14 devices and results?

15 A. No. They both produced
16 similar results, so -- but at least there wasn't
17 anything obvious that indicated any problems.
18 And, if I may add, you probably will see the same
19 issue with all friction devices. Truly, the
20 measurements are depending on many factors that we
21 discussed in the Primer. Unfortunately, there is
22 variability and I did mention a little bit of that
23 when we were talking about it. The 30 limit, that
24 truly is not an exact number. We know that every
25 measurement has potential variability.

1 Q. Thank you. Two
2 questions, and then I'm done, about temperature.
3 In one sense, no evidence that the Tradewind
4 testing was done close to 0; however, I just want
5 to make sure I'm clear on your evidence, that you
6 indicated the Tradewind -- if it's close to 0, you
7 would expect the friction number that results to
8 be higher than it would if it was taken at a
9 higher temperature. Right?

10 A. Correct.

11 Q. Okay. And last question,
12 and this is -- I just want to make sure I
13 understand it correctly. You said that pavement,
14 the pavement temperature, is usually warmer,
15 especially during the day, than the air
16 temperature. That's the first thing. Is that
17 correct?

18 A. That is correct.

19 Q. And then you said, if
20 you're talking about one degree, it's very likely
21 water will be freezing on the pavement. And I'm
22 wondering there, because given that you have
23 described the pavement being warmer than air, are
24 you talking about if the pavement temperature is
25 one degree or if the air temperature is one degree

1 or something else?

2 A. No, no. I was talking
3 about the pavement temperature is one degree, I
4 think there's a chance that it may freeze. But if
5 you're talking about air temperature, I think that
6 would be very unlikely. It's just to give you a
7 number. Truly, as I said, I don't even know it's
8 been researched enough to know. We try to avoid
9 measuring at very low temperatures because we
10 don't want to have a value that is not
11 conservative in a way.

12 Q. Okay. Right, that's
13 higher --

14 A. That's higher than what
15 we have really under normal -- well, at least
16 under the normal temperature range that we would
17 measure.

18 Q. Thank you. I have no
19 further questions, Commissioner, so subject to any
20 questions that you have for followup.

21 JUSTICE WILTON-SIEGEL: I also
22 have no further questions, so I think we're done
23 for the day. I want to thank Dr. Flintsch for his
24 assistance throughout.

25 THE WITNESS: My pleasure.

1 JUSTICE WILTON-SIEGEL: You've
2 produced two reports and you've been of great
3 assistance to the inquiry. Thank you very much
4 and we'll adjourn for the day.

5 --- Whereupon the proceedings adjourned at
6 4:12 p.m. until Friday, February 17, 2023
7 at 9:30 a.m.

8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25