

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL
held via Arbitration Place Virtual
on Monday, May 16, 2022 at 9:30 a.m.

VOLUME 13

REVISED TRANSCRIPT

Arbitration Place © 2022
940-100 Queen Street 900-333 Bay Street
Ottawa, Ontario K1P 1J9 Toronto, Ontario M5H 2R2
(613) 564-2727 (416) 861-8720

APPEARANCES:

Andrew C. Lewis
Chloe Hendrie

For Red Hill Valley
Parkway

Eli Lederman
Jonathan Chen
Jenene Roberts

For City of Hamilton

Heather McIvor
Colin Bourrier

For Province of Ontario

Chris Buck

For Dufferin Construction

Jennifer Roberts
Nivi Ramaswamy
Fabiola Bassong

For Golder Associates
Inc.

INDEX

	PAGE
REBECCA LANE; affirmed	
EXAMINATION BY MR. LEWIS	1908

LIST OF EXHIBITS

NO.	DESCRIPTION	PAGE
42	Rebecca Lane's curriculum vitae, MTO 38644	1921
43	Canadian Technical Asphalt Association Proceedings 2007 paper, GOL1571	2050

1 Arbitration Place Virtual

2 --- Upon resuming on Monday, May 16, 2022

3 at 9:30 a.m.

4 MR. LEWIS: Good morning,
5 Commissioner, Counsel, Ms. Lane.

6 I would like to open this week
7 of hearing by acknowledging that the City of
8 Hamilton is situated upon the traditional
9 territory of the Erie, Neutral, Huron-Wendat,
10 Haudenosaunee and Mississaugas. This land is
11 covered by the Dish With One Spoon Wampum Belt
12 Covenant which was an agreement between the
13 Haudenosaunee and Anishinaabek to share and care
14 for the resources around the Great Lakes. We
15 further acknowledge that the land on which
16 Hamilton sits is covered by the Between The Lakes
17 Purchase 1792, between the Crown and the
18 Mississaugas of the Credit First Nation.

19 Many of the counsel appearing
20 on this hearing today are in Toronto which is on
21 the traditional land of the Huron-Wendat, the
22 Seneca, and most recently, the Mississaugas of the
23 Credit River. Today this meeting place is still
24 the home to many indigenous people from across
25 Turtle Island and we are grateful to have the

1 opportunity work on this land.

2 We have Ms. Becca Lane today.

3 If the court reporter could swear in the witness.

4 REBECCA LANE; affirmed

5 EXAMINATION BY MR. LEWIS:

6 Q. Good morning, Ms. Lane.

7 Thank you for coming.

8 A. Thank you.

9 Q. I would like first to go
10 through your education and some of your work
11 history and so forth before we get into the rest
12 of it.

13 Registrar, if we could go to
14 MTO 38644. If you could open images 1 and 2,
15 please. This is a copy of your CV, Ms. Lane. Do
16 you recognize it?

17 A. I do.

18 Q. Just to start with your
19 time at the MTO, you've been there since 1991; is
20 that right?

21 A. Correct.

22 Q. And you're a licensed
23 engineer?

24 A. I am.

25 Q. And prior to joining the

1 MTO you obtained your bachelor of science in
2 geological science at Queen's in 1993?

3 A. Yes.

4 Q. And then your bachelor of
5 applied science in geotechnical engineering at
6 Queen's in 1991, right?

7 A. Correct, yes.

8 Q. And I guess you joined
9 the MTO straight out of university?

10 A. I did.

11 Q. Okay. And you've held a
12 number of positions at the MTO since 1991. I'm
13 just going to start at 2003. And at the bottom
14 part of 2003, from -- image 2 -- from 2003 to 2007
15 you were the senior pavement design engineer in
16 the pavements and foundations section of what we
17 call MERO, right, the materials engineering and
18 research office?

19 A. Yes.

20 Q. And then just briefly, as
21 the senior pavement design engineer, what does
22 that position entail?

23 A. Well, I guess it entails
24 being sort of the provincial lead for the Ministry
25 of Transportation on anything to do with pavement

1 design. So we have five regions across the
2 province that actually do the pavement design of
3 individual pavements and we provide -- in the
4 materials engineering and research office we would
5 provide the support for them. So the technical
6 expertise, new pavement technologies, new pavement
7 research that comes along, we would investigate
8 and help them implement it in the field.

9 Q. And the five regions,
10 those are -- just name them off?

11 A. Northwest, northeast,
12 east, west, and central.

13 Q. And senior pavement
14 design engineer, is that asphalt and concrete
15 pavements?

16 A. Yes, it is.

17 Q. And then in January 2008
18 to June 2008, it indicates you were the executive
19 assistant to the assistant deputy minister.
20 That's a sort of a brief period of time?

21 A. Yes, so, you know, it's
22 good to get experience working on the political
23 side. So you go down to Queen's Park and you
24 understand what all the emerging issues are and
25 what the focus of the ministry is from that

1 perspective. So everybody is recommended that
2 they take an assignment down there so that they
3 can see how the Ministry works. So I took that
4 assignment, and it was brief because then the head
5 pavements and foundations section position was
6 posted, and so I wanted to compete for that
7 position and so that's why I was only there for
8 the six months.

9 Q. And previously the head
10 of pavements and foundations, that was Tom
11 Kazmierowski; is that right?

12 A. Yes, it was.

13 Q. And who you reported to
14 in your position as senior pavement design
15 engineer; is that right?

16 A. That's right.

17 Q. And were you in an --
18 it's not in here, but were you in an acting role
19 as the head of pavements and foundations in 2007
20 before you joined the ADM's office?

21 A. Yes. So I believe Tom
22 was acting in another position and so they rotated
23 people through his position in an acting role. So
24 I was one of the actors in his role, yes.

25 Q. Okay. And so that would

1 have been until January 2008. Do you recall when
2 you took over that, the acting role as head of
3 pavements and foundations?

4 A. I probably did it for six
5 months, I'm guessing, so, you know, maybe even
6 June or July 2007 to December 2007. I actually
7 have no idea, but that's a guess.

8 Q. Sometime during the early
9 to mid part of 2007 until the end?

10 A. Yeah, mid to end. It
11 wouldn't be early. They don't let you act for
12 longer than, you know, maybe a 529 we would say,
13 so six months at the most.

14 Q. And then in that second
15 entry from the top on image 2, you're the actual
16 head, not acting any longer, of pavements and
17 foundations from July 2008 to November 2011?

18 A. Yes.

19 Q. And you just have a brief
20 description there, but maybe if you could describe
21 the role?

22 A. So it's a bit broader
23 than just pavement design. So it's the pavements
24 and foundations section, so the pavements group
25 which looks after the -- overseeing the I guess

1 provincial highway networks in terms of pavement
2 management. So pavement design, support, pavement
3 management support. And then on the foundation
4 engineering side, a group of technical experts
5 that are foundations engineers, and they provide
6 support for things like foundations for bridges
7 and things like that. So a much bigger group.

8 Q. And as the head of
9 pavements foundations, you reported to the manager
10 of MERO; is that right?

11 A. Yes, I did. Which was
12 Tom again.

13 Q. At that time when he took
14 over that position?

15 A. That's right.

16 Q. Again you were for --
17 from December 2011 to March 2013 you moved to a
18 different area, I guess, the manager systems
19 analysis and forecasting office?

20 A. Yes, I did. So that --
21 you want to know what that role is?

22 Q. Yeah, it's not on the
23 pavement side exactly; is that right?

24 A. Oh, no, it's not. It's
25 on I guess the system itself, so the highway

1 network itself monitoring the traffic volumes, the
2 truck traffic origins and destinations, just
3 modelling and predicting traffic growth and
4 movement of people and goods over different time
5 cycles.

6 Q. Okay. And from there you
7 became the manager of MERO, the materials
8 engineering and research office, in April 2013 to
9 April 2020, correct?

10 A. Yes.

11 Q. And I guess then you were
12 taking over from Tom when -- Tom Kazmierowski when
13 he left; is that right?

14 A. That's right.

15 Q. And so could you describe
16 that role and also the structure of MERO, which
17 sections are underneath the head and in the
18 office?

19 A. Yes, so it's a very big
20 office, so we had about 94 staff and it's broken
21 into five sections. So there's a section that
22 focuses on the concrete and cement and steel
23 that's called the concrete section. So anything
24 to do with concrete materials used in
25 infrastructure. And then there's a section called

1 the bituminous section which is a section that has
2 everything to do with asphalt materials and
3 different asphalt cement types, different asphalt
4 technologies. There's a soils and aggregate
5 section that deals with aggregate sources, soil
6 types, excess soil management, aggregates to be
7 used in concrete, aggregates to be used in
8 asphalt. Aggregates to be used in road bases. So
9 that's that section.

10 There's a -- now they have
11 broken it into two, but back then it was the
12 pavements and foundations section. Now it's a
13 pavement section and foundation section. But
14 that's the same section I was in. And then we
15 have a large laboratory that does the laboratory
16 testing and kind of I guess applied type research
17 work for all of those different materials areas.
18 So there's the separate lab as well.

19 Q. And that's the five. So
20 concrete, bituminous, soils and aggregates,
21 pavements and foundations, which is now broken
22 into one for pavements, one for foundations but
23 more recently, and the laboratory section?

24 A. Correct.

25 Q. And aside from that

1 relatively recent split in the pavements and
2 foundations section, has that been more or less
3 the structure of MERO, you know, during this time
4 period that we're talking about from the early
5 2000s to -- you know, up to the present?

6 A. Yes. The pavements group
7 actually joined MERO. We used to be called
8 engineering materials office, but the pavement
9 section joined MERO in the 90s, late 90s. Other
10 than that it's been the same for decades.

11 Q. And in the overview
12 document materials, there's quite a number
13 references to the MTO's geotechnical committee or
14 geocom, and you were on that. Are you still on
15 that?

16 A. No, I'm not.

17 Q. So what is the
18 geotechnical committee, what is its role?

19 A. So the geotechnical
20 committee was the heads of the geotechnical
21 sections in the five regions. So each
22 geotechnical section has a head and the head sits
23 on that group.

24 In addition to that, they
25 would have sort of head office people. We're not

1 allowed to call head office, but anyway. So for
2 example, the head of pavements and foundation
3 section, the head of bituminous section would sit
4 on the group. The head of soils and aggregate
5 section too. And that way the people that sort of
6 are working on the new technologies and research
7 and that kind of thing are plugged into the people
8 in the region that are actually delivering the
9 program. It was a way of exchanging knowledge so
10 that we could learn from each other.

11 Q. Now, you mentioned in
12 relation to the soils and aggregate section about
13 their involvement briefly in aggregates, and on
14 both I think the concrete and asphalt side. And I
15 would just like to discuss for a bit the MTO's
16 approach to aggregate selection and then into
17 that, into friction management, and particularly
18 the front end approach, if we call it that, to
19 friction management and the aggregate selection
20 process for that.

21 So first could you tell us
22 about the designated source of materials list,
23 otherwise known as the DSM. What is it?

24 A. So the Ministry is trying
25 to be a knowledgeable owner, so we want to know

1 what materials go into the work so that we
2 understand what we've built and we have an
3 understanding of how long it's going to last and
4 things like that.

5 So one of the ways to do that
6 is an upfront process where you pre-qualify
7 materials to be used in the work, and we do this
8 for not just aggregates, we do it for a large
9 number of different materials. So the way we
10 would do it is, you know, we would sample the
11 material, test the material, make sure it was
12 suitable, try it in an application, and then if it
13 was found to be suitable, we would place it on a
14 list of approved products for use in the work.

15 And this is a process that's
16 used across many, many jurisdictions in North
17 America. So the designated sources list, for
18 example, we have one for concrete aggregates.
19 They would go out and pre-qualify a number of
20 aggregate sources for using concrete materials.
21 One of the big things about concrete is you can
22 get an aggregate in there that causes the concrete
23 to actually break apart over time, so making sure
24 you get the right aggregate and concrete is very
25 important.

1 And similarly, the same thing
2 for asphalt. You want to make sure you have an
3 aggregate that's durable, long lasting. For
4 example, we have a wet-freeze climate in Ontario.
5 We want to make sure we have aggregate that holds
6 up to the climate and -- that holds up under the
7 conditions, roadway conditions. So those are the
8 lists that they would build. They would evaluate
9 a source; if it turned out to be suitable, they
10 would put it on a list.

11 Q. And you're talking both
12 generally there and then specific to aggregates;
13 is that right?

14 A. Yeah, yeah, we have other
15 lists as well of approved products, but this is
16 specific to aggregates, yes.

17 Q. And that's what we'll
18 talk about.

19 And before we go on with that,
20 I realize I didn't finish on your career, and so I
21 should finish that off. Where you're currently at
22 since April 2020 -- and I apologize for that, I
23 should have squared that off -- the director of
24 central operations, which is what?

25 A. That's right. So that's

1 a different -- that's a real change for me
2 actually. So it's been a great opportunity to
3 learn new things. So they do corridor management,
4 which is things like -- so you've already built
5 your network and now somebody wants to come along
6 and put a development in place, so it's working
7 with developers on their new development to make
8 sure that we have -- we are following all the
9 safety requirements for the highway. It's also
10 roadway maintenance, so making sure that the roads
11 are plowed and that potholes are filled and those
12 kind of things. So it's a number of different
13 things, stakeholders, municipalities, developers,
14 consultants, et cetera.

15 Q. Is that central -- is
16 that affixed to central region?

17 A. Yes, it is.

18 Q. Okay. And central region
19 broadly speaking encompasses what?

20 A. From the Niagara area up
21 to Orillia, I guess, and then across to the
22 Highway 115 in the east.

23 MR. LEWIS: If we could make
24 that CV an exhibit. It's MTO 38644, and I
25 believe, Registrar, that it's Exhibit 42.

1 THE REGISTRAR: All right,
2 Counsel. Thank you.

3 EXHIBIT NO. 42: Rebecca
4 Lane's curriculum vitae, MTO 38644.

5 MR. LEWIS: Sorry about that.
6 I thought I should finish off your career up to
7 the present.

8 BY MR. LEWIS:

9 Q. Okay. So having
10 described the general purpose of the DSM, do you
11 recall when it was originally developed specific
12 to aggregates?

13 A. Oh, so far before my
14 time. I don't know. Probably decades before my
15 time. Maybe the 70s. I don't know.

16 Q. It was there when you got
17 there?

18 A. For sure it was, yes.

19 Q. Okay. Well, we have some
20 others we can ask about that, so that's fine.

21 And in terms of aggregate
22 pre-qualification, what specific courses are the
23 requirements for for being included on the DSM?
24 Is it for all asphalt layers, or is it just
25 surface courses?

1 A. Yeah, so obviously you
2 still want good quality aggregate in the other
3 layers of the payment, but they just do routine
4 testing for that, right. The DSM for aggregates
5 for asphalt is specific to the surface course.

6 Q. And it's the soils and
7 aggregate section that, as I understand it, was
8 and continues to be responsible for the
9 administration of the DSM; is that right?

10 A. Yes, it is.

11 Q. And during your time I
12 understand that the head of -- or the manager of
13 soils and aggregates was first Chris Rogers; is
14 that right?

15 A. Yes.

16 Q. And Steve Senior when
17 Mr. Rogers retired in 2008. Does that sound
18 correct?

19 A. That's right.

20 Q. And then there is another
21 gentleman who has since retired, Bob Gorman, who
22 was also there heavily involved in the DSM
23 management and administration; is that correct?

24 A. Yeah, Bob Gorman is
25 the -- was the geologist in the office, so he is

1 the one that -- well, we had other geologists too,
2 but he was the one that was responsible for the
3 DSM.

4 Q. And aggregate
5 specifically?

6 A. Aggregate specifically.

7 Q. Okay. And we will get
8 into the specific criteria, but in general terms
9 could you just describe the approach that the MTO
10 takes to the aggregate selection and listing on
11 the DSM?

12 A. Okay. So there's two
13 ways that -- one of them could be that we are
14 looking for a source because we want to have close
15 to market sources of good quality material. So
16 the more sources that we have, the better value
17 for people in Ontario because we would have -- not
18 be trucking long distances and things.

19 So there's one aspect of the
20 job that's you are out there looking for new
21 sources. And then the second, more probably
22 common is that the industry themselves is applying
23 to the ministry to get their product on the
24 designated sources list. So they will have a
25 quarry and they will say, you know, we've got this

1 quarry, we think this is good quality material.
2 We would like to apply get that listed on the
3 designated sources and materials list. Obviously
4 that means they would then be able to supply high
5 quality aggregate for our surface course paving
6 jobs.

7 Q. If we could go to
8 overview document 4, image 9, Registrar.

9 So, Ms. Lane, we have a thing
10 we call the overview document which puts into
11 evidence lots of documents and facts and so forth,
12 which I may refer you to. As well we can go to
13 specific documents. If at any point I'm only
14 going to the overview document and you think you
15 need to look at the document itself, please let me
16 know.

17 A. Okay.

18 Q. Again, it should be image
19 9 and 10, please. Thank you.

20 Paragraph 19 refers to a
21 April 20th, 2004 paper titled "Pavement Surface
22 Friction on Ontario Highways," which lists Chris
23 Rogers who you mentioned, Bob Gorman, you, and
24 Frank Marciello as authors. And there's some
25 excerpts that we've taken and put into the

1 overview document here setting out the MTO's
2 approach to friction management historically and
3 at that time. Do you recall this paper?

4 A. I do.

5 Q. And was -- as I've
6 learned through this, is the primary author the
7 first one? Mr. Rogers is listed as the first
8 author. Do you recall if he was the primary
9 author on this?

10 A. Yes, he would be.

11 Q. And what was your role in
12 it, if you recall?

13 A. Well, I don't really
14 recall, but I am the third author, so I imagine I
15 contributed something to the paper otherwise I
16 wouldn't be on there. But Chris Rogers and Bob
17 Gorman would be the primary authors.

18 Q. And you can take your
19 time if you need to, but if you reviewed this
20 before your testimony, at the time it was written
21 did these excerpts and the paper as a whole
22 accurately set out the MTO's approach in summary
23 form to the various requirements that an applicant
24 quarry must meet to be listed on the DSM?

25 A. Yes, it did.

1 Q. Does that -- has that
2 remained your approach during your time at the
3 MTO?

4 A. Yes.

5 Q. And we'll get into issues
6 about performance specifications and stuff, but
7 just in terms of the DSM itself, generally
8 speaking this remains the approach that the MTO
9 takes; yes?

10 A. As far as I know, it
11 hasn't changed since I left.

12 Q. Okay. Oh, since you left
13 as manager of MERO?

14 A. Yes.

15 Q. In 2020. Okay. Now, if
16 we could look at the -- in the second image there,
17 image 10, the paragraph -- it's the middle
18 paragraphs, and if you could call those up,
19 Registrar. It starts "MTO requires." Yeah, those
20 three paragraphs. Thank you.

21 Can you see that all right?
22 Does it stay on your screen?

23 A. Yes, I can see it, thank
24 you.

25 Q. So as I understand it, in

1 paragraph 1, this sets out the main things for
2 being listed on the DSM, and the first part as I
3 understand it is that the quarry the aggregate is
4 sourced from is inspected, so someone actually
5 goes out and has a look at it; is that right?

6 A. Yes, that's true.

7 Q. And then it talks about
8 second -- in general, satisfactory quarry sources
9 contain rocks that are even grained, homogenous,
10 consistent with uniform quality throughout the
11 site, and a consistent aggregate density. And
12 then it goes on to talk about undesirable rock
13 types.

14 So what -- I mean, I can read
15 what it says, but what are they talking about
16 here?

17 A. They are talking about
18 you want to have a -- a good source is one that
19 doesn't have different rock types that are mixed
20 in with the source. So it's a source that
21 maintains its same quality throughout the source.
22 So there's lots of aggregate quarries, for
23 example, that would have the rock layer above it
24 is bad or there's a lens in there that is bad that
25 we don't want to use in our high quality rock.

1 So if there was a quarry with
2 very inconsistent material, it really wouldn't be
3 suitable because each time you get aggregate from
4 the quarry it could be containing something
5 different.

6 Q. Right. And if you're
7 approving an aggregate for use on the DSM, you
8 want to know what you are approving; presumably
9 it's got to be similar or the same, right?

10 A. Exactly.

11 Q. And then a quarrying
12 plan, it says in the middle of the first
13 paragraph:

14 "A quarrying plan must be
15 devised so as to ensure a
16 homogenous, uniform product."

17 So is that how they are going
18 to get the homogeneous material that we've just
19 discussed?

20 A. Yeah. So for example, if
21 there was an overlayer that was inferior quality
22 material, the quarrying plan would say you need to
23 strip off all of that material first and keep it
24 out of the way, and then this is the part of the
25 quarry that we've approved and you need to

1 continue to only quarry that material for this
2 use.

3 Q. I see. Okay. And then
4 it says:

5 "Since the early 1990s it's
6 been a condition of approval
7 of new sources that the
8 aggregate maintain an average
9 --"

10 Sorry, when I duck it's
11 because I'm trying to get around my camera.

12 "-- a PSV of less than 50,
13 quartzite excepted, with no
14 value less than 48 and an AAV
15 of 6.0 or less."

16 So PSV, that's polished stone
17 value, right?

18 A. Yes, it is.

19 Q. And AAV is aggregate
20 abrasion value?

21 A. Yes.

22 Q. And do you know where
23 those particular thresholds were derived from,
24 those numbers?

25 A. Well, actually I don't

1 know where they were derived from. I know the
2 tests -- if I could -- well, I don't even want to
3 speculate. But I know that the traditional way
4 they derive a value for a test method is that they
5 have a huge wall of aggregate types in the soils
6 and aggregate section, and each one of them, they
7 would know what the PSV is of that particular rock
8 source, what the AAV is, and they would compare it
9 against field performance.

10 That's -- I know that's what
11 they did for other tests like the Micro-Deval
12 abrasion which came on while I was there, so I
13 witnessed that that is what they did for that. So
14 I'm speculating that that's what they would have
15 done for the PSV AAV.

16 Q. And the Micro-Deval
17 abrasion, is that the AAV test that you're talking
18 about?

19 A. No, sorry, the
20 Micro-Deval abrasion is one of our tests that we
21 use for aggregate quality. It's probably our most
22 used test. And that was something that we
23 introduced in the late 90s, early 2000s, I think.

24 And it abrades the aggregates
25 in a large jar, but the way they set the

1 specification limits, like the limits, was by
2 looking at all the different aggregate types,
3 plotting them all and looking at field performance
4 to see how they performed in the field. So I'm
5 guessing that that's -- that was their process for
6 how do they set a spec limit.

7 Q. And I see the Micro-Deval
8 isn't listed here then.

9 A. Right.

10 Q. Even though it was I
11 think you said in the late 90s, nonetheless that
12 was a test that was done for DSM inclusion. Did I
13 understand you correctly?

14 A. Yeah, so there's a number
15 different tests for DSM inclusion and -- but that
16 is a test that would apply to all of the
17 aggregates, not just the surface course. So it
18 would apply to all of the aggregates.

19 Q. I understand. Thank you.
20 Then it says in the second paragraph:

21 "MTO normally requires a
22 500-metre pavement test
23 section using the new
24 aggregate, and the aggregate
25 producer is responsible for

1 arranging for construction of
2 the test section. The
3 pavement is tested for
4 frictional characteristics
5 with the brake-force trailer
6 for two years before the
7 material will be considered
8 for inclusion on the approved
9 list. Inspection and skid
10 testing will take place over
11 the life of the test section."

12 So this is, I understand,
13 testing with the MTO's ASTM brake-force trailer,
14 also known has a skid tester?

15 A. Yes, it is.

16 Q. And it's not mentioned
17 here, but I understand there's also a control
18 section is also tested at the same time typically;
19 is that right?

20 A. Well, so that's -- yeah,
21 I guess I see what you're saying. So normally if
22 you've got a 500-metre pavement test section, what
23 that means is that the rest of the job is using
24 another already pre-qualified aggregate, right.
25 So you've got a new aggregate, the contractor

1 finds a test section for you and you say, okay,
2 this is a suitable test section. The rest of the
3 job is going to be another aggregate. So that's
4 automatically a control.

5 Q. Right. Because it's
6 already been pre-qualified, and so the test -- the
7 new applied for aggregate is being tested against
8 the already approved aggregate, but -- but the
9 testing can occur at or around the same time and
10 under the same conditions; is that right?

11 A. Yeah, if you were driving
12 out to the site you would test everything at the
13 same time.

14 Q. Am I correct that
15 typically the ASTM skid tester is operated at the
16 posted speed?

17 A. So I don't know if they
18 have changed it now. We had a long debate about
19 whether it should be tested according to the ASTM
20 standard, the American standard testing materials
21 standard, which is to test at 40 miles per hour.
22 MTO traditionally tested at the posted speed, and
23 that was thought to be, you know, the conservative
24 approach because traffic is going at the posted
25 speed, not at 40 miles per hour.

1 Q. Right. And it's
2 conservative why?

3 A. Well, 40 miles per hour
4 is slower, so if you are testing at a slower
5 speed, the friction number will be higher, for
6 one.

7 And the other consideration,
8 by the way, was safety for the operator. So we
9 are mostly looking at pre-qualifying our high
10 volume, high-speed freeways, and the operator
11 could not go out there at 40 miles per hour. So
12 it was a bit of that as well, safety for the
13 operator.

14 Q. Right. I mean, the
15 alternative is to shut down the lanes on which
16 they are testing, is the only other way you can do
17 it at the ASTM stipulated speed?

18 A. That's right.

19 Q. And sorry, then your
20 point was that at a higher speed, say it's 100 on
21 a 400 series highway, that that's going to come up
22 with a lower friction number than if tested at 64
23 or 65 kilometres an hour which is the ASTM
24 standard?

25 A. Yes.

1 Q. And then in the last
2 paragraph, MTO staff visit each source on a yearly
3 basis and take samples for testing, and in
4 addition, quality assurance samples of material
5 used on contracts are obtained for testing. So
6 the first sentence I take it is still referring to
7 the quarries; is that right?

8 A. Yeah. I mean, I think
9 this is something that we don't do anymore,
10 certainly not a yearly basis, and that would just
11 be the logistics of getting approvals to travel,
12 for example, or -- I don't know. To be honest,
13 you would have to ask them if they still do this.
14 My thought is that they probably still don't do
15 this on a yearly basis.

16 Q. And there is someone we
17 can talk to about that, but at the time I take it
18 that that was the practice, at the time this was
19 written?

20 A. Yeah, and I know that
21 when I worked there we would go on a fantastic
22 tour of all -- like go and visit all these
23 sources, take samples, and take them back to the
24 lab.

25 Q. Sorry, when you were

1 there, do you mean in --

2 A. When I worked in soils
3 and aggregates section.

4 Q. And that was back in -- I
5 guess I should have taken you to that. I'm just
6 looking back. 1995 to 2000 you worked in the --
7 you were a geologic engineer in the soils and
8 aggregate section according to your CV?

9 A. Yeah. So all my
10 knowledge about their processes is from 1995 to
11 2000. So it was a while ago, but it was a fun
12 experience. I enjoyed it.

13 Q. To be fair, that's your
14 insider knowledge. You did have soils and
15 aggregates and Mr. Rogers and Mr. Senior reporting
16 to you when you were I guess -- sorry, Mr. Senior
17 when you were the head of MERO, right?

18 A. Yeah, Mr. Rogers did not
19 report to me. I reported to him.

20 Q. Right. If we could go to
21 the paper itself. This is MTO 18621. This is the
22 paper we were just speaking of.

23 And, Registrar, if we could go
24 to image 8.

25 And figure 5 is a map which

1 indicates the simplified map of geology of
2 southern Ontario showing distribution of approved
3 sources of skid-resistant aggregate. It's a bit
4 of an old school map, but could you just describe
5 what this is showing.

6 A. Yeah, so this is
7 describing basically the Canadian Shield is the
8 ones that say aggregates with good skid-resistant
9 property. So we always used to say, like, draw a
10 line across the province from Penetanguishene
11 along Highway 7, you know, and those are the
12 really good, durable materials, igneous,
13 metamorphic rocks that are good sources of
14 aggregate.

15 And then unfortunately for all
16 of us in southern Ontario, the aggregate was
17 local, limestones, dolostones, material that is
18 very soft. And so obviously traditionally roads
19 in southern Ontario were built with the local
20 aggregates which was a softer material,
21 limestones, that had poor skid resistance.

22 So that's why we developed
23 this process of taking the aggregates with good
24 skid resistance and transporting them down to the
25 areas in southern Ontario that needed more skid

1 resistance.

2 Q. Okay. Thank you,
3 Registrar. You can take that down.

4 So that's just what I would
5 call the front end approach. Is there anything
6 that we've missed, do you think, material on the
7 front end approach to the testing and
8 pre-qualification of aggregates?

9 A. Well, I mean, I think the
10 idea would be that this was, I don't know, like,
11 the more knowledgeable approach of what was being
12 used in our roadway surfaces. And a back end
13 approach, which I'm sure we're about to talk
14 about, instead it was we will just let the
15 contractor build the road, and then at the end of
16 the -- when it's constructed, we will go out there
17 and evaluate it and if it meets our requirements
18 at this point in time or several other points in
19 time to be determined, then it's acceptable.

20 But the knowledge about what
21 was actually used in the road and how it was
22 built, that oversight during construction, et
23 cetera, was missing from that back end approach.

24 Q. So there again you're
25 talking about warranty contracts and performance

1 specifications in using a friction number; is that
2 right? When you're talking about leave it to the
3 contractor, is that what you're talking about?

4 A. Yes.

5 Q. So we'll get to that.

6 Before we get to that, I want to talk about even
7 under the approach using the DSM about following
8 construction of a highway what the MTO's approach
9 has been over time. How are issues that are
10 raised with potential friction problems and so
11 forth dealt with traditionally and so forth.

12 So if we could put aside the
13 performance specification stuff for a minute and
14 talk about -- to this first.

15 So after it's been
16 constructed, it's been used, whether -- you know,
17 used the DSM surface course aggregates, and then
18 what? What happens? How are friction issues
19 dealt with?

20 A. So normally we expect the
21 pavement to perform well because we've had the
22 boots on the ground to make sure it was built
23 properly and the right materials were used, and we
24 do a lot of sampling and testing during
25 construction as well. So the assumption is that

1 we've got good quality materials that will be
2 performing well.

3 We also have, though, in the
4 regional geotechnical sections and in our regional
5 maintenance groups, we have eyes on the road. So
6 we have pavement evaluation officers in the
7 geotech section that go out annually and take a
8 look at the network, and this is for maintenance
9 programming reasons. So for example, they will
10 say this road is performing really well. This
11 road is starting to show some signs of
12 deterioration, and they work as a team to try and
13 program when that pavement will be repaired in the
14 future.

15 So there's eyes on the ground
16 from the maintenance folks as well who will have
17 that sort of -- they are out on the street 24/7.
18 I know now because they work for me. They are
19 amazing. So they are out on the street, and they
20 would be able to also raise any concerns that they
21 had with us.

22 So we have multiple eyes on
23 the ground. If there was to be some kind of
24 issue, then that would be reported into the head
25 for investigation.

1 Q. The head of the?

2 A. Well, usually head of
3 geotech in the region, but then the -- if the head
4 of geotech thought -- because you were talking
5 about friction, if the head of geotech thought,
6 okay, this seems like something we would like to
7 investigate from a friction point of view, they
8 would contact the pavements and foundations
9 section.

10 Q. Okay. So if understood
11 you correctly, typically it's a request -- if some
12 issue about a potential friction problem issue has
13 been identified, that would be sources from the
14 region who would bring it to the central office,
15 to pavements and foundations; is that right?

16 A. That's right.

17 Q. Okay. And if we could go
18 to images 11 and 12. And it's on this topic.
19 This is paragraph 20 in the image on the left, a
20 November 4, 2004 presentation slide deck by Guy
21 Cautillo who was the senior manager of MERO at the
22 time in the highway standards branch, provincial
23 highway management division, titled "Pavement
24 Friction at MTO," and described a number of
25 practices around friction testing in this memo.

1 And there's a reference in the
2 bottom of the left-hand image to MTO practice, in
3 the first bullet, talking about:

4 "Friction testing routinely
5 carried out to evaluate new
6 aggregate test sections or
7 when the surface of the new
8 pavement or new pavement
9 technology needs evaluation."

10 And then second one:

11 "Regions also request skid
12 resistance testing in high
13 collision locations where lack
14 of friction is suspected."

15 So could you describe --
16 that's one, but can you describe sort of the
17 circumstances where the regions would be
18 requesting friction testing on the pavements and
19 foundation section.

20 A. Sure. So for example, I
21 can think of a couple of examples. One example
22 would be the pavement seems to be flushing.
23 That's when the asphalt cement, the black glue
24 that holds the pavement together, maybe there's
25 too much of it in the pavement and it starts to

1 bleed through to the surface and now the concern
2 is that the aggregates themselves aren't able to
3 provide that skid resistance.

4 So that's probably our most
5 frequent request. We think this pavement is
6 flushing. Can you come out and send the friction
7 trailer. So that's probably the number one.

8 Other requests. I can think
9 of one that is a common one is we have like a
10 high-speed ramp freeway to freeway. So people are
11 driving along freeway at a high speed and then
12 they're negotiating a ramp to another freeway at a
13 high speed and there is a lot of collision there.
14 And so, you know, can you come out and check the
15 friction, the friction. In that particular case,
16 the one I'm thinking of always turned out to be
17 very, very good; it's just that it was too
18 difficult for people to navigate that curve at a
19 very high speed. And that is why we post our
20 curves at a much lower speed.

21 Other things. You know, there
22 might be, for example, some higher than expected
23 collisions at an intersection, and could you go
24 out and investigate the friction to see if the
25 friction is a contributing factor to these

1 collisions.

2 We -- concrete pavement, we
3 had challenges with our concrete pavement.
4 Concrete pavement actually uses limestone
5 aggregate in it. So one of the -- as I mentioned,
6 limestone aggregate is one of the softer materials
7 that polish readily, so the concrete pavements
8 have this aggregate in it. And they also last a
9 very long time. So they are supposed to last
10 30 years, 40 years, 50 years. So that's a lot of
11 traffic on this aggregate and on the concrete
12 surface.

13 So we have done a lot of work
14 investigating concrete pavements that have
15 polished over time, and so, for example, the 401
16 is a good example that used to be -- I think when
17 I started at the Ministry the 401 through Toronto
18 was concrete, and now it's all asphalt because it
19 was resurfaced.

20 Highways -- another one, you
21 know, I can give examples where the highway was
22 built in the late 60s and by the time it makes it
23 to the 90s or 2000s that's a lot of traffic on the
24 concrete surface. Send out the friction trailer,
25 find that, yes, the friction numbers are low and

1 that could be contributing to the collisions.

2 Q. Okay. And at the top of
3 the second image there, the first bullet says:

4 "Regional request for service
5 internally with no spare
6 capacity."

7 That's the skid trailer that
8 you're talking about, right?

9 A. Yeah, we had one skid
10 trailer and one operator for the whole province.

11 Q. Right. And that was
12 Frank Marciello until 2015; is that right?

13 A. Yes. Yeah, no, it was
14 Frank Marciello. I was just wrapping my head
15 around when it was that he left. 2015.

16 Q. Does that sound about
17 right?

18 A. Yes, it does.

19 Q. The request comes into
20 the pavements and foundations section and is it
21 the -- who makes the decision that the testing
22 will take place once the request comes in? Is
23 that the head of pavements and foundations,
24 whoever that is at the time?

25 A. Yeah, usually the --

1 either the senior pavement evaluation -- no,
2 sorry, the senior pavement design engineer and the
3 head would be the ones that would receive the
4 call, and there would be a discussion about
5 sending out the friction trailer, yes.

6 Q. And am I correct that
7 Mr. Marciello, who was the operator of the skid
8 trailer, reported to the head of pavements and
9 foundations?

10 A. Yes.

11 Q. Similarly, am I correct
12 that requests from -- for testing -- the type of
13 testing that we discussed with respect to DSM
14 application evaluation, that those came from soils
15 and aggregates section to the pavements and
16 foundations head; is that right?

17 A. Yes, it is.

18 Q. You can take that down,
19 thank you.

20 What about municipalities? Do
21 municipalities sometimes make requests to the MTO
22 to conduct friction testing?

23 A. They sometimes do, yes.

24 Q. Is that a common thing or
25 infrequent in your experience?

1 A. I think it's infrequent.

2 Q. How does the MTO decide
3 whether to carry out the requested testing for a
4 municipality or not?

5 A. I think it would depend
6 on availability of the operator, location of the
7 municipality. So, for example, if the operator --
8 one operator in the whole province was very busy,
9 we wouldn't have the capacity to do that, but we
10 would recommend other companies to them that could
11 do the testing.

12 And yeah, so basically if it
13 was a local municipality that was like a day trip,
14 no more time than that, and we could fit it in, we
15 would do it.

16 Q. But it might be a
17 different situation again depending on the
18 capacity at that point in time or the location of
19 the request?

20 A. Yes, yes.

21 Q. Was there any written
22 policy respecting friction testing requests by
23 municipalities?

24 A. Not that I'm aware of. I
25 know there was a general I guess discussion around

1 we don't really have the resources to support the
2 municipalities too. It's kind of a general theme.
3 We used to be a much larger ministry, you know,
4 with way more staff, so I think it was a general
5 theme that we didn't really have the resources to
6 support municipalities.

7 Q. And when you're talking
8 about used to be, if we're placing in time in the
9 aughts, the first decade of the 2000s, are you
10 talking about then as compared to at some point in
11 the 90s?

12 A. Oh --
13 (Speaker overlap)

14 Q. Or more recently -- tell
15 me what timeframe you're talking about?

16 A. Well, you know what, it's
17 every year, isn't it? There was a massive
18 downsizing in the mid to late 90s that everybody
19 is well aware of, I'm sure, and still talks about.
20 But, you know, over the years it's certainly been
21 an aim to try and reduce the number of staff you
22 have, and I think it's annual discussion in
23 government, how can do you do more with less.

24 Q. And was there a typical
25 way in which municipal requests for friction

1 testing would be handled as to how it would come
2 in, where the request would go to once it did?

3 A. I don't know that there
4 would be. I think it would be more like if the
5 municipality had a contact at the MTO. So for
6 example, if they had worked with the bituminous
7 section on something, then they would know the
8 bituminous section guy and they would route it
9 through that person.

10 Q. I see. Or if it was a
11 particular region, it could come through whoever
12 they have been dealing with --

13 A. Absolutely. If it's in
14 the Kingston area, because again Kingston area is
15 all limestone, that might be something you would
16 reach out to the local geotechnical section about
17 and they would put them in touch with us in
18 Toronto.

19 Q. And the "us" is the
20 pavements and foundations, I take it?

21 A. Pavements and
22 foundations, yes.

23 Q. And what about the
24 results? Typically would the results of the
25 friction testing if conducted for a municipality,

1 would those be provided to the municipality that
2 requested it?

3 A. Yes, they would.

4 Q. And was there any
5 particular practice about interpreting the results
6 for the municipality about the results beyond
7 providing the raw information?

8 A. Well, I would say that we
9 just gave them the raw data and we didn't do any
10 report writing. We're not consultants, right, so
11 we weren't providing them with a consultant
12 assessment; we would just give them the results.

13 Q. And if the results were
14 troubling, that they result -- you know, that they
15 could indicate potential safety concerns, what
16 about in that instance? Is that --

17 A. I think we would alert
18 them to that, yes.

19 Q. Right. And beyond
20 sharing friction test results with the
21 municipalities that requested testing, what is the
22 MTO's practice about sharing friction test results
23 otherwise? And I guess there's a few categories
24 here.

25 First with the applicants, for

1 inclusion of their aggregates on the DSM, would
2 they typically be provided -- the applicants be
3 provided with the skid test results.

4 A. I don't think so, not in
5 what I've seen. So what I've seen is that they
6 would get a standard line that said, you know,
7 after two years of testing it shows satisfactory
8 performance in the field.

9 Q. And what about paving
10 contractors, if a road that they constructed had
11 been skid tested, would the results be shared with
12 them typically?

13 A. Yeah. So if it was the
14 type of contract that required friction testing,
15 we would have to share it with the contractor, so
16 absolutely we would, yes.

17 Q. Okay. What if not? If
18 it's just like the example you described where
19 some concern was raised by the region and the
20 testing was questioned, what about in those
21 circumstances?

22 A. I don't know that we
23 would unless -- so the example that -- I don't
24 know that we would. But I'm thinking that if the
25 example we gave was the flushing of the material,

1 so you've got this very black oily surface, we've
2 gone out and friction tested it, you know, we got
3 really, really low numbers, then -- and we said to
4 the contractor, you're going to have to remove
5 that surface, it's bleeding and flushing
6 everywhere, I'm guessing that if they questioned
7 it and were saying, you know, we don't want it,
8 we're not going to do it, that we may have shared
9 it with them. But I -- like, that's a guess. I
10 haven't personally shared that way.

11 Q. Not a typical practice in
12 any event? To share it --

13 A. That would be a case
14 where you could share it if the contractor was
15 saying, well, I'm not going to do anything about
16 that, you know.

17 Q. And again was there any
18 formal policy governing practices about sharing of
19 friction test results outside of when it's
20 actually a performance requirement in a contract?

21 A. I have not seen any
22 published policy on that, no.

23 Q. I just want to move over
24 to MTO practices about the results and
25 interpretation of skid test results. If we could

1 go to -- go directly to the documents. MTO 25395,
2 please.

3 This is an e-mail exchange.

4 At the top it's April 21st, 2009. It's from Tom
5 Klement to Chris Raymond, copied to you and Frank
6 Marciello. See at the top. And -- thank you.
7 Take that down.

8 And it's about a presentation
9 about the MTO's friction testing processes to
10 those who manage the Highway 407 is the way I
11 understand it; is that correct?

12 A. Yes.

13 Q. And at that time in
14 April 2009, you were the head of pavements and
15 foundations, correct?

16 A. Yes, I was.

17 Q. And so, yeah, there's the
18 e-mail from Tom Clement at the top. Within that
19 e-mail there's an imbedded e-mail from you that --
20 sort of halfway down where it says "hi Tom." Do
21 you see that?

22 A. Yep.

23 Q. And if you could
24 expand --

25 A. Expand it. It's very

1 small.

2 Q. We're going to blow it
3 up. Is that better?

4 A. Yeah, perfect. Thank
5 you.

6 Q. And I know you've
7 reviewed this, but where it says "what Doug and I
8 agreed to is as follows," do you know who Doug is
9 there?

10 A. Doug Coulter I think is
11 his name. Oh, gosh, my brain has stopped here.
12 Doug was responsible for the concessions group
13 that looked after the MTO liaison with the 407
14 ETR.

15 Q. So he was an MTO person?

16 A. Yes.

17 Q. And then you are saying
18 "I propose a presentation as follows." And then
19 the bullet is "background on our friction testing
20 equipment." Next bullet:

21 "Our practice is, for example,
22 if friction is greater than
23 30, no problem. If under 30,
24 we investigate. If under 25
25 (question marked), we react."

1 (As read)

2 I don't want to get into a
3 detailed discussion about the Highway 407 and
4 arrangements with it, but it's just to use this as
5 a springboard to talk about friction numbers and
6 the MTO's use of them and the results from its
7 friction testing.

8 So when you refer to the
9 numbers 30 and 25 here, what is it that you're
10 speaking of?

11 A. So again the 407 being a
12 performance contract, right, with a number in
13 there, so this is about talking about how do we
14 manage friction in -- at the Ministry of
15 Transportation, because they are responsible for
16 managing friction on the 407. That's their role
17 as the -- well, I guess VC of the 407.

18 Anyway, so this was literally
19 spit-balling, you know, throwing a few ideas into
20 a presentation, and it was basically saying, well,
21 you know, if the friction is sturdy, you know,
22 that's what we consider acceptable, satisfactory,
23 whatever word you want to use.

24 And then at some point in
25 time -- and that's why there is a question mark --

1 at some point in time we would want to
2 investigate, and if we found there was an issue,
3 then we would want to react, right.

4 And so in the middle is an
5 area where you would need to understand the
6 highway itself, if there were any issues on it, et
7 cetera. I guess that's what investigate means.
8 Like what type of friction demand is there on the
9 roadway, you know, what are the aspects of the
10 roadway that would help you understand what the
11 friction need on the roadway was.

12 Q. So whole bunch of things
13 in there. So just come back to the first part of
14 it.

15 If I have understood you
16 correctly, if it's over 30, you're saying that you
17 said it's acceptable or okay, whatever word that
18 you want to use. And that's FN30, right, which is
19 the number obtained by the skid tester that the
20 MTO used and uses to test friction on its highways
21 at a posted speed, correct?

22 A. Correct.

23 Q. And then you referred to
24 it as the midpoint and the looking into things,
25 the investigation. That's if it's under FN30,

1 right?

2 A. Yes, that's where -- if
3 the number -- if the average friction number was
4 less than 30, then that might be an opportunity to
5 investigate the roadway and see if there were any
6 issues.

7 Now, understanding that a
8 really important point here is that this -- we're
9 talking about having something brought to our
10 attention, right, like we have some concerns about
11 this roadway. That's how we would use these
12 numbers, right. We have some concerns about this
13 roadway. Can you do some friction testing. You
14 know, if the numbers came back 30 or above, we
15 would say all those are satisfactory numbers. You
16 know, if they came back significantly lower -- to
17 be fair, most of the materials say less than 20,
18 so to be fair, the question mark is there for a
19 reason. And if it's less than 20, then we're
20 concerned.

21 And then there's this area in
22 the middle where we would need to understand from
23 an engineering perspective all the factors about
24 the roadway, like, what are the friction demands,
25 you know, are there multiple stops and starts,

1 what are the geometrics like, what is the roadside
2 I would say hazard, like, for example, is there
3 giant rock cuts that if you accidentally went off
4 the road there is a giant rock cut, or is it a
5 nice farmer's field that you could just steer and
6 get back on the highway. How wide is the
7 shoulder. Like, so many aspects of what you would
8 look into. That's what --

9 Q. So if it's under 30, what
10 you are describing is that's a number which then
11 you look at all of these different -- or some or
12 all of these factors to see whether or not -- if I
13 understood you correctly -- whether or not that
14 friction number could pose a problem in the
15 particular circumstances?

16 A. Yeah, whether it could be
17 contributing, yes.

18 Q. Right. And so again, so
19 there's road geometry is -- and so horizontal
20 curves, vertical curves, grades, sight lines,
21 those sorts of things?

22 A. Correct.

23 Q. And you mentioned
24 shoulder width, lane width, all sorts of things
25 like that.

1 A. Yeah, what are you going
2 to hit if you did go off the roadway. For
3 example, like I said, a rock cut or, you know, or
4 fallen off a cliff, or is it just a nice broad
5 grassy side that you just maybe just drift off
6 into the field kind of thing.

7 Q. And is one of the things
8 they might look at also the accident rates, if
9 there's a history of collisions? Is that --

10 A. That's likely why -- one
11 of the reasons they would come to us, right.

12 Q. In the first place?

13 A. In the first place to
14 say, well, we're concerned about the friction,
15 that might be one of the reasons.

16 Q. And looking for areas of
17 aggregate polishing potentially, actually taking a
18 visual look at it?

19 A. Yeah, well, certainly
20 with our concrete pavements, you know, you could
21 see kind of a shiny polishing look to them that
22 told us that we had a polishing issue, yeah.
23 Sorry, go ahead.

24 Q. Go ahead.

25 A. I was going say the 427

1 example that is right there was an example of an
2 old concrete pavement, one that was built in the
3 late 60s. In 2004 it's had traffic on it since
4 the late 60s, early 70s, and so that's a lot of
5 traffic wearing on the surface. Yeah.

6 Q. And each one of those,
7 and I'll get to that, are examples of remediation
8 methods that were taken following an
9 investigation; is that right?

10 A. Yes.

11 Q. Okay. Now, how would you
12 then describe -- you used a couple of words to
13 describe FN30. What would you use to describe it?

14 A. Well, I think FN30 is
15 what we would consider satisfactory.

16 Q. And if it's below FN30
17 does that mean it's unsatisfactory, or it depends
18 based on the investigation?

19 A. Yeah, I think that's
20 exactly right. So it depends (garbled audio)
21 exactly right. So what are the friction demand
22 needs for the roadway. If the roadway has, you
23 know, lots of really, like, friction demand, like,
24 really high speed down a hill and, I don't know,
25 those kind friction demands.

1 So what friction -- the
2 friction demand is all about the stopping
3 distance, right. So the faster you're going, it
4 impacts, like, how quickly you can stop basically.
5 So looking at what is the friction demand is like
6 what are the needs to stop.

7 So if it's a straightaway --
8 like, another example would be if it's a nice
9 straightaway where nobody has to stop and start,
10 nobody has to make decisions, there isn't any
11 incoming, offcoming traffic, then, you know, the
12 friction number is satisfactory for quite a
13 long -- like, a lot of numbers would be very
14 satisfactory. It's when you have a roadway that
15 needs that friction demand where people need to
16 apply the brakes that it comes into play.

17 Q. And then, as we just
18 touched on, out of an investigation it may be the
19 result that some remedial action is required, or
20 not, depending on the circumstances; is that
21 right?

22 A. Yes.

23 Q. These examples here that
24 you gave, one is resurfacing is the first bullet.
25 That's one way of dealing with if there's a

1 friction issue is identified, you can resurface,
2 right?

3 A. Yep. In fact, that was
4 the example I gave of the 401. Like, it was
5 concrete pavement and they paved over it with
6 asphalt.

7 Q. And then the 427 you
8 described as diamond ground in or about 2004 I
9 take the question mark as meaning?

10 A. Yeah, all of this is --
11 like I said, this is literally me, you know, brain
12 dump, da da da da, send, right. But 427, again
13 built in the late 60s, early 70s, and I can tell
14 you this is -- I remember this job very well
15 because people were phoning the ministry to thank
16 us.

17 So we went out there and
18 diamond ground the pavement, and that was
19 improving the friction but it also improves the
20 ride quality. So suddenly you've got a nice
21 smooth ride, and it also really reduces the noise.
22 So we were actually getting the public calling us
23 to say thank you, which is rare.

24 Q. What is diamond grinding?

25 A. So it's a bunch of

1 diamond-bladed saws, and so you mount it on a
2 large lane-width roller and it just grinds off the
3 surface. So it's removing that polished surface
4 down to a certain depth and then giving you a
5 brand new, fresh surface, but it also removes any
6 humps and bumps and dips and things as well. So
7 you're getting just not a new texture in the
8 surface, but also a smoother ride and a quieter
9 pavement.

10 Q. The next one refers to
11 skid abrading?

12 A. Yes, so skid abrading
13 is you use these ball bearings, and it's basically
14 a machine that shoots all these ball bearings at
15 the surface and roughens the surface back up.

16 So Highway 115, again a
17 concrete pavement that had polished and therefore
18 decided to try skid abrading, which is a big
19 machine that shoots down all these little ball
20 bearings on the pavement and then vacuums them all
21 up behind, but it gives a new texture to surface,
22 so roughens it up for improving skid.

23 Q. And then there is
24 micromilling is the next one referring to at --

25 A. So here's an example.

1 Highway 401 Harwood is an example where -- where a
2 contractor -- there was a contract delay. So we
3 had paved the base, the bottom course of asphalt,
4 the middle course of asphalt, but we hadn't yet
5 put on the surface course. And the surface course
6 is where the high quality skid-resistant aggregate
7 is. But this middle course was -- because of just
8 contract delays and, you know, got ready to pave
9 with the surface course, this was left open to
10 traffic and very, very quickly polished, because
11 the aggregate was not required to be a
12 friction-resistant aggregate.

13 So over the course of the
14 summer, the aggregate polished up significantly,
15 and by the fall we had a condition where again the
16 pavement was shiny and very, very polished.

17 So that's an example of when
18 you use a limestone aggregate on a high traffic
19 volume freeway, it polished within months. And so
20 we had to go out there -- knowing we were going
21 into winter, we had to go out there and micromill.
22 And micromilling is like a bunch of -- it's like
23 milling teeth on a machine, sort of -- they look
24 like teeth, so that's why they call them that, and
25 it sort of microgrinds the surface, so you'll get

1 a rough pattern again. So it's restoring the
2 roughness of the surface.

3 Q. Now, you describe this as
4 sort of a -- this e-mail as sort of a brain dump
5 and that you are putting together -- you are just
6 proposing a presentation. I understand all that.

7 That said, with respect to the
8 practices more generally that you described as
9 opposed to the specifics here of the highways and
10 dates and so forth, but the practices that you've
11 described with respect to the use of the FN
12 friction number or skid number of 30 or under 30,
13 does that nonetheless describe the practices, and
14 as you've described them today, that's the MTO's
15 approach generally speaking?

16 A. So in all of these cases
17 it would have been identified to us as an issue
18 from the people in the field.

19 Another example, the one below
20 that is the Highway 401 Windsor. That was a
21 really interesting example because we used an FC1,
22 which meant that just the coarse aggregate in the
23 mix was from this designated surface -- designated
24 sources of materials list.

25 We should have used an FC2,

1 which meant -- means that both the coarse
2 aggregate and the fine aggregate in the asphalt
3 are both on this designated sources list. But
4 coming up in the near future was a construction
5 project, and so the thought was we will be able to
6 use the FC1 mix, it will be cheaper, because we
7 know it will probably give us five years'
8 performance. And then when we redo the whole road
9 we'll use the FC2. But again because it only had
10 one coarse aggregate only that was on the DSM, it
11 polished again.

12 So these are examples of, you
13 know, honestly -- these ones were sort of like the
14 nonroutine ones. Right. Like, I described that
15 flashing would be kind of the routine. This is
16 more like the interesting projects.

17 Q. And fair enough. So
18 you're saying, though, that number one, that
19 typically speaking these -- the testing occurs
20 because the regions have some concern and then
21 have brought it forward and requested testing.
22 That's the first part, right?

23 A. Exactly.

24 Q. And that describes the
25 typical practice --

1 A. Yes.

2 Q. -- for a long time,

3 right? And then the second part is how you then

4 apply the test results purely in those

5 circumstances where they brought these forward.

6 And I just want to be clear, though, that when you

7 talk about the brain dump, you are not saying that

8 it's inaccurately describing the use of FN30 and

9 below in the way you've described it. Is that --

10 A. That's right.

11 Q. All right. And is there

12 any written policy or directive describing the

13 MTO's approach to FN30 that we've just been

14 discussing?

15 A. Not that I'm aware of.

16 Q. And nothing published in

17 that respect?

18 A. Nope.

19 Q. Now, if you could take

20 that down, Registrar, but leave the document up.

21 In Mr. Klement's e-mail -- and

22 Mr. Klement, he was a research engineer in the

23 MTO, correct?

24 A. Yes.

25 Q. And I'll be asking you

1 and some others about the early age low friction
2 issue with SMA, but I just want to highlight one
3 thing there.

4 The last two paragraphs that
5 start -- of the first part of the e-mail,
6 Registrar, that starts "SMA implementation was
7 paused because." Yeah.

8 So this is in 2009. He's
9 describing something in the past at this point
10 with an SMA implementation was still paused, and
11 we'll talk about that.

12 But then he indicates:
13 "I suggest you do not even
14 mention SMA unless the 407 has
15 SMA segment. It is too
16 controversial. It would
17 support an argument that FN
18 equals 30, or close to it, is
19 not universally upheld by the
20 MTO, considering uncertain SMA
21 segments on high speed, high
22 volume freeways we have lived
23 width," quotes, "for
24 relatively long periods with
25 FNs well below 30." (As read)

1 Is that accurate, that FN30 is
2 something that is not -- has not been universally
3 applied by the MTO?

4 A. So I think this exactly
5 goes to what I was talking about. So it would
6 depend on the actual facility itself and an
7 evaluation of all of those other causative
8 factors.

9 So if there's no problem with
10 the road, then there's no problem with the road,
11 right. So if we've got a highway that's
12 performing well, we don't have any concerns or
13 issues, then, you know, living with the FN below
14 30, there's no issues.

15 I think we would see it as
16 being even more irresponsible to actually address
17 or, you know, treat pavements as soon as it went
18 below 30 when there is absolutely nothing wrong
19 with the pavement. It's performing well. It's
20 safe. There's no concerns brought forward.

21 And so that whole point of
22 what the highway -- what's going on on the highway
23 is the most important feature, not this number.
24 Like, if something isn't satisfactory at 30 and,
25 you know, failed and removed at 29, that just

1 doesn't make any sense, right. So there has to be
2 this middle area where we're looking at it from a
3 knowledgeable perspective on how that particular
4 highway is performing.

5 Q. And we've heard that
6 described as an intervention level is something
7 where there is a requirement of intervening and
8 taking some sort of action, and you're saying it's
9 not that. The FN30 is not that?

10 A. Exactly. We would never
11 have said that that is the intervention level.
12 And, you know, I think in your materials that were
13 produced for this, you'll see over and over again
14 that less than 20, less than 20 would be something
15 that would be of concern.

16 Q. And you alluded to that
17 earlier. You were talking about instances where
18 just on its face something at less than 20 would
19 be considered perhaps alarming, very much a
20 concern if it got down to that level. Is that
21 what you're talking about?

22 A. Yeah. I don't know if I
23 would use the word "alarming," but certainly if it
24 was less than 20 is where we started to say, okay,
25 this could be of concern, the friction number less

1 than 20 is a concern, yeah.

2 Q. Potentially in and of
3 itself?

4 A. Yeah. Again, depending
5 on the highway, there may be no issues, but
6 certainly most our cases are people bringing these
7 concerns forward to us, and if it was less than
8 20, we would be saying, wow, it's less than 20 so
9 it is a contributing factor.

10 Q. And then -- if you take
11 that down, Registrar.

12 In your embedded e-mail here,
13 you briefly mentioned it, that the under 25
14 question mark, we react. How does that play into
15 it?

16 A. Well, that's -- I'm
17 thinking that is me not remembering what it was.

18 Q. That's why the question
19 mark?

20 A. Yes.

21 Q. Okay. And once the skid
22 testing is conducted -- there's a request comes
23 from a region typically, the testing takes place
24 and the results are provided to the requesting
25 region, whoever that was, who makes a decision

1 about what, if any, measures to take at that
2 point?

3 A. Well, you know, if it's a
4 construction project, at the end of the day we can
5 only have a discussion about it, but the MTO
6 people that are in the region are the ones that
7 are responsible for that construction project. So
8 all we can do is have a conversation about it and,
9 you know, we can't actually direct them to do
10 things. We can make recommendations.

11 Q. And, sorry, what about in
12 the other instance where this is an in-service
13 pavement, the request has come in, and so the
14 results go back to them. Is it the same thing,
15 you make recommendations to the region?

16 A. Yes. Yes. We would have
17 no say in a regional contract. Usually they would
18 be asking for --

19 (Speaker overlap)

20 A. -- can you send the
21 friction trailer, we're concerned about friction.
22 They would actually be looking for some thoughts
23 on --

24 Q. On whether it's an issue,
25 what these results mean, and advice in that

1 regard?

2 A. Yeah.

3 Q. Okay. And in the paper
4 that we were talking about earlier, the 2004 paper
5 titled "Pavement Surface Friction on Ontario
6 Highways" that we discussed that Mr. Rogers,
7 Mr. Gorman, you, and Mr. Marciello were listed as
8 the co-authors of, in that paper there is no
9 express mention of FN30 or any other threshold,
10 guideline, expectation, however you want to
11 describe it, but in that there's a reference in
12 one of the footnotes to a 1982 paper by two
13 individuals named Kamel and Gartshore titled
14 "Ontario's Wet Pavement Accident Reduction
15 Program."

16 And is that -- I can take you
17 to it, but it's only just referenced in the paper
18 that you wrote with Mr. Rogers, Mr. Gorman,
19 Mr. Marciello. Is that a paper that you had --
20 that you recall or had recalled at the time of
21 this paper being written?

22 A. No, but I have since read
23 it.

24 Q. Okay. So is it something
25 that your -- a paper that you were not aware of

1 prior to these proceedings, put it that way?

2 A. Yeah.

3 Q. Or you just forgot about
4 it?

5 A. No, I mean, I didn't know
6 about it. It was from 1982. I didn't start till
7 1991. I wasn't aware of the paper.

8 Q. And so if we could go to
9 RHV610. This is the paper, and I absolutely
10 appreciate what you just said about your knowledge
11 of it at the time.

12 But if we could go to image 5.
13 Towards the bottom there, yeah. Towards the
14 bottom there, if you could expand it, Registrar,
15 where it says "pavement skid resistance." And it
16 refers in the first paragraph, expanded there, to
17 "pavement skid resistance is evaluated using the
18 MTC brake-force trailer," and then:

19 "Skid resistance is evaluated
20 by considering the FN, the
21 differences between desirable
22 and existing friction levels.
23 The tentative guidelines shown
24 in table 1 are used for this
25 purpose." (As read)

1 And then if you could go to
2 image 8, table 1. So this is the table that's
3 referred to. Now, appreciating what you said
4 about not having a time and awareness of this
5 paper, nonetheless there seems to be a similarity
6 in the numbers that are referred to; is that fair?

7 A. Yes, that's fair.

8 Q. And --

9 A. But this is tentative
10 guidelines in a research paper.

11 Q. Right. No, and I'm just
12 wondering how, if at all, that filtered down, if
13 you have any knowledge about how it related to the
14 practices that you've just described?

15 A. I hadn't read the paper
16 that I'm aware of or that I remember, but I did read
17 it with interest because later on, Tom Klement's
18 work -- Tom Klement was working on something that
19 seems to be familiar.

20 Q. So you read it with
21 interest in the context of this proceeding, do you
22 mean, or going back --

23 A. Yeah.

24 Q. Okay. In the context of
25 this proceeding. Okay. If I understood you

1 correctly, this wasn't something that informed --
2 despite the fact you can recognize the
3 similarities, that's not something that informed
4 your practices or knowledge directly; is that
5 fair?

6 A. Right, yes.

7 Q. Okay.

8 A. I mean, the background
9 that I understood was the geometric design guide
10 stopping distances is what people talked about.
11 So they would say the geometric design guide FN30
12 for 100 kilometres per hour design speed. That's
13 what I recall.

14 Q. That was -- your
15 understanding was that that is where the FN30 as a
16 the desirable number came from was starting from
17 the -- what was in the MTO's geometric design
18 guide for design?

19 A. Yes.

20 Q. And assumed friction
21 values and design?

22 A. Yes.

23 Q. You can take that down,
24 Registrar. Thank you.

25 And that's the part of the

1 calculation, the coefficient of friction that's
2 used is part of the calculation that gets you to
3 the stopping distance at a particular design
4 speed. Does that accord with your understanding?

5 A. Yes.

6 Q. And in terms of your
7 knowledge of it, you have been at the MTO for a
8 long time, is that something that was passed down?
9 Is that something that was understood? How would
10 you describe the passing on of that knowledge and
11 how you came to understand that?

12 A. Yeah, no, I think that
13 that was just -- yeah, you're right, like, passing
14 knowledge on it from the geometric design guide.
15 And I'm not a -- as you know, I'm not a highway
16 design engineer, so geometrics of a highway, et
17 cetera, that's not my expertise.

18 Q. I understand that.

19 You can take that down as
20 well, Registrar, thank you.

21 Can you describe the MTO's
22 practices, what they were and if it at all changed
23 over time, with respect to sharing or not sharing.
24 It's the approach that you described to FN30 and
25 when to investigate and so forth, however you want

1 to characterize it. Was that something that was
2 widely disseminated or no?

3 A. So I would say when
4 people ask me about FN30, because that is
5 something that somebody might say, what about
6 FN30, then I would give them exactly the same sort
7 of information that I just provided. It's not
8 about a number. It's about the friction demand on
9 the particular facility that you're on. It's
10 about how is the traffic flowing, what speed are
11 they flowing at. Are they stopping and starting.
12 Are they weaving, interacting with each other.
13 How is the highway itself. Are there curves. Are
14 there grades. Are there obstacles that you can
15 hit on the side of the road.

16 It's comprehensive. It's not
17 about a number that mysteriously you go from being
18 good to bad. Like, it's just not like that. And
19 I have explained that to people when they have
20 asked me many times over the years.

21 Q. Do you mean when you're
22 directly asked about that number?

23 A. Yeah.

24 Q. If we could go to
25 overview document 4, images 128 to 129, please.

1 And this is paragraphs 308 to
2 309, which pertain to a June 23, 2011 media
3 inquiry from -- and it's an e-mail exchange
4 between you and then Mr. Kazmierowski, who I guess
5 at the time was the manager of MERO, and you were
6 the head of pavements at that time; is that right?

7 A. Yes.

8 Q. It's from -- it gives the
9 e-mail address there in response to a Road Talk
10 link, and he asked the question about:

11 "I would like to know if there
12 are any publications that
13 cover hot rolled asphalt
14 compositions and friction
15 coefficients for the hot
16 rolled asphalts used in
17 highways and roads in
18 Ontario."

19 And then Mr. Raymond indicates
20 internally with you and Mr. Kazmierowski that
21 he'll work with you to prepare a response. And
22 then he says:

23 "Noting that I am concerned
24 with this type of judicial
25 inquiry regarding frictional

1 assistance and safety of our
2 highways surfaces, I would
3 prefer we avoid any discussion
4 of actual skid
5 numbers/values/thresholds and
6 keep the conversation on a
7 more generic level. The
8 sensitivity associated with
9 this issue is high."

10 And then the response is
11 paragraph 309 going onto the next page, which
12 attaches a link to a couple publications, MTO's
13 pavement and design and rehabilitation manual and
14 Superpave and SMA guide and the skid resistance
15 aggregates in Ontario paper, which is another
16 paper from Mr. Rogers as a matter of fact. So he
17 sends those to him, but it doesn't have any
18 discussion of friction coefficients or friction
19 numbers. And so is this reflective of the MTO's
20 typical approach? It's a little different than I
21 think what you just described to me if someone
22 asked you directly about FN30.

23 A. Yeah, so in this
24 particular case what he's concerned about is
25 getting numbers out there that, you know, are

1 interpreted as meaning something when it's more
2 than that, right.

3 So again exactly the same
4 thing, like, if you have a published number, the
5 concern is that people can point to a published
6 number and say, you know, this is the reason that
7 X, Y, Z, but in fact there's so many other factors
8 that are associated. You know, we try to keep the
9 focus discuss off the friction number and more
10 into an engineering review of the roadway itself.

11 So to have a number out there
12 that, you know, like I said, is kind of
13 meaningless but could be looked at as being
14 significant, when it's really meaningless unless
15 you're taking that number in the context of the
16 roadway itself.

17 Q. And so is it fair to say
18 that typically the MTO would avoid communicating
19 the FN30 number as a specific value, and -- in
20 favour of more generic responses? Is that a fair
21 assessment?

22 A. Yes, it is.

23 Q. Okay. In your
24 experience, coming back to the testing and
25 interpretation of results themselves, how does the

1 MTO determine if the FN30, whether we call it
2 threshold or -- I'm not trying to come to a
3 conclusion with that, but threshold or number,
4 however you want to call it. If it's been
5 reached, is it the average across the entire
6 section of the highway being tested? Is it based
7 on individual readings or done by lane as an
8 average? What's -- and if it differs in --
9 depending on the circumstances. Could you discuss
10 that.

11 A. Yeah. No, we look at the
12 mean or the average of the data, so we would look
13 at the particular section that we're investigating
14 and determine what the mean was. Now, you know,
15 one of the issues with the mean is that -- you
16 know, I think you had mentioned this, how is the
17 data distributed. So if the data is distributed
18 like, you know, half above and half below the
19 mean, so a standard or normal distribution of the
20 data, then it makes sense to use the mean, 100
21 percent. So yes, we use the mean.

22 Q. You're talking about
23 standard deviations in there? Is that....

24 A. I'm talking about -- no,
25 I'm talking about distribution of the data. So,

1 for example, if the mean -- if the mean was 40,
2 for example, and then the data was 35s and, you
3 know, 40s and 45s, then -- and the mean -- the
4 data was distributed normally, then you would say
5 that that was representative of the data. If you
6 had -- the first part of the section is, you know,
7 like 20s and then the next part is 40s, you can't
8 say, oh, well, the mean is 30, so it's okay.
9 Right. So it's about how is the data distributed,
10 like I guess a normalized or normalized data set
11 rather than a skewed data set, she said. Do you
12 know what I mean?

13 Q. I think I do. If I could
14 come back to it. You know, if you're -- just,
15 again, to use the FN30, if the first half of the
16 tested section is 20 and the next half is 40, and
17 it gets you an average of 30 based on what you've
18 described, that would nonetheless -- you know, for
19 the section that's half of the -- the tested
20 section that's below 20 presumably would cause
21 some concern and then trigger an investigation of
22 at least that section to see what the issue is,
23 and if there is any issue, what -- given rise by
24 that; is that fair?

25 A. Yeah. You would look at

1 the data, and, you know, how it's distributed,
2 and, again, if it was all distributed evenly, yes.
3 If it was, you know, going low, low, low, low,
4 high, high, high, high, then it's not normally
5 distributed. So that would be -- you have a
6 different -- you have a change in pavement type,
7 for example. Like a good example would be hot mix
8 patching. So, you know, that's where you have a
9 piece of a highway that is deteriorating faster
10 than another piece of the highway, and they go out
11 and they put down a hot mix patch.

12 So that hot mix patch could be
13 newer, and it could be, you know, really high
14 friction, and then the rest of the pavement could
15 be very old and have a low friction. You can't
16 average it across that and say, therefore the
17 friction is this. No, it's two distinct areas.
18 Otherwise, yes, you would use the mean. That's
19 what we always use, the mean.

20 Q. All right. And does it
21 depend on the -- at all, what you've described, on
22 the purpose of the testing? You know, there's
23 the -- as we've described it, the front end and
24 the back end. The back end being when a request
25 has actually come in to test a particular stretch

1 of highway because some concern may have been
2 identified versus the DSM application approach to
3 it. Any difference in the way they are
4 interpreted in those circumstances or is it the
5 same --

6 A. I think every single case
7 it would be interpreted by the engineer for that
8 particular job. Like on a case-by-case basis for
9 every data set that came in.

10 Q. If we could go to
11 overview document 4, image 60. And I guess it's
12 actually -- this is 60 and I guess 61. These are
13 the -- no, let's make it 61 and 62, please.

14 And these are the
15 October 16th, 2007 results. And I don't want to
16 get into interpreting. I just want it so we have
17 a visual of the way that the results -- that the
18 skid tester that the MTO uses generates results
19 and just have a look at it.

20 So maybe if we could use the
21 first one. Expand that on page 61. There we go.

22 And so as I understand it
23 reading these, it gives you the date, tells you
24 the date of the testing, in this case
25 October 16th, 2007. It tells you that it's --

1 that the direction is SBL 1. That's southbound
2 lane 1; is that right?

3 A. Yes.

4 Q. And lane 1, that's the
5 inside lane typically; is that right?

6 A. Yes.

7 Q. And lane -- and it moves
8 out from there. Then lane 2 is the outside if
9 it's a two-lane in that direction; is that right?

10 A. Yep, and if it's three
11 lane, lane 3 is the outside lane, yes.

12 Q. Okay. And then on the
13 site it tells you from where to where that the
14 testing is taking place; is that right? Here the
15 "CNR OH Structure to Greenhill Avenue in
16 Hamilton"?

17 A. Yep.

18 Q. Giving you the limits of
19 the testing?

20 A. That's right.

21 Q. And then temperature
22 gives you -- there it's the temperature at the
23 time of the testing, 12 degrees, and that's in
24 centigrade as I understand it.

25 A. Yeah.

1 Q. Okay. And then we've got
2 on the left-hand column there there's the
3 distance. So it's given in kilometres, but
4 getting from the starting of the testing to the
5 end; is that right?

6 A. That's right, yeah.

7 Q. And then at each place
8 where the testing takes place, where the locked --
9 where the locked wheel is applied, the brake is
10 applied, it gives you the speed at that time of
11 the testing, right?

12 A. Yes, it does.

13 Q. All right. And that's
14 important, as you said, because the speed
15 obviously impacts the friction number that's
16 ultimately obtained, right?

17 A. Yes.

18 Q. And then it says "average
19 friction number," and that's the number at each
20 testing point, right?

21 A. Yes.

22 Q. Okay. And then the
23 landmarks, saying what crossing street or crossing
24 structure, railway tracks, for example, that
25 you've got throughout the testing limits; is that

1 right?

2 A. Yes.

3 Q. Okay. And then on the
4 right, it just gives some details about it. So
5 here it's SMA; it gives the contract number and
6 specifies unopened to traffic. And from what I've
7 seen, that is a typical sort of approach. It says
8 what's the surface course and often when it was --
9 when the pavement was placed, that sort of thing;
10 is that right?

11 A. Yep.

12 Q. Is this a typical report?
13 I appreciate that there's underlying data as well,
14 but the typical report and chart that is generated
15 from the ASTM skid tester used by the MTO?

16 A. Yes.

17 Q. And then at the bottom
18 it's got the average speed and average FN, meaning
19 the average friction number. So that's the
20 averages of all the figures above, right?

21 A. Yes.

22 Q. And then the minimum and
23 maximum and then standard deviation. And those
24 are always given as well?

25 A. That's where it comes on

1 the report, yes.

2 Q. Okay. All right. You
3 can take that down, Registrar. Thank you.

4 Commissioner, I'm going to
5 move on to another topic which I can start or we
6 could --

7 JUSTICE WILTON-SIEGEL: Why
8 don't we take our break now, it's about that time.
9 We'll come back in 15 minutes, will be 25 to
10 12:00.

11 MR. LEWIS: Thank you.

12 --- Recess taken at 11:21 a.m.

13 --- Upon resuming at 11:36 a.m.

14 MR. LEWIS: Back from break.
15 May I proceed, Commissioner?

16 JUSTICE WILTON-SIEGEL: Please
17 do.

18 BY MR. LEWIS:

19 Q. Ms. Lane, I just had a
20 couple of questions from reviewing my notes before
21 we move on to the other topic. One is one of the
22 examples you gave from your e-mails with
23 Mr. Klement was about remediation by way of
24 diamond grinding, and we briefly talked about
25 that. And the example that -- specific example

1 you were giving was for a concrete pavement. Is
2 that also a diamond grinding remedial process that
3 can be used for asphalt in your experience or no?

4 A. I know -- I'm sure that
5 it can. I'm sure that it can. I'm not sure if I
6 can recall anywhere we did use it. But just as an
7 example, when you freshly pave a new highway or a
8 new road and there's some bumps in it from the
9 paving, they actually go out and diamond grind off
10 those bumps to correct them right at the beginning
11 so that you get a smoother ride. I'm not sure if
12 it's the exact same equipment, but it's the
13 similar thing.

14 Q. Okay. Thank you. And
15 just on the skid testing generally, you described
16 how regional requests and municipal requests for
17 testing are made and dealt with. And then
18 appreciating all the other variables that you
19 described about road geometry and other issues
20 that can go into evaluating the pavement and
21 safety, is there any purpose for the MTO
22 conducting skid testing in answer to those
23 requests other than ultimately in relation to road
24 safety issues?

25 A. Well, I guess not. I

1 mean, you've been asked -- because they've
2 identified what they consider is a concern, you've
3 been asked to go out there and measure the
4 friction to see if that is what's contributing to
5 the concern. So yes, it's about safety.

6 Q. Okay. And then skid
7 testing -- I mean, you're right. They have raised
8 it for a particular reason. Skid testing
9 generally and friction testing, is there any
10 ultimate purpose to it other than in relation to
11 road safety?

12 A. I guess not. I mean,
13 you're out there measuring the surface friction to
14 see what the stopping distance -- as it relates to
15 the stopping distance on the highway, so....

16 Q. Does it have anything --
17 is there any relationship between it and
18 durability of the pavement?

19 A. Yes and no. I mean, no,
20 the aggregates that tend to be giving the highest
21 friction values are also more durable. So there
22 is a relationship there, but we have lots of other
23 tests that measure the durability of the aggregate
24 like the Micro-Deval that I mentioned earlier.

25 Q. Right. And I get, like,

1 durability in the one sense is -- I mean, polished
2 stone value, you are measuring the durability of
3 the microtexture in relation to its frictional
4 qualities, right?

5 A. True.

6 Q. Okay. So if I've
7 understood you correctly, though, it's like there
8 may be some relationship in the sense that the
9 most -- the best frictional quality aggregates
10 tend to be the ones that also are the most
11 durable, but there's other tests that you use for
12 the durability purpose?

13 A. Not quite. So you
14 can have --

15 Q. No?

16 A. -- something that is --
17 no.

18 Q. Okay. Sorry.

19 A. So you can have something
20 that's got very high friction but low durability,
21 and we did have those as well. So something that
22 MTO had used, steel slag aggregate, for example,
23 that had high -- very high friction properties but
24 didn't last very long on the road. So there could
25 be aggregates that have very good friction

1 properties but low durability in terms of how they
2 stay on the road. I'd give -- another example
3 would be something that's very highly siliceous,
4 meaning very --

5 Q. Sorry. Silicious?

6 A. Sorry, siliceous, very
7 rich in quartz --

8 Q. Okay.

9 A. -- that could be --
10 strippable, sorry. So what that means is that the
11 asphalt cement doesn't stick to the aggregate
12 itself very well. So even though it's very hard
13 and would give you high friction numbers, it
14 doesn't stay in the mix, so, you know, it would be
15 a durability issue. So that's why we have all of
16 those other tests that we do. Is it resistant to
17 freeze-thaw, you know, those kind of other tests
18 as well.

19 Q. Okay. So to come back
20 again, not the purpose of the friction testing,
21 skid testing for durability. That's not the
22 purpose of those tests; is that right?

23 A. Correct.

24 Q. Okay.

25 A. That's right.

1 Q. And then on the steel
2 slag, I won't go to it, I think I recall from the
3 paper that we've already looked at, the 2004
4 paper, there's a reference to the MTO put an end
5 to the use of steel slag in its projects in 1991;
6 is that right? Am I remembering that correctly?

7 A. It could be. I don't
8 know the date, but yes --

9 Q. Okay.

10 A. -- we stopped using steel
11 slag. I thought it was later than that, but yeah.

12 Q. Okay. I don't want to
13 get it wrong just since I raised it, and I was
14 going from memory. So if we could go back,
15 Registrar, hopefully I can pull it up. This is
16 MTO 18621. Yeah, and image 8. And it's the
17 bottom paragraph, if you could expand that, the
18 last --

19 A. Yeah, you've got a good
20 memory.

21 Q. It was just this morning
22 we were looking at it, right? So is that --
23 that's where -- that was the reference I was
24 referring to. Does that sound right, then?

25 A. Yeah, so we had trouble

1 with durability. So they see the pavements had a
2 relatively short life. So even though we had good
3 friction, they didn't last very long, and
4 therefore we stopped using them.

5 Q. Okay. Thank you. You
6 can take that down and the document as well,
7 Registrar. Thank you.

8 So I would like to move on to
9 a topic that you mentioned in passing and then I
10 put you off, which is performance contracts,
11 performance criteria, and the use of the friction
12 number in relation to those kinds of contracts.

13 And, Commissioner, just for
14 your reference, in overview document 4, paragraphs
15 392 to 455 set out in detail a debate on and
16 discussion and application of friction number
17 standards or specifications either in lieu of or
18 in addition to DSM pre-approved aggregates from
19 2005 to 2015.

20 So this was a -- Ms. Lane,
21 this was a long, long discussion and debate,
22 right?

23 A. It was.

24 Q. Okay. And I'll take you
25 in a minute to a presentation from March 2011

1 which is sort in the middle of it all, but -- to
2 look at some specific stuff. But I wonder, could
3 you describe the nature of this debate and what
4 we're talking about in relation to
5 performance-based contracts and performance
6 criteria and so forth and its relationship to
7 friction.

8 A. Yeah. So I think it all
9 comes down to, as I mentioned, the sort of
10 resource intensive -- the ministry downsizing over
11 the years, year after year, and do we have enough
12 resources to deliver our contracts in a
13 traditional way. I think that is sort of the idea
14 behind it. And our traditional way, as I
15 mentioned, is to pre-qualify all these materials
16 and, you know, have these lists of materials that
17 we've evaluated and tested, and then putting out a
18 contract where we provide oversight on the
19 contract.

20 So we have people in the field
21 that are, you know, making -- sampling the
22 materials, testing it in the lab, making sure we
23 got what we asked for, et cetera. So that's our
24 traditional contracting model.

25 And so the idea was, how can

1 we move to one where -- we know that the
2 contractors know how to build a road, so can we
3 just put out a contract that says we need a new
4 road, and it will be from this interchange to that
5 interchange, and you give it to the contractor,
6 and then the contractor is allowed to use any
7 materials that they want, build it any way they
8 want. The advantage being that if they had
9 something new or innovative that they wanted to
10 try, they could do that. The disadvantage in my
11 mind, understanding that I'm from the materials,
12 engineering and research office, was that the
13 ministry wasn't heavily involved in that contract.
14 So we weren't pre-qualifying the materials. We
15 weren't providing the oversight. We weren't doing
16 sampling and testing. And then the -- at the end
17 of the day the contractor goes out and builds us a
18 road.

19 And so from my perspective I
20 was, like, well, what's in the road. Now, you
21 showed that map of aggregates in Ontario, and you
22 can see all of those good quality sources are in
23 the north, and most of our major freeways and
24 roads are in the south. And so it costs a lot of
25 money to transport the aggregate from the north to

1 the south, so my thought was why would the
2 contractor use these pre-qualified excellent
3 materials when they could just use a local source,
4 not have -- they own a lot of their own sources;
5 the contractors own a lot of sources, and the most
6 cost-effective for them is to use the closest
7 locally available source. And that might be in no
8 way meeting any of our requirements. So that was
9 my concern as a materials person, right.

10 And so the idea of the
11 performance spec is they build it however they
12 want, and when it's built, you go out there and
13 you measure friction on it. Right. And okay, so
14 we've got a friction number; great. But, you
15 know, what's in the road, and how is it built, and
16 is it consistent, and did they use good quality
17 materials. Those are all the questions that I
18 would have had.

19 Q. Okay. And in the
20 measuring friction in these instances you would
21 have, as I understand it, a minimum friction
22 number stipulated in the contract. Is that right?

23 A. Yes.

24 Q. Okay. And that the
25 contractor, then, is to maintain that for a period

1 of time?

2 A. Right.

3 Q. Is that fair? Yes?

4 A. Yes.

5 Q. Okay. And we've seen

6 reference to different kinds of contracts.

7 There's the pavement warranty contracts and MinO

8 contracts, which I understand are minimum

9 oversight contracts, and area testing contracts.

10 Could you -- the difference between these eludes

11 me sometimes. I wonder if you could describe

12 that.

13 A. Okay. So the pavement

14 warranty contract was a seven-year contract, and

15 the idea was supposedly to go out for a two-lane

16 rural King's highway that was considered to be a

17 low-risk project, and that we would just let the

18 contractor build the roadway with whatever -- you

19 know, trust the contractor to build with whatever

20 materials that he felt would give him that

21 performance, right. So it's more like a

22 performance target. Like, I'm going to design and

23 build the road to meet this target. Right. And

24 so that's what the seven-year warranty was.

25 And over the year -- over the

1 course of the seven years you might check in
2 several times, and then at the end of the contract
3 as well a final check-in and measure whatever
4 properties that we've -- performance targets we've
5 asked. So, for example, a friction number of 30
6 would be the performance target, and we would
7 check in at year, I don't know, 1, 3, 5 and 7, for
8 example, and make sure that they were able to hit
9 30, 30, 30, 30 over those seven years, right. So
10 that's the seven-year warranty.

11 Q. Okay. And who is doing
12 the testing in those --

13 A. So -- well, I mean,
14 originally it would be us in the -- sorry, them in
15 the pavements and foundations section, but the
16 idea was how many of these contracts are we going
17 to have because we only have this one that -- it's
18 very different to have a project here, a project
19 there, and a project here versus a very -- a
20 planned program that you normally do, right. So
21 suddenly you're adding these extra contracts to
22 the workload. So the idea was there could also be
23 other consultants out there that can do the
24 testing too.

25 Q. Okay.

1 A. That's the seven-year
2 one. And then you asked about the MinO. So the
3 MinO was a totally different -- well, similar
4 concept but three years only. So it was like,
5 we're going to put out this contract, and it only
6 has -- no, I shouldn't say it only has to last
7 three years. That's not right. We're only going
8 to measure it for three years, right. So however
9 long it lasts, we've only measured up to year 3,
10 right. But the same concept of you can build it
11 with whatever materials and things that you want.
12 These are your performance targets. We're going
13 to monitor it at year 1 and year 3, for example.

14 And then you mentioned an area
15 term contract. So that's something that we never
16 implemented. So we did implement the seven-year
17 pavement warranty, and we did implement the
18 minimum oversight. The area term contract concept
19 was that you would hand a whole area of the
20 province over to a particular contractor, and they
21 would be responsible for the entire pavement
22 network in that area. And I can draw a parallel
23 to my job right now. We have eight -- in central
24 region we have eight area maintenance contracts,
25 so we have eight contracts where we've outsourced

1 maintenance to these different contractors. So
2 the idea would be exactly like that. An area term
3 contract, you would put that out to those people,
4 and they would be responsible for the entire
5 pavement management, design, rehabilitation,
6 construction, everything. That's that contract
7 model.

8 Q. I see. And in that --
9 and friction would be one of the issues that would
10 be part of that, of the larger piece?

11 A. Exactly, yeah.

12 Q. Okay. But as you said,
13 those were never implemented?

14 A. Right.

15 Q. Okay. Okay. So if we
16 could then go to overview document 4, and it's
17 paragraph 412, but it's at images 171 to 172,
18 please, Registrar.

19 On the preceding page it just
20 references that this is in March 2011 that you
21 prepared a draft memorandum and a presentation for
22 the HST. HST is the highway --

23 A. Highway standards team.

24 Q. Thank you. It's an
25 acronym that I have not managed to get into my

1 head yet, so thank you. And it's respecting the
2 views of the geotechnical and quality assurance
3 committees. And your presentation is what there
4 are excerpts from here, and if at any point you
5 feel like we need to go to the presentation
6 itself, we can do so, but there's just some of
7 these that I would like to talk about. And you've
8 given us the sort of overall, but there's some
9 specific comments in here that --

10 A. I --

11 Q. Yeah?

12 A. I do want to say, don't
13 forget that the -- like, while you say "this is
14 you," it wasn't me. It was me and the
15 geotechnical committee, which is all the heads of
16 geotech, and the quality assurance committee,
17 which is all the heads of quality assurance as
18 well. Like, it wasn't just Becca Lane felt like
19 this; it was the whole community of geotech,
20 quality assurance, materials engineering people.

21 Q. No, I think that's --
22 thank you for that. If we go back to the prior
23 page just so we have it on there; 170 is the
24 beginning at 412. That it's -- at the bottom
25 there that -- prepared by you, but it's regarding

1 the concerns and views of the geotechnical and
2 quality assurance committees. So that is in
3 there. But this is -- I take it you're
4 synthesizing the views, if I understand you
5 correctly? You're taking the pen and you're
6 putting it into this presentation; is that fair?

7 A. Correct, yes.

8 Q. Okay. And so in the
9 first bullet there if -- and we can -- yeah, maybe
10 expand that. Yeah.

11 And this is in 2011, as I
12 said. I think describes what you were already
13 talking about, but the overall purpose of the
14 using FN as performance measure is to replace the
15 use of the DSM respecting aggregates, right?

16 A. Yes.

17 Q. That was the point. And
18 then in the next bullet -- and I want to be clear
19 because there are some -- if you could pull that
20 down. Oh, no, sorry, we've got it all up. I'm
21 sorry.

22 Just to be clear, I'm not
23 asking you for any legal advice that was received,
24 and there may be some redactions in this document,
25 I think. But -- so just to be clear on that

1 before I ask you the questions. But in here, it
2 is something that we see in other references as
3 well about concern about liability, and
4 specifically here:

5 "GeoCom is concerned that the
6 use of FN as a performance
7 measure will increase MTO's
8 liability, especially where
9 checks and balances in place
10 to ensure pavement friction
11 are replaced with rarely
12 measured performance targets
13 based on failure criteria."

14 Could you just describe that
15 concern in a little more detail.

16 A. Yeah, sure. So the
17 concern was around -- like, you put out these
18 contracts, and they have got a number of them that
19 says, you know, this is the number, and it's like
20 the number is somehow now, ta-da, a number,
21 whether it be for friction or rutting or whatever
22 number it is, and then how -- where are the checks
23 and balances in place to ensure that over the life
24 of the pavement it's performing well and things
25 like that, right.

1 So basically we felt that that
2 would increase MTO's liability because if we were
3 asked, well, does it meet this requirement now,
4 and we would say, well, we don't know because we
5 haven't checked since year 3. But -- well, do you
6 know what materials it was built with, or do you
7 know quality of the aggregate it was built with.
8 Well, no, we don't. So we felt like that would be
9 increasing our liability, right.

10 So there were no checks and
11 balances. The seven-year pavement warranty ends
12 at year 7. Pavements last 20, 25 years. The
13 three-year MinO lasted -- you know, was three
14 years and pavements last much longer than three
15 years, so we just felt like we had replaced this.
16 It would be replacing this upfront, making sure
17 the contract is built properly with the right
18 materials, sampling and testing and oversight
19 during construction, that we've built it properly,
20 and then we're confident that the road is going to
21 perform over its life.

22 Q. Okay. And in the third
23 bullet you've already described the historical
24 approach, so I don't need to cover that, I don't
25 think. The fourth bullet is a reference to the:

1 "MTO does not carry out
2 network level friction
3 testing."

4 What's the point of that in
5 this context? Is that about --

6 A. Yeah, that -- sorry.
7 What that is about is exactly what we're saying.
8 So you've built these different contracts out
9 there. If we were out there measuring friction
10 over the entire network every year, then we would
11 be able to catch any trends or things like that on
12 a pavement, but we don't have the resources to do
13 that. We have one person with one friction
14 trailer that can barely manage the DSM -- I guess
15 he wouldn't be doing that anymore. It just didn't
16 make sense to us. The other thing is the
17 equipment that we've traditionally used is really
18 not conducive to network level friction testing.
19 Although we did do that for one year. The trailer
20 requires a tank full of water to spray water on
21 the road, so every so often you have to stop and
22 find somewhere to fill it back up with water, et
23 cetera. So the friction trailer that we had
24 traditionally used would also not be very suitable
25 for network level friction.

1 Q. Okay. And the one -- the
2 year that you conducted, are you talking about
3 2013?

4 A. Yes.

5 Q. Yeah. Okay. And we'll
6 talk about that a little bit in a bit. And then
7 there's your --

8 A. Oh, hello?

9 Q. Yeah, I'm sorry. I'm
10 there. Just give me one moment.

11 And after that there's the
12 reference to, "FN on its own does not relate
13 directly to safety."

14 And then you go on to talk
15 about the other things that you've already spoken
16 about. So I think it's a summary of what we've
17 been speaking about so far, and -- but eventually
18 FN at some point becomes a safety concern.

19 A. Right.

20 Q. Okay. And, again, not
21 asking for what legal advice was, but the second
22 last bullet in that expanded text is:

23 "Most highway agencies do not
24 publish friction numbers for
25 liability reasons."

1 Did you have any insight into
2 that?

3 A. To be honest, it's
4 exactly the same thing. So if we have a standard
5 out there that we're not meeting, that really is a
6 standard that doesn't make sense to us because on
7 its own it doesn't relate to safety. So that's
8 why we didn't establish it as a standard number.
9 Right. So there's so many other factors.

10 But if there was to be some
11 kind of published number that people could point
12 to and say, well, you dropped below this published
13 number, and therefore instead of taking into
14 consideration all those other important factors
15 which we would take into consideration while we're
16 managing our network, so that's the concern. If
17 you move to a model where we're no longer invested
18 or engaged in the design and construction of the
19 highway, then we've sort of lost our control over
20 what was built and how it's going to perform.
21 That was our concern.

22 Don't forget, we're the people
23 that -- like the group that I just mentioned, the
24 people in the MERO, the people in geotech, the
25 people in quality assurance, we are the people

1 that are trying to upfront get the right design
2 and the right materials and the right construction
3 and those kind of things and get a good product,
4 right. So that was why it was our concern.

5 Q. Okay. If we could go on
6 to the next page. Expand that.

7 Some of these we've already
8 covered. The top one:

9 "The MTO does not have the
10 resources to carry out network
11 level friction testing."

12 The next paragraph, and,
13 Commissioner, you'll see the reference to MinO.
14 Just so that you -- it's not minnow; it's Min
15 and O.

16 And AMC, is that, Ms. Lane,
17 the area maintenance contracts that you were
18 talking about? Is that what AMC --

19 A. Yes. I mean, AMC is our
20 area maintenance contract. I don't know if
21 that's -- frankly, I don't know if that's a typo.
22 Like, I don't know. But AMC -- because AMC
23 doesn't have a friction number in it that I'm
24 aware of. The AMC, area maintenance contractors,
25 are -- have performance measures in there like,

1 you shall, you know, plow this much snow; the snow
2 has to be off the highway within this many hours,
3 et cetera. Like it does have performance
4 measures, but it's not about the pavement itself.

5 Q. I see.

6 A. It's about bare pavement
7 during a winter storm, for example.

8 Q. Okay. But I thought you
9 were -- and this would be a -- you were talking
10 about it being a similar thing, the area term
11 contracts being a similar thing to that but with
12 respect to friction testing.

13 A. Yeah, I think that should
14 have been area term contract.

15 Q. Okay.

16 A. Although we don't -- I
17 don't know what it was, honestly. It could be
18 either a typo or -- I don't really know what's in
19 the AMC contracts. Like I said, I'm compiling.
20 I'm compiling from the QA and geotech people as
21 well, so --

22 Q. Okay.

23 A. -- not my expertise, the
24 AMC contracts.

25 Q. And then the next two

1 bullets about the MinO contracts, including a
2 "three-year warranty" and:

3 "The use of failure criteria
4 in short-term contracts does
5 not guarantee that acceptable
6 frictional performance will be
7 maintained over the life."

8 Is that -- that's what you
9 were talking about, is like, so what happens once
10 the warranty, once the oversight period is over
11 and what do you do at that point? Is that what
12 those are addressing?

13 A. Yeah. I mean, basically
14 the contract said if you hit this -- below this
15 number, you're going to remove and replace the
16 pavement which could be perfectly fine. But over
17 three years, who knows if that 30 is still going
18 to be good. Like, is the 30 -- you know, what is
19 going to happen beyond that; you don't know
20 because you don't even know the material, the
21 material type, what is going to happen to it,
22 right.

23 So the shorter-term warranty
24 is even more concerning because three years'
25 performance on a material you don't even know

1 about and could be the cheapest locally available
2 material. Could be intentionally designed, and
3 I'm, you know, playing devil's advocate here, but
4 the contractors are very knowledgeable about
5 building roads. They have been given something
6 that says, you know, you have to hit this by year
7 3. They could be looking at their very
8 cost-effective inferior products and saying, which
9 one of these products can we use to get three
10 years out of it, right.

11 So like, why would they give
12 you the best, highest quality material for a
13 three-year warranty. They just wouldn't, or they
14 would be crazy to. So it wouldn't be a good
15 business model.

16 Q. They are in the money
17 making business?

18 A. Yes. Exactly.

19 Q. And then in the fifth
20 bullet reference to:

21 "Is FN greater than 30 an
22 appropriate performance
23 measurement?" (As read)

24 Was there debate about what
25 number to use in these contracts?

1 A. Yes. So there was
2 extensive debate about that, so -- on two sides.
3 But anyway, the extensive debate was based on
4 the -- two things: One, the short term of the
5 warrant, and two, fact that we wouldn't be
6 continuing to monitor these pavements outside of
7 the pavement warranty life; that we might possibly
8 not even be measuring them during warranty life,
9 and frankly, that is something that happened. And
10 that was what I had been concerned about.

11 So, for example, we're going
12 to do these Min0 contracts. They're really great.
13 They're quick and easy to award. You can just
14 imagine. You're going out with a contract that
15 says, give me a new surface on this highway from X
16 to Y; there's the contract, go. And then you go
17 back to the region and you say, okay, have you
18 guys done any friction testing or performance
19 measurement on this. And, you know, in some cases
20 people couldn't even remember where the contracts
21 were.

22 And if you go out -- if you
23 think we have five different regions and this is a
24 very quick and easy tool to put out a paving
25 contract, and then next thing you know, like, is

1 anybody measuring them; does anybody know where
2 they are. Like -- so that's exactly what
3 happened. And in the first year you might have 10
4 of them, and in the second year you might have 30
5 of them, and then after that, like, how are you
6 supposed to stay on top of all these different
7 contracts.

8 This year in central, in my
9 region, we put out 108 construction contracts,
10 right, so that's a lot of contracts in one year.
11 Are you supposed to go out and measure all these
12 things on all these contracts, or would it be
13 better to just build them properly in the first
14 place with the right oversight?

15 Q. Okay. Right. And I take
16 it your view was the latter? But --

17 A. Yeah.

18 Q. Clearly. But how does
19 the friction number debate come into that? Are
20 you suggesting that you would do a higher one
21 because of the lack of -- as a practical matter
22 that because of the lack of oversight and the fact
23 that warranties expire after a period of time,
24 that there was discussion over having a higher
25 number used, friction number used to account for

1 potentially lack of oversight and the reduction
2 in -- over time of friction on the highway? Is
3 that what you're getting at?

4 A. Yeah. I mean, I gave the
5 example of the pavement on the 401 that we had
6 paved in the summer and by the fall had already
7 become extremely slippery, so different aggregate.
8 Knowing that they could use any aggregate, you
9 could get an aggregate that polishes, you know,
10 quickly, or for whatever reason, it's not a good
11 quality aggregate. And therefore, you need to set
12 the bar much higher if you don't know what you're
13 going to get and you don't know how it's going to
14 perform and you may or may not be measuring it
15 beyond year 3 and year 7, then you can't set the
16 bar at 30 and say, that's acceptable; that's
17 satisfactory, which it is, because you're going to
18 lose sight of where all these contracts are over
19 the course of the years.

20 Q. Okay. And then there's
21 references to the:

22 "Friction testing doesn't
23 evaluate aggregate quality."

24 (As read)

25 A. Right.

1 Q. What does? Are those the
2 other tests that are then referred to after that?
3 Two bullets after that you talk about the other
4 testing that's used?

5 A. Yeah. So if you see that
6 bullet that says:

7 "Aggregate quality is assessed
8 through laboratory tests."

9 So the Micro-Deval abrasion,
10 freeze-thaw durability. We also said, you know,
11 aggregate abrasion value. Right. So there's --
12 all of those tests would be gone in this model
13 where the only thing you would do is come and
14 friction test the surface.

15 Q. Right. Okay. And then,
16 you know, as you've already said:

17 "Aggregates with acceptable
18 friction in the short term are
19 not necessarily durable over
20 time."

21 And is that talking about
22 the -- maintaining the frictional qualities? Is
23 that what that is referring to specifically or
24 anything else?

25 A. No. I think they were

1 trying to use the friction number as a substitute
2 for -- because we wouldn't be sampling and testing
3 this material, so the idea was use the friction
4 number for quality of the aggregate. And yet
5 there's so many other things about the aggregate
6 that -- like I mentioned, you could have a very
7 high quartz rich -- everybody can think of quartz
8 like -- and how sort of glassy that material is.
9 And then how do you stick asphalt cement well to
10 that, et cetera, et cetera.

11 So there's all kinds of other
12 durability issues where it might have good
13 friction performance over a couple of years, but
14 it may be all in the ditch by year 8, right.

15 Q. Right. And that's the --
16 and sorry, you mean the asphalt would be in the
17 ditch because it's --

18 A. Yeah, exactly. The
19 aggregate, you know, it can just ravel out the
20 surface, and then it's all in the ditch.

21 Q. Okay. And I guess that's
22 the second last bullet that -- no, sorry, the last
23 bullet, requiring an FN of 30 or more "will not
24 ensure quality, longevity or value." That's the
25 overall point that you're referring to?

1 A. Yes.

2 Q. Okay. And if we could go
3 to -- take that down, and go to -- actually, still
4 there. 172 and 173, please.

5 And this is continuing on with
6 the same presentation. And there's some options
7 that are given there, and I think these are
8 paraphrased from there, but there's a -- the
9 options are, you know, you could:

10 "Remove FN performance measure
11 and propose an alternative
12 performance measure."

13 Do you know what that's
14 talking about?

15 A. (Witness reviews
16 document). So propose an alternative performance
17 measure. Yeah, I actually don't know. I can't
18 think what that would be. But I mean, what we
19 were really lobbying for is to do the upfront
20 testing, sampling and testing of the material,
21 right.

22 Q. Right.

23 A. So --

24 Q. And then the second --
25 sorry, I interrupted you. Go ahead.

1 A. No. I was going to say,
2 that's what the second one is. Like, give the
3 contractors an option to use the DSM material. So
4 if they are using the DSM material, again, and
5 we're sure and, you know, monitoring and checking
6 that they are, then we could drop this requirement
7 because we're confident in our DSM list.

8 Q. So maintaining two tracks
9 would be....

10 A. Yeah, like an option.
11 Yeah.

12 Q. Okay.

13 A. This was all about --
14 that was all about contractor risk because the
15 contractors were saying, I don't know how to bid
16 this contract because I don't have enough
17 information about how difficult aggregates perform
18 in terms of friction. So the outcome
19 theoretically would be that the cost of these
20 contracts would be much higher if the contractors
21 were bidding in risk.

22 So they would bid in -- so if
23 they knew that if they dropped below a friction
24 number of 30, for example, over three years that
25 they would have to remove and replace that surface

1 course, they would bid the cost of removing and
2 replacing the surface course into their bid. They
3 would add it to their bid, so it would be
4 increasing bid prices.

5 Q. Right. And is that in
6 part because contractors didn't have experience in
7 monitoring friction and because that was managed
8 technically through the -- with the MTO through
9 the DSM?

10 A. Yes, exactly.

11 Q. At least in part. Okay.

12 And then the third one,
13 instead of a -- at the top of the next page:

14 "Instead of a failure
15 criteria, monitor net change
16 annually, based on a
17 percentage reduction in
18 friction; implement a
19 monitoring program to ensure
20 performance requirements are
21 being met." (As read)

22 This is about instead of
23 having one number, see if it's -- see if the
24 friction number is decreasing by some set amount;
25 is that right?

1 A. Yeah. So if there was a
2 real high rate of change with the friction, then
3 that would trigger some kind of response, so
4 showing that it was very quickly changing versus a
5 gradual change over time.

6 Q. Okay. And then the last
7 one:

8 "If FN is maintained as a
9 performance measure, implement
10 a monitoring program."

11 So that's about what -- the
12 MTO monitoring after even the warranty period is
13 up or....

14 A. Yeah, and it didn't have
15 to be MTO. I mean, we would have -- you know, we
16 could have easily also implemented consultant
17 monitoring of the program. But again, it's a lot
18 of back-end work when you could have done upfront
19 work.

20 Q. If we could go to
21 images 181 and 182.

22 And this is about a meeting
23 in -- on December 16, 2013 with OHMPA and ORBA --
24 sorry, ORBA, Ontario Road Builders Association,
25 about friction data collected from 110 sections

1 across the province from 400-series highways and
2 two-lane highways with various mix designs. And
3 you're not indicated as having been attended at
4 this meeting, but there's just a few things I want
5 to ask you about it. And Stephen Lee was there,
6 and we'll ask him some questions about it. But --
7 so at this point in the end of 2013, by then you
8 were the manager of MERO, right?

9 A. Yes.

10 Q. Okay. And Mr. Lee, then,
11 was reporting to you from your prior position as
12 head of pavements and foundations; is that right?

13 A. Correct.

14 Q. Okay. And it was in
15 2013, we briefly talked about that before, that
16 the MTO did network testing, right?

17 A. Yep.

18 Q. Okay. And is that what's
19 being referred to here? Is this the data from
20 that network testing, 110 sections?

21 A. I guess so.

22 Q. Okay. Not sure, though.
23 Okay. So do you recall when -- what prompted the
24 network testing to be done that year? Is that
25 something you have insight into?

1 A. So to be clear, I was
2 in -- I was downtown at systems analysis and
3 forecasting office, so I didn't come back to MERO
4 until the spring --

5 Q. Right.

6 A. -- of 2013. So I had
7 been away. So I wasn't really plugged into what
8 was going on with these performance contracts.
9 And as the head of pavements and foundations,
10 Stephen Lee would have been the one that was
11 liaising with the committees and all of those
12 things. I had very little involvement in this --

13 Q. Okay.

14 A. -- after that point.

15 Q. And that was going -- by
16 the time you came -- you were back at -- well --

17 A. Yeah.

18 Q. -- not in the same
19 position, but you were the manager of MERO, the
20 network testing, it was already ongoing at that
21 point in time as well?

22 A. Well, they would have
23 made the decisions to do it, done the planning for
24 it, all of those things, while I was not working
25 in MERO.

1 Q. All right.

2 A. So I don't even know that
3 I was really aware about it, honestly, because I
4 came back to -- I came back to a massive section
5 with all kinds of other issues going on. So yeah,
6 I mean, obviously I wasn't micromanaging my
7 wonderful experts in the different areas.

8 Q. And this was just one
9 issue among many that were being managed at the
10 level below. It's really just the third paragraph
11 I want to ask you about in that that starts "MTO
12 has" -- yeah, that's it. Thank you.

13 And whether you know this type
14 of discussion was ongoing about:

15 "MTO has not determined if we
16 would be going with one
17 friction number for all
18 highways or friction numbers
19 for different classifications
20 or highway types, i.e., one
21 friction number for 400-series
22 highways and one for two-lane
23 highways. MTO will wait until
24 the analysis is complete
25 before the details are worked

1 out."

2 Was that something that you
3 were familiar with being under discussion about
4 different -- using different numbers and different
5 types of highways?

6 A. No.

7 Q. No. Okay.

8 A. I was aware of talking
9 about different numbers for different projects
10 maybe, types of projects -- oh, maybe that's what
11 it -- well, I don't know if they had -- okay. I
12 was aware of them talking about different types of
13 projects with different numbers, but I hadn't seen
14 it as 400-series versus two-lane highways.

15 Q. Okay.

16 A. So that wasn't part of
17 when I was working there.

18 Q. Okay. Sorry, by
19 "different projects," you mean what?

20 A. The different kinds of
21 Min0 projects.

22 Q. Yeah.

23 A. There was a whole bunch
24 of Min0 projects. There was -- you know,
25 honestly, it was from the late -- like 2010, '11.

1 They were just talking about could we have type A
2 MinO and type B MinO and type C MinO, and I think
3 that was to do with traffic volumes. So it's kind
4 of a similar concept except 400 series versus two
5 lane is a highway classification and what -- the
6 work that I had seen was about traffic volumes.
7 Do we have this kind of criteria for very low
8 volume roads, this one for moderate volume roads,
9 and this one for high volume roads.

10 Q. Okay.

11 A. And that's the work I had
12 seen.

13 Q. So sort of a demand
14 category, if I can put it that way, with the
15 higher number, if I catch what you're saying
16 correctly, for the higher volume roads; is that
17 right?

18 A. Yes.

19 Q. Okay.

20 A. Because it's about speed,
21 right.

22 Q. Right. Well, and as you
23 said at the outset, though, that the -- MTO's
24 approach to FN30 is to apply FN30 at whatever the
25 posted speed is.

1 A. Yes.

2 Q. And you referred to that
3 as being, I think you said, conservative for -- if
4 I understood you correctly, for the 400 series
5 that are being tested at a hundred. Is that --

6 A. Yeah.

7 Q. Did I understand you
8 correctly in that respect?

9 A. No. What I meant was if
10 you were testing at a lower speed using a
11 different method -- like, the ASTM method tests at
12 a lower speed, so if you were to get a 30 at a
13 lower speed versus a 30 at a higher speed, it's
14 just there's -- it's more conservative to use the
15 30 at the higher speed.

16 Q. Right. I know I -- I
17 think I put it badly, but I understand now. But
18 here when you say, well, it's all -- it's about
19 speed, is it the same sort of thought process,
20 though, that you're talking about which is that
21 testing -- is it about testing at a higher speed
22 or is this actually about the absolute -- the
23 absolute value that would go into the contracts?

24 A. No, no. I was thinking
25 about the driver performance on a 400-series

1 highway where, you know, the highways are designed
2 at 120 kilometres an hour; traffic is flowing much
3 faster than that, I'm going to go with. So it's
4 the -- you know, really that's why I was thinking
5 on a 400-series highway they would be more
6 concerned about the speed of travel.

7 Q. And then after all of
8 this over quite a long period of time, am I
9 correct that the MTO then determined to continue
10 using the DSM as it had for many years as you've
11 described it, rather than moving generally and
12 permanently to performance standards in contracts
13 where the friction number would be specified?

14 A. Yes, so we still have
15 some performance contracts, so I wouldn't say --
16 we did not eliminate performance contracts. So we
17 still have some. But the idea was basically to
18 move fully to performance contract models on every
19 one of our projects, and so that decision was made
20 not to do that.

21 Q. Right. Okay. And you
22 can take that down, please, Registrar.

23 And so new ones continue to
24 be -- some new ones, performance contracts,
25 continue to be issued in respect of friction; is

1 that right?

2 A. Okay, so with respect to
3 friction. I think we've eliminated the friction
4 number from our performance contracts.

5 Q. Okay.

6 A. So -- but we still have
7 some performance contracts.

8 Q. Right. On other issues.

9 A. Yeah, with using other
10 measures of determining quality.

11 Q. Okay. And if we tie that
12 off, go to image 188.

13 In paragraph 453, this is just
14 a meeting agenda for a meeting with the ORBA on
15 May 13, 2015. And you were e-mailed this agenda,
16 but it refers to a meeting that Mr. Lee apparently
17 attended dealing with the performance
18 specification and skid numbers, and it's referring
19 back to a meeting on May 1st, 2015. It says:

20 "MTO developed friction number
21 to use in performance
22 specifications. Decision is
23 to revert back to the DSM
24 list. Item closed."

25 Is that around and about the

1 time when the decision was made to stop including
2 friction numbers in performance contracts?

3 A. I think so, yes.

4 Q. Okay. Okay. You can
5 take that down, Registrar. Thank you.

6 So now -- we moved forward in
7 time -- to go back in time, again, to some fairly
8 basic things. I just want to talk about the MTO
9 surface course directive and what that is.

10 And if we could pull up, just
11 for a little bit of assistance, overview
12 document 4 still, image 7. And it's paragraph 11
13 at the top which is referring to a specific one in
14 2003, but if you could just describe the surface
15 course directive and what that's about.

16 A. Okay. The surface course
17 directive, mostly it's based on traffic volumes.
18 So it tells you what surface you need to put on a
19 particular highway based on the traffic volume.
20 So if you have a low volume road, and this was
21 2003; I'm guessing it was like HL mixes. So you
22 could, you know, use an HL4, I'm not sure, and
23 then it would step up. So as your traffic volumes
24 got higher, you would start using better quality
25 materials. And then when you got into your next

1 highest category, it would be, you know, both your
2 coarse and your fine aggregate would have to come
3 from a DSM source. And it would tell you what
4 your surface course had to be. So I honestly
5 can't remember if this was Superpave years or not.
6 But, you know, we had Superpave 12, and then we
7 have Superpave 12.5 -- sorry, 12.5FC1, Superpave
8 12.5FC2, and then the highest category of
9 freeways, we have SMA. Oh, yeah, so 3 million,
10 that means equivalent single axles. More than
11 3 million equivalent single axles in the design
12 lane would be the trigger for going to SMA
13 pavement. So most of our really high volume
14 freeways at MTO, like the 401 corridor, you know,
15 within the high volume areas, would be an SMA
16 surface.

17 Q. Okay.

18 A. If that makes sense.

19 Q. And maybe it would help
20 you just to narrow it down, if we go right to
21 the -- because you raised the question about
22 whether you were in Superpave or not. If we could
23 just go to MTO 53 which is that -- it's the
24 surface course directive referred to in that
25 paragraph. There we are. If you could show

1 images 1 and 2, please.

2 A. Oh, you see that there at
3 the bottom there under "background." Oh, sorry.
4 Oh, yeah. Okay.

5 Q. Expand background for us,
6 please, on that. Yeah, I thought that is what you
7 were referring to.

8 A. Yeah, so that's talking
9 about our old mix types HL3 and HL4. So it's
10 saying if you've got a low volume road, HL3, HL4
11 would be used. If you get into sort of a higher
12 volume road, now you're getting into an HL1. An
13 HL1 would have a good quality coarse aggregate.
14 And then if you get into the highest volume, a
15 dense friction coarse would be used like a DFC.

16 So it's saying, we no longer
17 allow you to use an HL3 on freeways and King's
18 highways, and it was because you didn't have any
19 high quality aggregates.

20 So then -- so this says
21 Superpave mixes and stone asphalt -- mastic
22 asphalt have only recently been introduced. So I
23 think this is the version where we now introduce
24 the Superpave mixes, and it's the same concept.
25 Like a Superpave 12.5 and that -- for the lower

1 volume roads, and a Superpave 12.5FC1 for the sort
2 of moderate volume roads, Superpave 12.5FC2 for
3 the higher volume roads up to a -- like, I think a
4 million ESALS, so between 1 million ESALS and
5 3 million ESALS. And then as soon as you hit the
6 3 million ESALS it triggers the stone mastic
7 asphalt.

8 Q. Okay. And that was a
9 great memory test because if we pull that down,
10 and on image 2 there's a table. I think that's
11 what you were --

12 A. Oh, yeah.

13 Q. -- doing from -- what you
14 were doing from memory. And I think what you
15 described was -- this is what you were talking
16 about?

17 A. Yeah, exactly. Exactly.

18 Q. Okay. All right.

19 A. So AADT is annual average
20 daily traffic. So this is entirely based on
21 traffic volumes, right. So it steps up to the
22 better quality mixes as you get more and more
23 traffic.

24 Q. Right. As distinct from
25 ESALS over --

1 A. Yeah, the -- well, so the
2 ESALS now -- so instead of just the -- annual
3 average daily traffic refers to vehicles like cars
4 and -- and once you get into the ESALS, now you're
5 seriously including the truck traffic and the
6 types of trucks --

7 Q. Right. You're taking --

8 A. -- that are on the road.

9 Q. Right. Because they have
10 a greater effect on durability of the road.

11 A. Exactly.

12 Q. And so you're taking into
13 account something more than just the vehicles
14 themselves but the size and weight of those
15 vehicles?

16 A. Exactly.

17 Q. Okay. You can take that
18 down, please. Thank you, Registrar. And if we
19 could go to images 21 and 22.

20 And while they are pulling
21 that up, this wasn't, though -- even though it is
22 in the surface course directive, this wasn't the
23 MTO's first use of SMA, correct?

24 A. That's correct.

25 Q. And what we're pulling

1 up --

2 THE REGISTRAR: Sorry,
3 Counsel, 21, 22 of the overview document?

4 MR. LEWIS: Yeah, sorry.
5 Yeah. And it's paragraph 43 and then the top of
6 44.

7 BY MR. LEWIS:

8 Q. And so this is May 1,
9 2006. Mr. Kazmierowski, who at the time was the
10 manager of MERO, e-mailed a number of people,
11 including you and Mr. Cautillo, Mr. Kai Tam, Chris
12 Rogers, Dennis Billings and you and it's:

13 "10 Years Comparative Friction
14 Testing-SMA Versus Highway 401
15 Contract 96-50 Milton."

16 And attaching some test
17 results, and he refers to the 10 years of friction
18 testing there, indicating that the comparison
19 between SMA and dense friction course, DFC, on
20 that placement, and that:

21 "There appears to be no
22 advantage to the SMA surface
23 over the 10-year period."

24 So is this something that you
25 recall?

1 A. Okay. So two things.
2 First, I wanted to correct you that Tom was the
3 head of pavements and foundations. We just used
4 to call it manager back then. So Mr. Cautillo was
5 the manager of materials, engineering and research
6 office at the time, and I would have been the
7 senior engineer. I'm pointing that out.

8 Q. No, no. You're right,
9 and also I think I said that Mr. Kazmierowski was
10 the head of MERO at the time, but --

11 A. Yes.

12 Q. -- he was with pavements.
13 Yes, I apologize.

14 A. Yes.

15 Q. You're quite right. It's
16 2006.

17 A. So I think -- I don't
18 know if I -- I can't put myself back into that
19 time to know if I recalled or not, but obviously
20 I've read the stuff since. So it seems to me that
21 they are saying the SMA and the DFC have the same
22 friction performance, and so what I would say is
23 that one of the things about SMA -- like, if you
24 see all the promotional materials about SMA, it
25 was like, and it's got enhanced frictional

1 performance.

2 So Tom is saying, I don't see
3 any enhanced frictional performance here. You
4 know, it appears that there's no advantage over
5 the DFC which is our other high mix.

6 So what I would say is that I
7 believe that the frictional performance of the SMA
8 was supposed to be also related to -- of course
9 you've got a high quality aggregate, so compared
10 to other mixes that don't have a high quality
11 aggregate, then of course you'll have better
12 friction performance.

13 So, you know -- so other
14 jurisdictions, for example, that don't have a DFC,
15 the SMA, they could say, wow, this SMA has
16 significantly better frictional performance.
17 Right. So maybe when people were researching,
18 let's try SMA, let's find advantages, they there
19 learned this is an advantage, but what they don't
20 know is actually MTO already has a system in place
21 for pre-qualifying high quality aggregates, and
22 our DFC mixes already have good friction, so
23 actually there's no real advantage from a friction
24 perspective for the SMA.

25 Q. Okay. And I was actually

1 thinking -- was meaning to ask whether you recall
2 the issue of and this project from the 401 rather
3 than testing your memory about the specific
4 e-mail, but your explanation is quite helpful.
5 There's a paper that you wrote on it later on. Do
6 you recall that? Okay.

7 A. Yes.

8 Q. Okay. Great. So if we
9 could go to images 34 and 35.

10 And was this essentially a
11 pilot project or a trial for SMA?

12 A. Yeah. And that's -- you
13 know, that's what I mean about we had a research
14 branch, and they did -- they would bring new
15 technologies or -- and we would study them. And,
16 you know, so this would have been -- I can
17 remember the folks involved with this, I think it
18 was perhaps Joseph Ponniah and Gerhard Kennepohl,
19 were originally from the -- MTO's -- they had
20 their own research office, and so I think that's
21 part of the trial work that we do in MERO. Like,
22 we ended up adopting the research part from them.

23 So any new technologies that
24 came, that, you know, we learned about from
25 conferences or meetings with other jurisdictions

1 or industry brought back from their counterparts
2 internationally or whatever it was, that they
3 would evaluate them through a trial. And so this
4 was a full-scale trial, and that's why we wrote
5 papers on it. I mean, there's no point in doing a
6 trial that nobody knows about.

7 Q. Fair enough. And then at
8 paragraph 71 to 72 there's reference to it. And
9 I'll take you briefly to the paper, but first one
10 at paragraph 71, you're writing -- you're seeking
11 approval to write the paper.

12 And if you could expand at the
13 top of image 35 there, and maybe in the next
14 paragraph as well, 72, yeah.

15 You're indicating that it's
16 been 10 years since it was constructed and the
17 reference to the adjacent DMC pavement, and then
18 in the last paragraph in that e-mail from you is:

19 "I realize we're currently
20 addressing SMA friction
21 issues, and this paper would
22 be sensitive to this issue.
23 The friction on this SMA trial
24 has been monitored annually
25 since 1996, and the average FN

1 is only marginally less than
2 the adjacent DFC. We can
3 remain silent on friction if
4 necessary."

5 And am I correct, there you're
6 talking about the early age SMA friction issue
7 that was current at that time?

8 A. Yes. So the early age
9 friction was current at that time, but we hadn't
10 known about that and didn't have any data for this
11 particular job to report on, right.

12 Q. Okay. And then in the
13 next, paragraph 72 there indicates just as an
14 average, an average FN of 38 for the SMA and 39
15 for the dense friction course over the entire
16 10-year period, and then an average in 2006 of 34
17 for SMA and the dense friction course of 36. So
18 10 years out from the original construction. And,
19 again, this was measured at the posted speed, a
20 hundred on the 401; is that right?

21 A. Yes, yes.

22 Q. Okay. If we could go to
23 the paper itself. Counsel circulated that on
24 Friday. The paper itself is not referenced in the
25 OD, so this is GOL1571. There we are.

1 And this is a scan from a hard
2 copy, and on the cover there it say the CTAA
3 proceedings in 2007; I think it's a November 2007
4 conference. Did you typically go to the CTAA
5 conferences every year or just from year --
6 depended on year to year?

7 A. Well, I would love to say
8 I could have gone, but they, you know, choose one
9 or two people that can go. Right.

10 Q. Right.

11 A. So this was my lucky
12 year, if I went in 2007, and that's also the part
13 about the writing the paper. So if you've written
14 a paper then -- and they are publishing it, then
15 they actually want you to go there and present, so
16 it actually gives you a step up on somebody else
17 that also wants to go to the conference but hasn't
18 written a paper. So the short story is, no, I
19 didn't go every year. I would have loved to go
20 every year, but they don't have the resources for
21 that. So what we did is try to write a paper
22 that's going to be published, and if you can, then
23 you may be lucky enough to get funded to go.

24 Q. Got it. And so there's
25 actually two papers. If you could go to -- it

1 might be the next image. This just shows that
2 it's November 2007 in Niagara Falls. Next image,
3 please. Okay.

4 So this is not the paper that
5 I was going to go to in detail, but there was
6 actually two papers that you were involved with
7 for that. So I assume that that gives you an
8 extra chance of going if you have two papers.

9 A. Well, now that I see it's
10 in Niagara Falls, I love Niagara Falls, but you
11 know --

12 Q. Okay.

13 A. It's much easier to get
14 permission to travel to something local.

15 MR. LEWIS: I see Ms. Roberts
16 has popped up. Yes.

17 MS. JENNIFER ROBERTS: Yeah, I
18 think it's image 13 is the paper you're looking
19 for.

20 MR. LEWIS: Yeah. No, I
21 actually wanted to ask her that there were two
22 papers, but thank you.

23 It might be 12. Image 12.
24 Ms. Roberts was right. Image 13, please. Here we
25 go. Actually the problem was my screen went

1 blank, so I was having a little technical problem.

2 Thank you for bearing with me.

3 BY MR. LEWIS:

4 Q. So this is the paper
5 dealing with the 401, 10-year performance issue
6 that we were just talking about. And --

7 A. Yep.

8 Q. Okay. And so if we could
9 go to -- oh, and you referred to a Mr. Kennepohl.
10 Is that the second author there, Gerhard
11 Kennepohl?

12 A. Yeah, that's why I was
13 smiling. So this would have been his project, but
14 he would have retired now, and so he was now
15 teaching at University of Waterloo. So this would
16 have been kind like a collaborative effort
17 between, like, the guy whose project this was and
18 me that I'm still at the ministry -- well, that I
19 am at the Ministry -- working with him to make
20 sure his project gets published.

21 Q. All right. And if we
22 could go to image 15. And -- we might be one
23 image behind.

24 I guess there's the map that
25 shows where this is on the 401 around Milton.

1 A. Yeah.

2 Q. Okay. If we go to the
3 next image. There we go. Under -- in the
4 right-hand page under "SMA Construction Issues,
5 3.5," could you please expand that.

6 And it refers to a steep
7 learning curve when placing the SMA. I'm just
8 wondering with the way you described it that it
9 was Mr. Kennepohl's project, were you actually
10 there or involved at the time of the construction
11 or is this -- this all is coming from him, I
12 expect, from the way you've described it?

13 A. Yeah, yeah. I was not
14 involved.

15 Q. Okay.

16 A. This was me working with
17 him to write up the story about the project.

18 Q. Okay. Nonetheless, it's
19 describing that there were -- as a new technology
20 that there were issues in the placement, including
21 some of it had to be removed and replaced, and
22 that there were issues in production as well
23 because of the volume of fibres and so forth.

24 And there's also a reference
25 to compaction -- yeah, in the second paragraph, in

1 the last sentence:

2 "Construction records also
3 show that the contractor was
4 not achieving the targeted 94
5 percent compaction, typically
6 achieving 90 to 92 percent."

7 (As read)

8 But, again, we just clarified,
9 that's all coming from -- that's Mr. Kennepohl,
10 and you're just involved with him in the paper
11 overall as opposed to that specific information;
12 is that right?

13 A. Yeah, yeah. Exactly. So
14 reading up in the contract records and talking
15 with him about the project. I wasn't there.

16 Q. Okay.

17 A. But this is typical of a
18 new product trial, right. So --

19 Q. Right.

20 A. -- yeah. Things go wrong
21 when you do something for the first time I guess.

22 Q. Right. And the problem
23 with the -- where it was removed and replaced was
24 because of asphalt cement content was flushing.
25 That's the issue you described earlier?

1 A. Yes, exactly. So the SMA
2 mix has very high asphalt cement content, which is
3 why it's so durable, and it has these fibres that
4 are supposed to be -- to stop that -- to sort of
5 hold the asphalt cement in the aggregate matrix,
6 but if it's not done properly, and it seems like
7 there was a problem with the fibres and da, da,
8 then you could end up with the asphalt cement and
9 the aggregate but not necessarily the fibres that
10 are giving them -- giving that higher asphalt
11 cement content the stability to stay in the mix.
12 And "it's flushing" means it's pumping the asphalt
13 cement to the surface and causing flushing, which
14 is like black, shiny, oily surface, so.... I
15 could see that happening.

16 Q. Okay. And then if we
17 could go to -- I think I'm one page off in my
18 notes -- image 19 I believe. No, let's try 18.
19 Yeah.

20 And so friction is described
21 in the bottom left of the image, and then there's
22 a table in the top right there.

23 And, again, maybe if you could
24 expand the bottom of the left-hand image first, or
25 maybe expand that and -- okay. We'll leave it at

1 that.

2 And were you -- this is,
3 again, from review of records. Did you have
4 involvement at any point in time in directing the
5 friction testing or no?

6 A. So I wouldn't have been
7 directing the friction testing, but I would have
8 been in the section after 2000. So this was paved
9 in '96, so from 2000 to 2006 I would have been in
10 the section, and Frank would have been in the
11 section, Tom Kazmierowski was the head of the
12 section, and we would have been doing this
13 performance monitoring.

14 Q. And as you indicated
15 before, carried out at a test speed of a hundred
16 kilometres per hour, and indicating that they used
17 the same or more a trap rock coarse and fine
18 aggregate, and the friction results are very
19 similar, and that they are in the acceptable
20 range.

21 And if we could go on to the
22 figure at the top of the right-hand side. Yeah.
23 If you could expand that, that would be great.
24 Thank you.

25 And it's a little hard to tell

1 without colour or anything. It's the one that
2 starts off "in 1996." That line is the SMA; is
3 that right?

4 A. Yes.

5 Q. And then the dense
6 friction course starts in 1997, and they both go
7 through to 2007?

8 A. Yes.

9 Q. Okay. And if I'm reading
10 correctly on the SMA, it seems to show there is
11 a -- that there isn't a low early age friction
12 issue in this instance by the looks of it?

13 A. We don't know when the
14 friction testing was carried out, though, right.
15 So --

16 Q. You don't know if it
17 was -- right. Right. So it could have been
18 immediately after or not? It could have been done
19 after it was open to traffic at some point?

20 A. Yeah. No idea.

21 Q. Okay. And then it drops
22 for a couple of years and then seems to level off.
23 Is that a fair read of it?

24 A. Agree, yes.

25 MR. LEWIS: Okay. I was going

1 to move on, Commissioner, to the SMA early age
2 friction issue. We're approaching the lunch
3 break, though, but I'm reminded before I suggest a
4 break, if we could make an exhibit of Golder
5 GOL1571, which was the CTAA paper that we have in
6 front of us.

7 JUSTICE WILTON-SIEGEL: Okay.

8 MR. LEWIS: Exhibit 43, I
9 believe.

10 JUSTICE WILTON-SIEGEL:

11 Mr. Registrar.

12 THE REGISTRAR: Exhibit 43.

13 EXHIBIT NO. 43: Canadian
14 Technical Asphalt Association Proceedings 2007
15 paper, GOL1571.

16 MR. LEWIS: And it's 5 to
17 1:00, Commissioner. Would this be a good time
18 for --

19 JUSTICE WILTON-SIEGEL: Sure.

20 Let's return then at 10 past 2:00.

21 MR. LEWIS: Great, and I
22 wonder, Registrar, if we could just have the all
23 counsel breakout room just for a couple of
24 minutes, counsel available.

25 JUSTICE WILTON-SIEGEL: So

1 we'll stand adjourned till 10 past 2:00.

2 --- Recess taken at 12:55 p.m.

3 --- Upon resuming at 2:10 p.m.

4 MR. LEWIS: Good afternoon.

5 May I proceed, Commissioner?

6 JUSTICE WILTON-SIEGEL: Please

7 do.

8 BY MR. LEWIS:

9 Q. Ms. Lane, so I would like
10 to move on to a different topic now. And I'm
11 going to be asking more detailed questions for --
12 anticipate to Mr. Chris Raymond about the SMA task
13 group and the early age low friction issue for
14 SMA, but I would like to briefly talk about it
15 with you and sort of the start and middle and end
16 of it perhaps.

17 And could you just describe
18 what the SMA early age friction issue was?

19 A. Yes. So there's a
20 history of it. So we were at a -- I guess there
21 was a conference in 2004. MTO attended. And at
22 the conference there was a learning moment where
23 we found out that there was an early age friction
24 problem on SMA, and that means -- what that meant
25 was that in the, you know, early weeks, months

1 after SMA is first paved, the asphalt cement
2 coating on the aggregate hasn't worn off yet, and
3 because the stone mastic asphalt mix has very high
4 asphalt cement content, this was a challenge. So
5 instead of vehicles riding on the exposed
6 aggregate surface, they would be riding on the
7 asphalt cement surface, and therefore friction
8 would be lower.

9 So that's what was understood
10 at the conference, but we then checked our data
11 and we didn't have any issues of early age
12 friction that we could find. But of course the
13 friction data that we did have on our SMA
14 pavements wasn't necessarily taken right after it
15 was paved. It could have been taken significantly
16 later, and therefore, we didn't really -- we just
17 looked at the data and said, oh, oh, it seems to
18 be okay; we don't have an early age friction
19 issue.

20 So then fast forward to a bit
21 later, I can't remember the date exactly, but we
22 received some, you know, information that there
23 was a pavement that was very rich looking, and
24 they wanted to know if we would come out and test
25 it right after paving, and we went and tested it,

1 and the numbers were very low, in the teens. And
2 then we -- from that moment on, we went and we had
3 an internal discussion about, wow, this may really
4 be a thing. So that's how we learned about early
5 age friction.

6 Q. Okay. And there was a
7 task group that -- a joint MTO industry task
8 group, and you weren't actually on the task group
9 yourself; is that right?

10 A. I was not on the task
11 group.

12 Q. Okay. And eventually,
13 though, there was a pause that was implemented,
14 and that -- and then a number of things were
15 trialed and tested in order to address the issue.
16 If you could just -- we know the pause was placed
17 in November of 2007, and then this -- then the
18 pause continued for a number of years.

19 Could you just describe sort
20 of the pause, and then the things that the MTO
21 trialed and tested just in a summary way.

22 A. Okay. So it's kind of a
23 long story, but it started off with, okay, we've
24 got this early age friction problem; is there any
25 way that we can address it; is there anything in

1 the mix design or in how the mix is compacted or
2 the asphalt cement content itself or the aggregate
3 type itself, like all of the different components
4 of the mix; how can we look at these different
5 components of the mix and try to adjust things so
6 that we get -- we don't have this early age
7 friction problem.

8 So, like, one of them was we
9 could reduce the asphalt cement content so it's
10 less rich. One of them was we could only use
11 certain aggregates that we feel will give us this
12 early age friction, which I'll come back to. The
13 other -- there was also we could blend different
14 aggregates in there, and I'm trying to think --
15 construction looking at mix design and
16 construction practices, which is not my area of
17 expertise.

18 So the short story is one of
19 the options was, let's look at the aggregate types
20 themselves, and we have some very, very premium
21 aggregate. Like the dolomitic sandstone is an
22 example of that where we know that that is
23 performing well.

24 And then we have some other
25 aggregates that aren't performing so well, and an

1 example there would have been the Ontario Trap
2 Rock. And so we have two different sources of
3 material -- one that's giving really good
4 friction; one that is not giving us good friction.

5 So the idea became maybe we
6 could manage this problem by only specifying these
7 dolomitic sandstones, but that didn't seem
8 practical because it's one source in one very
9 eastern part of the province. So could we look at
10 some sources are meeting and could we blend, so
11 all of these things were being discussed.

12 So the short story is --
13 leading up to the pause is what you're asking for,
14 so all of these things were being investigated by
15 this task group to try and get a solution for SMA,
16 and all of these things were coming at a cost to
17 the construction contract.

18 So we had all these
19 construction contracts out there, but as soon as
20 you go to make a change to a contract, it's going
21 to cost you in change-order-type money. So it was
22 expensive to try and make these changes. And the
23 people in the regions that deliver the work were a
24 bit fed up with the product.

25 And so the short story is we

1 had a project in the Woodstock area. This is
2 going to be the straw that broke the camel's back
3 I would say on this issue. And what happened was
4 we had already had some change order, like,
5 let's -- we have a trap rock that is working which
6 is the Marmora trap rock, and we have the trap
7 rock that they bid the job with, the Ontario Trap
8 Rock; it's not working. Maybe we could also try
9 blending with this dolomitic sandstone. So they
10 actually tried, you know, different aggregate,
11 aggregate blending. And at great cost to the
12 contract to make these changes to the contract,
13 they placed it, and it just didn't work. So they
14 went out in testing and hoping we would get some,
15 ta-da, great values, and instead we got values in
16 the low 20s. I believe the average was 23.

17 So after that effort of trying
18 to improve the aggregate, improve the mix, et
19 cetera, paying all kinds of money to do that, and
20 then the numbers came back, and there was no -- no
21 benefit had been realized at all.

22 So that's when it was decided
23 to put a pause on it until we could figure out
24 what the solution was.

25 Q. Okay. And we'll come to

1 that chronologically because it was around the
2 same time as the Red Hill testing that was done in
3 October 2007 -- that the testing you're referring
4 to I think was done, but I'll come to -- I think
5 come to that chronologically.

6 But you described that as
7 being, I think you said, the straw that broke the
8 camel's back, I think is what you said. And
9 that's what -- that was sort of the last thing
10 that led to the pause being implemented; is that
11 correct?

12 A. Yes.

13 Q. Okay. So we'll get to
14 that specifically because there are some documents
15 right around that issue, but I'll take that
16 chronologically.

17 And so leading up to the pause
18 being implemented, do you have a sense of how
19 known, widely known, not widely known within the
20 paving industry the SMA early age friction issue
21 was leading up to the pause? Not after it because
22 that would have to be announced clearly, but....

23 A. My understanding is that
24 the SMA task group was a joint task group between
25 MTO and industry because SMA was a new mix that

1 the industry was very, very supportive of, and
2 really had made all kinds of changes to their
3 plant and things like this to try and implement,
4 so it was really in everybody's best interests to
5 work together and try to solve this issue so that
6 we could continue with SMA. It was a product that
7 the industry really, really wanted to have, and
8 from the Ministry's perspective, we knew that we
9 would get a durable, long-lasting product suitable
10 for use on our high volume freeways, but we wanted
11 to fix this early age friction issue.

12 So I would say that ORBA, the
13 Ontario Road Builders Association, is our one
14 window to the construction industry. They were
15 participating on the task group through -- well,
16 actually through OHMPA --

17 Q. It was OHMPA at the time.

18 A. -- Ontario Hot Mix
19 Producers Association. Now they are together, so
20 I apologize. So through OHMPA, and they are --
21 anybody who produces hot mix is part of the
22 Ontario Hot Mix Association for the most part. So
23 how they disseminate their information to their
24 members is a separate issue.

25 Q. And so I'll take that in

1 part. Am I correct, you don't know how they
2 communicated to their membership internally? Is
3 that right? Or you --

4 A. I don't know. I mean, I
5 assume they have communications, and I know they
6 have an annual meeting and things like that.

7 Q. Right. Okay. And in
8 terms of the task force itself, as you pointed
9 out, it was a joint task group. What about --
10 other than through the task group itself, was
11 there -- was it something that was being
12 communicated outside of the MTO?

13 A. I don't know. I don't
14 know because I wasn't part of that group.

15 Q. Okay. And then just in
16 terms of timing, we know that the -- to jump
17 forward, the pause was lifted in 2014.

18 And just for good order, if we
19 could go, Registrar, to images 154 and 155.
20 Looking at paragraphs 371 through -- 371 and 372,
21 I guess to begin, and then 375 to 376. Yeah,
22 start there.

23 And this is Pamela Marks who
24 is someone that you worked with.

25 A. Yes.

1 Q. And this is in
2 October 2014, Ms. Marks circulating a memorandum
3 and gritting specifications related to the lifting
4 of the SMA pause.

5 And so what was the ultimate
6 solution that led to the lifting of the pause?

7 A. So ironically, the
8 gritting was the idea that first came forward
9 because we learned that in Germany, I believe,
10 they were gritting the SMA pavement. So it was
11 something that was very first proposed right at
12 the beginning. And then we tried all kinds of
13 other things. We tried water blasting, and I
14 don't know, all those other things, adjusting the
15 aggregate and all of those other things. But at
16 the end of the day --

17 Q. I think skid abrading --
18 was skid abrading something that you -- because
19 you had mentioned that before?

20 A. I don't -- I don't know
21 if we actually did skid abrading. I mean, I think
22 there was a concern that because it -- well, I
23 don't know. I can't remember if we actually did
24 it, but certainly skid abrading was one of the
25 proposals. There was all kinds of proposals

1 actually. Even -- there was even a proposal to
2 sort of grit it after -- like just grit it
3 without -- what we landed on which was sort of
4 like an asphalt-coated grit that will stick to the
5 surface, so it's hot-coated grit rather than just
6 spreading sand over it or anything like that. So
7 we tried a number of different things, but at the
8 end of the thing we landed on this hot grit which
9 has an AC -- an asphalt cement coating, and it
10 sticks very well, and that was found to be very
11 effective, so that's what we went with.

12 Q. Okay. And that's applied
13 at the time of the placement of the --

14 A. Yeah.

15 Q. -- asphalt in the first
16 place; yes?

17 A. Yeah.

18 Q. Okay.

19 A. Yeah.

20 Q. Can you take that down,
21 Registrar, please, and pull up 375 and 376.

22 And then this is October 31st.
23 So Mr. Raymond e-mailed the ORBA regarding lifting
24 of the SMA pause, and the surface course directive
25 being revised to reinstate the use of SMA at the

1 end of 2014.

2 And so after the lifting of
3 the pause, did the MTO resume using SMA in the
4 normal course?

5 A. Yes, we did.

6 Q. Okay. And does that
7 continue to be the case?

8 A. Yes, it does.

9 Q. All right. And we looked
10 earlier at the surface course direction and the
11 directive and the number of ESALS and so forth.
12 Is it currently the standard surface course for
13 the highest volume roads?

14 A. Yes, it is.

15 Q. Okay. Thank you. You
16 can take that down, please, Registrar.

17 Okay. So in -- I'd like to
18 now talk about the lead-up to the Red Hill Valley
19 Parkway skid testing which ultimately took place
20 on October 16th, 2007.

21 But if we could start off with
22 going to overview document 4, image 47, please,
23 Registrar.

24 This is an e-mail on
25 August 1st, 2007, that Chris Raymond sent to you,

1 to Kai Tam and Chris Rogers reporting on a call
2 that he had the prior day -- refers to
3 August 31st, but we know it was July 31st --
4 reporting on a call with Ludomir Uzarowski of
5 Golder. And I won't read through the whole
6 e-mail. Have you had a chance to review this
7 e-mail?

8 A. Yes, I have.

9 Q. Okay. And at this point
10 in time why was Mr. Raymond reporting to you?
11 Your position at the time was acting head of
12 pavements; is that right?

13 A. Yes.

14 Q. Okay.

15 A. Yes. And so Ludomir
16 would have known Chris, possibly from his role in
17 bituminous section; I'm not sure. But anyway,
18 Ludomir would have phoned Chris, and then Chris
19 reported this to us.

20 Q. Okay. And are you
21 involved at that point because your position -- is
22 Chris Raymond reporting to you at that point in
23 time in your acting role?

24 A. I mean, I -- possibly,
25 yes.

1 Q. Okay. All right.

2 A. I mean, he often refers
3 to he has two jobs. I think he was in kind of a
4 hybrid where he was reporting to Kai in the
5 bituminous section as well.

6 Q. Okay.

7 A. You'll see some of his
8 stuff where he says, I've got two bosses.

9 Q. Right. And so he's
10 referring to a couple of issues. One is a
11 discussion where Mr. Uzarowski had heard a rumour
12 about the Ministry no longer allows Ontario Trap
13 Rock in SMA, and that he informed Dr. Uzarowski
14 that the Ministry had concerns with the early life
15 friction in some SMA pavements and describes some
16 things that have been going on.

17 And actually that brings me
18 back to something you said earlier. You referred
19 to the two types of rock, and you referred to I
20 think the dolomitic sandstones and Ontario Trap
21 Rock before. And were you specifically referring
22 to the company and quarry Ontario Trap Rock or to
23 the type -- or to trap rock from Ontario?

24 A. Oh, no, no, no. Yeah, to
25 be clear, I was referring to the source. It's

1 called Ontario Trap Rock; it's a particular
2 quarry. So the example that I gave of where we
3 had a rock type that they thought was doing well,
4 that was the Marmora trap rock, so still a trap
5 rock.

6 Q. Right. Okay. Thank you.

7 A. It's a rock type.

8 Q. Yeah. But Ontario Trap
9 Rock, capitalized of the first three letters, is a
10 particular source of trap rock?

11 A. Exactly. Exactly.

12 Q. Okay. And so, again,
13 referring to Ontario Trap Rock having been removed
14 as an acceptable source at that time, and then in
15 the second paragraph about Dr. Uzarowski
16 expressing concern in respect of the aggregate to
17 be used for the SMA on the Red Hill Valley Parkway
18 not being on the DSM, and that he indicated he was
19 going to follow up with Chris Rogers. And then a
20 possible outcome is the City of Hamilton can make
21 a request for friction testing.

22 And do you -- did his e-mail,
23 Mr. Raymond's e-mail, did this cause you any pause
24 or concern at the time that you recall about the
25 issues being raised?

1 A. No.

2 Q. Okay. And are
3 municipalities required by the province to use any
4 particular aggregate in their surface course
5 pavements?

6 A. No, they are not.

7 Q. Okay. And do you recall
8 if you had any discussions with Mr. Raymond or
9 Mr. Rogers about issues raised in his e-mail or in
10 the call that it's reporting on with
11 Dr. Uzarowski?

12 A. I think, to be honest,
13 the reason that I'm cc'd on here or sent this is
14 because the possible outcome is that they could
15 make a request for friction testing.

16 Q. I see.

17 A. So he's giving me the
18 heads up that, you know, if they decide to go
19 ahead with this project, that they could request
20 friction testing.

21 Q. Because in your role as
22 acting head of pavements and foundations, the skid
23 tester came under your purview?

24 A. Correct, yes.

25 Q. Okay. And more generally

1 are -- you said that municipalities or Hamilton --
2 municipalities aren't required to use any
3 particular aggregate in their surface course
4 pavements. Are Ontario municipalities required to
5 follow MTO specific -- specifications for
6 municipal road building projects more generally?

7 A. No, they are not. There
8 are municipal standards that municipalities can
9 adopt. Municipalities can also develop their own
10 municipal specs. So the City of Hamilton could
11 have their own SMA spec, their own aggregate spec,
12 et cetera. So no, they are not required to use
13 MTO standards, and similarly I don't think they
14 are required to use the municipal standards. I
15 know many municipalities that just have their own
16 specs and standards.

17 Q. Right. Okay. And then
18 there's the Ontario Provincial Standard
19 Specification, the OPSS, which municipalities can
20 use them or modify them with special provisions;
21 is that right?

22 A. That's true. The
23 Ontario -- and there's two types. There's Ontario
24 Provincial Standards and Specifications, OPS Prov,
25 which stands for provincial spec that would be

1 used by MTO, and there's a similar one that's the
2 OPSS Muni which is for municipalities, and, again,
3 even then I think it's up to the municipality. If
4 they plan to use a provincial spec, they can. If
5 they want to use a municipal spec, they can, or if
6 they want to make their own spec up as well, they
7 can as well.

8 Q. And if we could go to,
9 Registrar, to overview document 4, images 5 and 6,
10 just so -- make sure we're talking about the same
11 things.

12 In paragraph 7, in the image
13 on the left there, I think is what you were just
14 referring to that there's the provincial-oriented
15 specifications developed by the MTO, but OPS
16 specialty committees update and revise some of
17 them for municipal use. Is that what you were
18 talking about there?

19 A. Yeah, so there's a
20 committee, a specialty committee, and it would
21 have members from the municipality and the
22 province to help draft a municipal spec, and often
23 industry also sits on those municipal spec writing
24 committees as well. So it's a committee to write
25 a spec. I mean, the idea is obviously for

1 everybody's benefit, including the contractor's.
2 If everybody uses the same spec it's much more
3 helpful to them, easier to bid the work, easier to
4 perform the work, and so that's why they develop
5 these specs. But it's not mandatory for them to
6 use the provincial spec which is MTO spec.

7 Q. And then if we could go
8 to overview document 4, image 52, please. Just
9 give me one second, please. So in paragraph 116
10 through -- actually if we could bring up the next
11 image as well. It's 116 through 122.

12 There's a number of e-mails in
13 late September, September 27th and 28th, 2007,
14 about conducting friction testing on the Red Hill.
15 And do you recall how the request came about? We
16 already looked at the conversation Mr. Raymond had
17 back in -- at the beginning of August. Do you
18 recall how the actual request came about?

19 A. Do I recall it? Umm,
20 that's a good question. So I mean, I think the
21 short story is that Chris Raymond was asking about
22 could we go out and do the testing. Then there
23 was a bit of back and forth where I was saying,
24 well, is the City of Hamilton okay with us doing
25 this testing, and Chris was saying, yes, they are

1 okay with it, but they are not willing to put that
2 in writing. But at the end of the day we agreed
3 to do the testing.

4 So, you know, one of the
5 complications here is -- that can be confusing is
6 that because this was for Hamilton and Golder,
7 like, this was their big project, you know, they
8 wanted to have some kind of partnership with
9 CPATT, the Centre for Pavement and Transportation
10 Technology, where they would have some kind of
11 research work there, so monitoring and sensing
12 in the road and then tracking how the pavement is
13 performing.

14 And so the back story is that
15 they had come to us and said, would the ministry
16 be interested in participating in this monitoring
17 program because this was a -- oh, boy -- this was
18 a new technology, so not just the SMA, but also
19 the fact that it was a perpetual pavement. So we
20 had been given their plan for how they were going
21 to invent sensors in the road, and we'd been asked
22 to look at it. And we had been asked to help fund
23 it, and we had offered up a minimal amount, you
24 know, sort of \$10,000 to say, sure, you know,
25 let's give them some money, a little bit of money

1 in the big scheme of things. So here there's a
2 discussion around that as well.

3 You know, the short story is
4 that although we reviewed their instrumentation
5 plan, and we were willing to give them \$10,000, we
6 never -- we cannot find any evidence that we did
7 ever do that. I don't believe that we did. I
8 don't think that ever happened. And so this was
9 sort of like, so let's go -- we could do this
10 friction testing for them. You know, maybe that
11 would -- I don't know -- maybe that would go a way
12 to help them with their monitoring or, you know,
13 research work around this project.

14 Q. Okay. So a number of
15 things in there. You're referring to there's a
16 reference in one of these e-mails between you and
17 Mr. Kazmierowski and Mr. Raymond where -- and this
18 is in paragraph 118 where you forward
19 Mr. Raymond's e-mail to Mr. Kazmierowski, and say:

20 "Hi Tom, I seem to remember we
21 offered to do some monitoring
22 of the Red Hill Creek
23 expressway perpetual pavement.
24 Did that not include friction
25 testing?"

1 And Mr. Kazmierowski in
2 paragraph 19 responds saying:

3 "Yes, but we should have
4 Ludomir instruct the City to
5 either request the testing or
6 at least approve Ludomir's
7 request for testing and give
8 permission for us to test on
9 their facility."

10 So you're referring back in
11 your e-mail to this earlier offer. That's what
12 you were just talking about; is that right?

13 A. Yes, yes. Earlier, like
14 a month before this or something like that, we had
15 been -- you know, reviewed their instrumentation
16 plan, had said we could fund them \$10,000, ta-da,
17 ta-da, which I guess never happened. And there
18 had been some discussion about could we run the
19 ARAN over it; could we do some friction testing.
20 And so even though we didn't have an official
21 request in writing from the City, there had been
22 these requests to, you know, somehow participate
23 in their research.

24 Q. Right. And that's in
25 122, you say on the right -- and I'll come back to

1 the first part in a sec, but you say:

2 "Anyway we had agreed earlier
3 this year to provide testing
4 (rather than money for
5 instrumentation, which was
6 their original request).

7 Please coordinate with Frank."

8 That's again --

9 A. Yeah.

10 Q. -- what you're referring
11 to there?

12 A. Yeah.

13 Q. Okay. So there is an
14 e-mail back -- referring to this in the number of
15 e-mails back in May of 2007, so, what, four months
16 earlier I guess.

17 And if we could go to -- in a
18 moment to that, Registrar, image 41, in overview
19 document 4.

20 And in paragraph 86 you'll see
21 there's a reference there. These aren't e-mails
22 that you are included in, but it's indicating, as
23 you described, about perpetual pavement design and
24 instrumenting and monitoring pavement performance
25 and the financial participation potentially by the

1 province. And potentially -- and there's a
2 contribution, OHMPA contributing \$10,000.

3 And then Mr. Kazmierowski
4 writes at the bottom there:

5 "I've already offered to do
6 skid testing on the SMA
7 surface of the Red Hill Creek
8 Perpetual Pavement." (As
9 read)

10 You've described what happened
11 in the past. Were you actually involved in those
12 discussions back earlier in the year, or are these
13 things that you were informed about?

14 A. The instrumentation plan
15 was submitted to me for review, and so this whole
16 thing about the instrumentation plan, it came to
17 me as -- at the time as the senior pavement
18 engineer, not as the head. And it came to me for
19 review, and I made comments on it, and they said
20 that's where the \$10,000 came from. Like, only we
21 could pay 10,000 towards their instrumentation
22 plan of the parkway, and then the idea being that,
23 you know, we could all learn from this. We would
24 receive the data from the instruments, et cetera,
25 et cetera. So that was the idea behind it.

1 Q. Right.

2 A. And so Mr. Kazmierowski
3 is my boss, so he would have submitted that to me,
4 and then, you know, I guess he must've said to
5 me -- because typically when we have like a
6 research project, so I give -- this morning we
7 talked about the SMA on the 401, so typically when
8 we have a research project like that, we would be
9 driving our ARAN vehicle over it which measures
10 the roughness of the road, we would maybe do some
11 friction testing, and we would write a paper about
12 the road.

13 So this is the kind of thing
14 that we would offer in kind. It's very hard to
15 get money to donate to somebody else's research
16 plan, so sometimes if somebody else had a research
17 project, we would say, oh, we could do some ARAN
18 testing for you, or we could do skid testing for
19 you, and then that's sort of like what we can do.
20 We don't have to seek approval for money or
21 funding or any of those things. So it's sort of
22 like an in kind.

23 So I imagine this as Tom
24 saying, oh, we could do some testing in kind to
25 avoid us having to pay a lot of money, because it

1 was very, very hard to seek any kind of funding.

2 Q. Okay. And the
3 monitoring -- a couple of things.

4 A. Yeah.

5 Q. So do I understand you
6 correctly, though, you didn't have those
7 conversations with anyone at Hamilton or Golder
8 about offering the skid testing back in May? Is
9 that correct?

10 A. Correct. Correct. Yeah.

11 Q. Okay. You heard that
12 from Mr. Kazmierowski?

13 A. Yes.

14 Q. Okay. And the second
15 thing, the instrumentation, this is about
16 monitoring the performance of the perpetual
17 pavement overall and the stresses and so forth on
18 it, right, with embedded sensors that are being
19 used; is that right?

20 A. Exactly, yes. The
21 embedded sensors in the different layers of the
22 pavement, and then there would be a box on the
23 side of the road that collects all the data, and,
24 you know, that's how you access how there's stress
25 and strain relationship with the different

1 pavement layers.

2 Q. Okay. So then going back
3 to images 51 and 52, Registrar.

4 So, again, in this set of
5 e-mails back in September 27th and 28th, that's
6 what you were referring back to were those
7 discussions going back to May and about recalling
8 that there was a prior offer made that involved --
9 that included friction testing.

10 Now, in terms of the
11 discussions here, did you have any direct
12 discussions with Dr. Uzarowski or anyone at the
13 City of Hamilton in respect of this, or was
14 everything done through Mr. Raymond at this point?

15 A. Everything was done
16 through Mr. Raymond.

17 Q. And do you recall if
18 there were any discussions outside of these
19 e-mails about the reluctance expressed in these
20 e-mails about the City of Hamilton making a
21 request for the friction testing itself?

22 A. Yes. So I think the
23 concern was, you know, if we detect that there's
24 an early friction issue on a contract that they
25 are literally building right now, so, you know,

1 what's going to be the outcome of that.

2 So, you know, the City of
3 Hamilton has to be aware or permitting us to do
4 this testing in case they have to take some kind
5 of action, right, so that was the discussion. We
6 could get some really low numbers, and then the
7 City of Hamilton would have to take some kind of
8 action, so we'd better get their permission before
9 we go and take this friction reading.

10 Q. Okay. So I just want to
11 make sure I understand that. The impetus for
12 wanting a direct request from the City rather than
13 it coming through Golder was thinking down the
14 road, so to speak, thinking in the future about
15 what would happen if there were bad results, if I
16 can put it that way, and how those results would
17 be communicated; is that right?

18 A. Yes, because Ludomir, he
19 is not -- it's the same position that I would be
20 in with my own regional staff. Like, Ludomir
21 isn't directing the contract. He's a consultant
22 providing, you know, guidance to the City, but
23 he's not the person in charge of that particular
24 contract. So if anything has to happen on the
25 contract, Ludomir doesn't have any, I guess, say

1 in that. He can only make recommendations, and so
2 the people actually that are the owners of that
3 contract have to take responsibility.

4 Q. Okay. So then it was
5 about, right, that if there was a problem that was
6 disclosed, that the problem would be dealt with?
7 Is that -- or at least communicated to those who
8 could make the decision about dealing with --

9 A. Exactly.

10 Q. -- the problem; is that
11 the point?

12 A. Yes.

13 Q. Okay. And -- but in the
14 end there was not a direct request, is that right,
15 from the City?

16 A. So I didn't get anything
17 in writing. I was relying on Chris who was
18 saying, the City has said it's okay to go ahead
19 and do the testing.

20 Q. Okay. And that you're
21 referring there to -- I think there's --

22 A. Oh, sorry, Chris Raymond.

23 Q. Chris Raymond, yeah.
24 Sorry, could you pull up 53 as well. Should be 52
25 and 53. Thank you.

1 A. It's there in 117.

2 Q. Yeah, my camera is in
3 front of it. That's the problem. There we go.
4 Thank you.

5 A. So it says:
6 "The City does not have
7 objections to the testing."

8 Q. Right.

9 A. But they are not the ones
10 making the request. Ludomir is making the
11 request, but the City does not have objections.
12 So I took that as being the City is aware of this
13 request and has no objections to the testing going
14 forward. So that was -- for me, that was a go
15 ahead to let the testing go forward.

16 Q. All right. And in your
17 e-mail on paragraph 122, in the first sentence,
18 when responding to Mr. Raymond's observation where
19 he says:

20 "Yes, the City is in agreement
21 about the testing, but it's
22 strange that the City are not
23 willing to write a request. I
24 asked Ludomir specifically to
25 send me a request from the

1 City a few weeks ago." (As
2 read)

3 And your response is the first
4 sentence:

5 "Maybe they are concerned
6 about the results from a liability perspective..."

7 What was that of based on,
8 that particular comment?

9 A. Well, I mean, what it was
10 based on is, well, if the results come back and
11 they are, you know, clearly unacceptable results,
12 then the City is going to have to take some kind
13 of action, and maybe that's what their concern is.
14 Frankly, it doesn't -- you know, it's not like I
15 put a lot of thought into that sentence. I mean,
16 it -- actually if you look at it, it doesn't make
17 any sense, but that is what I was actually
18 thinking. So it was sort of like -- I mean, it
19 wouldn't matter anyway because they had requested
20 or they had -- they were aware of the testing;
21 they were okay with this going forward. So they
22 are automatically taking on that responsibility.

23 Q. Okay. Why do you say "it
24 doesn't make any sense"?

25 A. Well, it's not that they

1 didn't -- so to me, if they didn't know about it,
2 it's different from -- you know, they are -- they
3 are aware that we're out there doing the testing,
4 and they are -- they are going to get these
5 results, and they are going to have to take action
6 if these results tend -- you know, turn out to be
7 unacceptable.

8 Q. Okay. So it wouldn't
9 affect anything anyway is I think what you're
10 saying.

11 A. Right. Right.

12 Q. They're going to be aware
13 of them anyway?

14 A. Yeah.

15 Q. Okay. And then you
16 indicate in that same e-mail, "please, coordinate
17 with Frank." So at that point, you're directing
18 that the testing go ahead at that point and
19 coordination take place with Frank Marciello, the
20 operator, right?

21 A. That's right.

22 Q. Okay. And we know that
23 from that point forward there are logistics and
24 discussions about how it's going to take place.
25 Did you have any further -- any further

1 involvement in the testing until the results were
2 reported on?

3 A. No, I didn't.

4 Q. Okay. And
5 appreciating -- so you described about the early
6 offer of testing back, again, in May or so, and
7 the report from Mr. Raymond on August 1st about
8 his discussion with Dr. Uzarowski. What -- do you
9 recall what your understanding was at that point
10 of what the purpose was of the testing, what the
11 impetus was for it?

12 A. What the purpose of this
13 testing was?

14 Q. Yeah, yeah. I mean,
15 there's a number of things that you described,
16 right. The offer in kind that was made back in
17 May, and then there was a discussion that
18 Mr. Raymond had with Dr. Uzarowski on August 1st,
19 and then you jump forward into September.

20 So did you think that there
21 was any -- about any specific concern that was
22 being addressed -- why don't I put it that way.
23 Were you aware of any specific concern that was
24 being addressed?

25 A. Well, that is likely

1 where -- why I wrote that sentence, because maybe
2 they were concerned about the results from a
3 liability perspective because I was aware of the
4 SMA early friction issue. So --

5 Q. Okay.

6 A. -- that's what I assumed
7 friction testing was all about.

8 Q. Okay. So if we can go to
9 image -- OD image 60, please.

10 And in paragraphs 137 and 138
11 there's reference how on October 17th, 2007,
12 Mr. Marciello e-mailed Mr. Raymond and you that
13 the test results from the Red Hill on
14 October 16th -- that were taken on October 16th.
15 I just wanted to place that in time. We'll come
16 back to that.

17 And I want to go back two
18 pages to 58 and 59, please, Registrar.

19 And we can see in
20 paragraph 135 that on the same day, October 17th,
21 Mr. Marciello circulated friction test results
22 from the Highway 401 for SMA placed in 2006 and
23 recently for MTO contract 2005-3030 with -- had in
24 some places low -- FNs of low 20s in some places.

25 So is this the contract and

1 the issue that you talked about before lunch about
2 the 401 and the early -- context of the early age
3 friction problem for SMA?

4 A. I believe that MTO
5 contract 2005-3030 is the Woodstock contract.

6 Q. Okay. That's right. And
7 then if we back up there on 132 on the prior page,
8 Mr. Raymond sends an e-mail -- it's quite a
9 detailed e-mail to Mr Tam, you, Mr. Rogers and
10 Mr. Kazmierowski. And maybe you could expand that
11 because it's a little bit tough on the eyes.

12 And this is actually on the
13 same day that the testing itself was done on the
14 Red Hill, but day before the actual results are
15 coming in. And so he's referring to Highway 401
16 at Woodstock contract 2005-3030, and that testing
17 that was conducted the prior day on October 15th.

18 And I think before you talked
19 about the blended aggregate trial using OTR, which
20 is Ontario Trap Rock, and Aecon dolomitic
21 sandstone. So this is the one -- this is the
22 contract you were talking about?

23 A. Yes, so the Aecon trap
24 rock is the Marmora trap rock. So that's one that
25 had traditionally been performing well, and we had

1 expected to do well. And then the SMA 50/50 blend
2 with Ontario Trap Rock and the dolomitic
3 sandstone, also, you know, not as successful as we
4 would have liked considering we went to this huge
5 expense to truck in dolomitic sandstone from the
6 Ottawa area to try and achieve good friction
7 results. So --

8 Q. Right. And indicating
9 values in the low 20s in some instances, and then
10 there's suggestions about measures that would
11 be -- might or would taken about reducing speed,
12 slippery-when-wet signs.

13 And if we could go onto the
14 next page at the end of the e-mail about what
15 signing and advisory speed tabs to be in place.
16 And then advisory signing would be removed when FN
17 equals 30 or greater are reached. And....

18 A. Yeah, I believe the
19 average was 23 and -- something like that. So it
20 was like, okay, we need to do something, so let's
21 post some slippery-when-wet signs and advisory
22 speed tabs, meaning keep the lower speed limit,
23 and these measures could be removed once we got to
24 acceptable friction levels.

25 Q. Right. Yeah. So we'll

1 open the westbound lane 1 briefly actually in a
2 moment. I think it's worth looking at. But then
3 at 144 which is at image 64. Yeah.

4 And on October 18th, so these
5 are all happening within a couple of days, you had
6 some comments on these test results on the same
7 contract, and there, yes, you indicated in the
8 first paragraph that:

9 "Westbound lane 1 is
10 exhibiting friction numbers in
11 the low 20s, with an average
12 FN100 of 23."

13 And then the other lanes are
14 in the high 20s, with the one eastbound lane 1
15 being closed.

16 And then in the second
17 paragraph you refer to that you suspect that:

18 "We suspect that friction
19 numbers are lower in lane 1 in
20 both directions, because lane
21 1 receives less traffic." (As
22 read)

23 So does this go -- this goes
24 to the wearing off of the asphalt cement; yeah?

25 A. Yeah.

1 Q. Okay. And if we go to I
2 believe it is MTO 2228 which is not -- actually I
3 think that we should open that. I think we have
4 that as a native, Registrar. Reversed it. 2882.

5 THE REGISTRAR: It's read only
6 for me, so....

7 MR. LEWIS: You may not have
8 the native for that one. Could you go to the
9 detailed tab. There we go.

10 BY MR. LEWIS:

11 Q. Is that large enough for
12 you to read, or would you like us to expand that?

13 A. Oh, no, I can see that.

14 Q. Okay. So this is -- you
15 were referring in your e-mail in your testimony to
16 the westbound lane 1 on this 401 contract. And if
17 I interpret this correctly, the yellow there is
18 the -- that's the -- where it says "recently
19 placed SMA" in the comments, that's the very
20 recently placed SMA, and then in the green it's
21 the SMA that was placed the prior year; is that
22 right?

23 A. Correct.

24 Q. And when you're
25 talking --

1 A. Yes. I assume so, yes.

2 Q. Yeah. Okay. And then
3 the overall average below is set at 33.1, but I
4 take it the 23 average you're talking about in
5 your e-mail is the newly placed?

6 A. Right.

7 Q. Okay. All right. And we
8 can see that the results there are all well below
9 25, ranging from 20.7 to 24.1 in the recent
10 (indiscernible). So I take it from this and what
11 you described earlier, these were concerning
12 results given the efforts that had been taken?

13 A. Right. And you can see
14 in column K that somebody has calculated the
15 average.

16 Q. Yes. Right. The 22.7 in
17 the white part of it, right.

18 A. Yeah.

19 Q. And 40.6 on the 2006
20 placement, right.

21 A. Yes.

22 Q. Thank you. Okay. Now,
23 if we could go back to the Red Hill, that overview
24 document 4, image 60. And paragraph 137, if you
25 could call that out, please, Registrar.

1 This is on October 17th.
2 Mr. Marciello is -- e-mails you and Mr. Raymond
3 the Red Hill test results. And he indicates the
4 test limits, the CNR structure to Greenhill that
5 we already -- that we looked at this morning. And
6 indicates:

7 "Dufferin and Philips
8 Engineering and Andros Delos
9 Reyes are eager for results."

10 And then:

11 "Note, friction numbers below
12 30 were collected in areas
13 situated directly under
14 overhead structures (least
15 likely to get weathered)."

16 And did you review these
17 results at the time you received them or shortly
18 thereafter?

19 A. Yes.

20 Q. Okay. And if we take
21 that down, Registrar, and if you could go to the
22 next two images. These are a little harder to
23 read. But what did you take from the results at
24 the time when you reviewed them?

25 A. I thought that they were

1 good results, actually.

2 Q. Okay. And why is that?

3 A. Because this was us going
4 out to -- with concerns about early age friction
5 literally in the middle of the construction
6 project, get right on the newly paved surface, and
7 we have acceptable numbers without any concerns
8 right from the get-go, and yeah, so I was like,
9 oh, these are good.

10 Q. Okay. And by "good," are
11 you -- I take that as you mean good in the context
12 of what you had already been discussing about the
13 early age friction issue; is that fair?

14 A. That's fair.

15 Q. Okay. And is it fair to
16 say that you may not have had the same reaction to
17 it if it -- out of the context of early age
18 friction -- early age low friction for SMA; is
19 that fair?

20 A. That's fair, yes.

21 Q. Okay. And
22 appreciating -- I mean, what -- at the time you
23 were also receiving those results from the 401
24 that we just looked at?

25 A. Yes, but I also had

1 received the very early results from the other
2 contracts where -- that caused the pause in the
3 first place -- or not the pause, that caused the
4 concern in the first place. I had seen those
5 early numbers.

6 Q. Yeah. I didn't mean to
7 suggest that it was just the 401 --

8 A. Okay.

9 Q. -- issues that you were
10 going to. But at this moment on these couple of
11 days, you're also looking at the 401 Woodstock
12 contract results as well, right?

13 A. True.

14 Q. And did you understand at
15 the time -- what did you understand at the time
16 Mr. Marciello's comments to mean about the areas
17 of FN under 30 being situated directly under head
18 over -- directly under overhead structures?

19 A. Yeah, I thought that was
20 really interesting. To me it was -- what he was
21 saying is that we're on the pavement so early that
22 the pavement that's in the shade from the bridge
23 hasn't even been exposed to the sun, like hasn't
24 had a time to age and sort of catch up with the
25 other friction numbers. You know what I mean?

1 It's so freshly placed that the asphalt hasn't
2 oxidized. Like, whatever wasn't under the bridge
3 would be exposed to the sun, would start to
4 stiffen and oxidize and -- apparently faster
5 according to his comment.

6 Q. Okay. Do you recall any
7 discussion with him around that, or is it just the
8 e-mail that -- or that --

9 A. I don't -- no, I don't
10 recall a discussion at all.

11 Q. Okay. And the idea or
12 theory about it being related to being under
13 overhead structures, is that something that had
14 been investigated or studied, or was this just an
15 idea that came from Mr. Marciello which you
16 thought was interesting?

17 A. Yeah. No, I hadn't
18 thought of that before at all, so....

19 Q. Sorry, you had not
20 thought of that before?

21 A. I had not thought of it
22 at all before this.

23 Q. Right. Okay. Okay. And
24 the results -- the average results we know are --
25 in both cases, both the lane southbound in 1 and

1 2, 33.9 and 33.8, with some of the results you
2 indicated being under 30. Did those in the
3 context cause you any concern?

4 A. No. No, I was pleased
5 with the results.

6 Q. And you mentioned Philips
7 and Dufferin being, I think, eager for the
8 results. Is that something you had any further
9 discussions about or any knowledge of other than
10 what he wrote in his e-mail?

11 A. To be honest, I had no
12 discussion on that at all. My thought was that
13 Mr. Raymond organized the testing; Frank showed
14 the results to me. My thought would be the next
15 step would be Frank would give them to Mr.
16 Raymond, and he would go back to the requesters.
17 I actually, you know, hadn't even given it a
18 thought about who else was seeing the results.

19 Q. Okay. And then if we
20 could go to image 72 in OD 4.

21 A. That might have been by
22 the way -- they might have been -- like, I'm
23 speculating, sorry. They might have been
24 interested in the results with the idea of trying
25 to get their aggregate on the designated sources

1 list in the future. That's the only thing I can
2 think of, but it's pure speculation.

3 Q. Right. You don't
4 actually -- you don't have any personal knowledge
5 of that; that is just something you are
6 speculating on based on what you see?

7 A. Yeah.

8 Q. Okay. In image 72 and
9 paragraph 162, and maybe if you could pull up 73
10 as well so I just make sure there is nothing on
11 the next page. No. Okay.

12 So in paragraph 162, on
13 November 6, 2007 -- and there is a lead up to
14 this, but just to place it in time -- Mr. Raymond
15 e-mailed an information note or briefing note
16 titled "Pausing the use of stone mastic asphalt
17 pavement" to Mr. Tam and to you indicating --

18 If you could expand the note
19 itself there, thank you, Registrar:

20 "The Ministry is pausing the
21 use of stone mastic asphalt,
22 SMA, pavement due to concerns
23 with low pavement friction
24 immediately after
25 construction. The decision to

1 pause the use of SMA is
2 related in part to low
3 pavement friction on a
4 construction contract on
5 Highway 401 at Woodstock. The
6 issue affects selected
7 projects on 400 series
8 highways primarily in central
9 and southwestern region.
10 Recommendation, the ADM should
11 be aware of the decision to
12 pause the use of SMA and the
13 low pavement friction concerns
14 encountered on Highway 401 at
15 Woodstock."

16 And so that's -- again, that's
17 the same contract that we were just talking about
18 from -- tested in October 2007; yes?

19 A. Yes, it is.

20 Q. Okay. And on or about
21 this day was when the pause was first instituted;
22 is that right?

23 A. Yes.

24 Q. Yeah. Okay. Just wanted
25 it for the record. You can take that down,

1 please.

2 A. So --

3 Q. Go ahead.

4 A. -- I mean, I only say yes
5 hesitatingly because, you know, until it's
6 actually sent out, like, it -- like, I don't know
7 if, you know, now we have to go to the ADM for a
8 decision note or -- you know what I mean? This
9 was like a briefing note that says --

10 Q. Yeah.

11 A. -- we're recommending
12 that we pause the use of SMA. So if it was that
13 day, I don't know. I mean, what happened to the
14 info note after that? Did it take three weeks to
15 get to ADM? Do you know what I mean?

16 Q. You know, I don't -- it's
17 a little unclear. There's not a specific thing
18 that says this is the date on which the decision
19 was made, but it does appear at the very bottom of
20 image 72 to refer to the ADM should be aware of
21 the decision. And I don't think anything turns on
22 the specific date. And maybe on the break I will
23 find it. But that's certainly a fair comment by
24 you. It might be -- if you look at paragraph 164,
25 on November 13th the SMA task group meeting to

1 discuss pause, and it's being discussed at that
2 point, and it's in the minutes and so forth,
3 so....

4 A. Okay.

5 MR. LEWIS: Okay. It is 3:15,
6 Commissioner. Would this be a good time for the
7 afternoon break?

8 JUSTICE WILTON-SIEGEL: Yes,
9 it is, and let's take 15 minutes this afternoon.
10 I have one matter I've got to attend to related to
11 this. So we'll stand adjourned until 3:30.

12 --- Recess taken at 3:16 p.m.

13 --- Upon resuming at 3:30 p.m.

14 MR. LEWIS: We're back.
15 Commissioner, may I proceed?

16 JUSTICE WILTON-SIEGEL: Please
17 proceed.

18 MR. LEWIS: Thank you.

19 BY MR. LEWIS:

20 Q. Ms. Lane, just to close
21 off on the SMA pause. Did the Red Hill Valley
22 Parkway skid test results taken in October 2007
23 have any bearing on the MTO's decision to pause
24 the use of SMA?

25 A. No, they did not.

1 Q. And if we could go to
2 overview document 4, images 69 and 70.

3 And in paragraphs 155 and 156
4 there are some communications in early November,
5 November 2nd, 2007, where Dr. Uzarowski e-mails
6 Mr. Raymond about a company called Blastrac, and
7 under the subject line "Friction on SMA on
8 Hamilton's Red Hill Valley Parkway," and then
9 Mr. Raymond forwards that to you in paragraph 156.

10 And we know that Blastrac uses
11 a method to improve pavement friction. And in his
12 e-mail Dr. Uzarowski is giving the contact
13 information for Blastrac and so forth. We'll be
14 asking Mr. Raymond who is involved in -- more
15 deeply in the discussions about this -- about this
16 issue. But do you know what was going on here?
17 Do you recall what this discussion was about?

18 A. So I'm imagining that --
19 obviously one of things that we wanted to do is
20 find out what different techniques we could use to
21 try and improve the early friction. So for
22 example, we mentioned water blasting or spraying
23 sand or -- there's a bunch of different things
24 that we could try, and then one of them would be
25 Blastrac. So I'm sure in the conversations that

1 Chris was having -- sorry, Chris Raymond was
2 having with Dr. Uzarowski, that it came up that we
3 were looking at all these different options, and
4 he said he knew somebody at Blastrac, and that's
5 the information. So, you know, that is what I see
6 this as being, him giving us a contact for
7 somebody that operates a skid abrader.

8 Q. Okay. Right. And it's a
9 skid abrader. That is what we were talking about
10 earlier as one of methods for potentially
11 remediating low friction, right?

12 A. Yeah.

13 Q. Okay. And -- all right.
14 Well, as I said, we'll ask Mr. Raymond more about
15 it. To your knowledge, did this have anything to
16 do with the Red Hill Valley Parkway?

17 A. No, I don't believe it
18 did, and it seems to me they wouldn't have to tell
19 us about it if they were planning on using this
20 technique. I mean, it's -- yeah, so I don't know.
21 I don't think it did.

22 Q. Okay.

23 A. Yeah.

24 Q. And if we could go to
25 image 80. And I guess 79 and 80.

1 And in paragraph 183 you are
2 e-mailing the Red Hill Valley Parkway skid test
3 results to Tom Kazmierowski with the subject line
4 "Friction results on Demix Aggregates in SMA in
5 Hamilton," and then you giving the FN90 average,
6 the minimum and the maximum from the results. Do
7 you recall why you were sending that to
8 Mr. Kazmierowski at that time?

9 A. Well, I think it was
10 after Demix applied to get their aggregate on the
11 designated sources of materials list. So the
12 discussion would have been, oh, we already used it
13 on the Red Hill Valley Parkway, and so the
14 question had been, well, what were the results
15 like.

16 Q. Okay. And now, Mr. -- at
17 that point Mr. Kazmierowski, he is what position
18 at that point? End of 2007.

19 A. He's in the manager of
20 materials engineering and research office role.
21 Yeah.

22 Q. And you're still at that
23 point in the acting pavements and foundations
24 role; is that right?

25 A. Yes. The reason I

1 believe it was because Demix applied is that
2 nowhere in any of the previous information was
3 there anything about Demix Aggregates or what type
4 of aggregate it was. So all of our discussions
5 prior to this had been about early friction
6 testing. You know, concerned about early friction
7 testing. Somehow suddenly we're talking about the
8 aggregate itself, so I'm thinking this might be
9 because they had just applied to put their
10 aggregate on the designated sources and materials
11 list.

12 Q. Well, they had indeed
13 just applied in -- earlier in December. That's
14 correct. And you can see in the immediately
15 preceding paragraph, December 13th, Mr. Rogers,
16 Chris Rogers is writing back to Demix in response
17 to its application.

18 A. Okay.

19 Q. But there was -- give me
20 a moment.

21 Mr. Raymond did in his e-mail
22 back on August 1st, he did mention Demix in
23 relation to the call from Dr. Uzarowski. He did
24 mention it back then. But -- so do I take from
25 what you're saying that you don't have a specific

1 recollection at this point about why you provided
2 (indiscernible). That makes sense to you given
3 the chronology of events?

4 A. Oh, yeah. I was totally
5 tieing it together that Demix had applied to get
6 their aggregate on the DSM on -- and the question
7 would be, okay, well, you know, they have this
8 trial on the Red Hill Valley Parkway. Oh, what
9 were the results. There it is.

10 Q. And Mr. --

11 A. It was the same day.

12 Q. Sorry? That was a little
13 garbled.

14 A. It happened on the same
15 day.

16 Q. Right. And
17 Mr. Kazmierowski responds -- this is in
18 paragraph 184 -- by saying:

19 "Not great results but still
20 consistently acceptable even
21 at 90 kph. Have you shared
22 these results with our MTO
23 task group members?"

24 And then in paragraphs 185 and
25 86 you -- keep 80 up and move to 81 as well,

1 Registrar.

2 In 85 you -- 185 you indicate
3 they went to Mr. Raymond and Bob Gorman:

4 "I'm not sure he shared with
5 the MTO task group members."

6 And then you send the results
7 to Mr. Tam, Mr. Rogers and Mr. Billings.

8 And so are they the task group
9 members at that point, the SMA task group members?

10 A. These are the internal
11 task group members --

12 Q. Yeah.

13 A. -- so they would be my
14 colleagues at MTO.

15 Q. Right. Yeah, sorry, the
16 MTO members of the task group at that point.

17 A. Yeah.

18 Q. And Mr. Billings, we
19 understand from the meeting minutes, was on the
20 joint SMA task group throughout 2007, 2008. Do
21 you recall what his position was at that time?

22 A. Yeah, he was the head of
23 Geotech in central region.

24 Q. In central region, okay.
25 And did you yourself ever share the Red Hill test

1 results outside of the MTO?

2 A. Eventually, yes.

3 Q. Sorry, at this point in
4 time? I think you're jumping ahead to 2019. Fair
5 enough. Around this point in time?

6 A. No, I didn't.

7 Q. Until -- okay. You did
8 not?

9 A. No.

10 Q. Okay. And are you aware
11 of anyone else at the MTO sharing the October 16,
12 2007 skid test results with anyone outside of the
13 MTO other than Mr. Raymond providing it to
14 Dr. Uzarowski?

15 A. No, I'm not aware.

16 Q. Right. And then we know
17 that the MTO continued -- did conduct skid testing
18 on the Red Hill between 2008 and 2014 with the
19 exception of 2013. And what is your knowledge of
20 the purpose of that testing?

21 A. So that was part of this
22 Demix Aggregate approval for approving the
23 aggregate on the designated sources and materials
24 list. So they followed our process which is to
25 apply to the Ministry with their quarried source,

1 request that we evaluate them and -- with the idea
2 of putting them on the designated sources of
3 materials list.

4 So a letter was sent to
5 Mr. Chris Rogers that -- to initiate that process,
6 and then the process would kick in. So send
7 somebody out to the quarry, take samples, evaluate
8 the source, do the laboratory testing, and if
9 everything is good, then you go to the next step
10 which would be placing the test section and
11 evaluating it in the field. But of course because
12 there was already a test section built, they
13 decided to evaluate the test section that we had
14 tested already.

15 Q. And is that something
16 that you had any involvement with at the time, or
17 is that something that you became aware of later?

18 A. No, I didn't have
19 involvement in selecting that test section.

20 Q. Okay. And if we could go
21 to overview document 4, image 84.

22 And we know that in 2008
23 Mr. Marciello conducted skid testing on the Red
24 Hill on June 12th of that year, 2008, and e-mailed
25 them to Mr. Gorman, Mr. Raymond and Mr. Ponniah on

1 June 18, 2008. And am I correct you're in a
2 different -- you're in the ADM position at that
3 point; is that right?

4 A. That's right. So --

5 Q. Sorry, you weren't the
6 ADM, you were --

7 A. I wasn't the ADM, no. I
8 was in the ADM's office. So yeah, I would have
9 left -- so, you know, Christmas holidays or
10 whatever, and then from January to the end of June
11 I would have been working downtown. So this whole
12 selection of where they are going place it, et
13 cetera, et cetera, that would've been all done
14 while I was in different position.

15 Q. Okay. And then jumping
16 ahead to 2009, if we could go to images 86 and 87.

17 And if I've got your career
18 trajectory correct, you're back in the head
19 pavements and foundations position at this point
20 but in a permanent capacity instead of acting; is
21 that right?

22 A. Correct, yes.

23 Q. Okay. And we see here in
24 paragraph 200 Mr. Gorman, in the soils and
25 aggregate section, provides a memo to you to

1 conduct skid resistance surveys for the 2009
2 season, and one of those requested is that the:
3 "Red Hill Valley Parkway SMA
4 be evaluated as soon as
5 possible, since it will have
6 passed to the second winter."

7 (As read)

8 And then there's a table and a
9 list of contracts and so forth. And he describes
10 the test section for the Red Hill.

11 And is this the typical
12 approach that was made when soils and aggregates
13 is requesting testing of sections in relation to
14 the DSM?

15 A. Yes. So the protocol
16 would be that the -- whoever wants testing done
17 would submit an e-mail or a memo. In this
18 particular case because it was for the DSM, it was
19 like a formalized process, you know, with standard
20 memo that was used every single year. And so it
21 would come into the head to document. These are
22 the -- this is the work plan for the year, and the
23 head would receive it and agree. Thank you very
24 much.

25 But the real communication

1 would be between Mr. Gorman and Mr. Marciello who
2 were the ones that actually engaged in the
3 discussion about the job.

4 Q. Okay. And then if we
5 could go to the reference document. It's
6 MTO 21224.

7 And this is just the first
8 page. This is the sort of typical kind of memo
9 that would come from soils and aggregates to you
10 as the head of the pavements and foundation
11 section?

12 A. Exactly.

13 Q. Okay. And I see if -- if
14 we scroll through it, we see the Red Hill there
15 and one other contract.

16 Could we go to the next image,
17 Registrar.

18 Again, there's an ongoing list
19 of items and test sections.

20 A. Yes. So this is
21 formalizing the request from soils and aggregate
22 section to the pavement and foundations section.

23 Q. Right. The next page as
24 well, please, Registrar. Okay. It's four or five
25 pages of listing them, and then if you go to image

1 6 -- no, image 5, sorry, image 5. Okay. There we
2 go.

3 There's a table which then,
4 again, lists the pavements and the years to be
5 surveyed. It looks like in this particular
6 iteration it starts in 2002 and goes to 2011, and
7 there's -- and is this indicating sort of the past
8 and future schedule for the test request? Is that
9 what this is indicating?

10 A. Yes, yes.

11 Q. Okay.

12 A. I just imagine this being
13 used year after year. I don't know if you got out
14 the same table, it would be the same, and then
15 they'd just add an X in the column.

16 Q. Right. With skid testing
17 in 2008/2009 and in the subsequent years up to
18 2014, did they have any relationship to the
19 original arrangements made with -- between Golder
20 and the MTO for the testing that was conducted in
21 October 2007?

22 A. No, because it was done
23 for different reasons. So the testing that we did
24 here is specific to soils and aggregates section
25 evaluating aggregates for the designated sources

1 of materials list. And the one that we did in
2 2007 was specifically for the early SMA friction
3 concern, and we liaised with Ludomir Uzarowski and
4 the City about the early age friction concern for
5 the SMA.

6 This is just routine
7 designated sources of materials work where we're
8 evaluating the aggregate that's used in the road.
9 So the other one was aggregate. That was
10 evaluating the SMA mix and its early friction
11 concerns.

12 Q. And to your knowledge,
13 were any of the test results from 2008 to 2014,
14 were any of those skid test results shared with
15 Hamilton or its representatives prior to 2019,
16 which we'll talk about later? But up until then,
17 to your knowledge, were any of those results ever
18 shared with Hamilton or its representatives?

19 A. I have no knowledge of
20 sharing them with Hamilton.

21 Q. Okay. You yourself did
22 not do that?

23 A. I have no recollection of
24 doing that, correct.

25 Q. And do you recall if you

1 ever -- if not sharing the physical tests either
2 in the hard copy or electronically, did you ever
3 tell Hamilton or its representatives that the skid
4 testing in those years was taking place?

5 A. So I believe I did. And
6 I only believe this because I said that I would,
7 and if I say that I'm going to do something, I
8 usually do. And that was in 2010, I believe.
9 There's an e-mail chain about that where I say, I
10 will reach out to this -- I'll reach out to
11 Ludomir Uzarowski for a contact for the City of
12 Hamilton, and so I imagine that I did follow
13 through on that.

14 Q. Okay. So what -- okay.
15 So why don't we just hold that for a moment and
16 talk about the results prior to that so that we
17 have the lead up to that discussion. I think
18 you're talking about an e-mail in late 2010?

19 A. Correct.

20 Q. Right. When you said:
21 "Perhaps I will call Ludomir
22 for a City of Hamilton contact." (As read)

23 Okay. So if we could then --
24 we'll hold that and still cover it. But if we
25 could talk about these -- the 2009 results. So

1 in -- if we go to -- actually, I'll back up.

2 I understand that you received
3 the results in -- from the Red Hill in each of
4 2009, 2010 and 2011. Is that correct?

5 A. So yes, I would have
6 received them, yes.

7 Q. Okay. And that's because
8 you were the head of pavements and foundations in
9 those years, right?

10 A. Yes.

11 Q. Okay. And then the 2009
12 testing, if we go to image 87. And on May -- this
13 is paragraph 202. On May 8, 2009, Mr. Marciello
14 e-mailed Mr. Senior, Mr. Gorman and Ms. Lane
15 attaching the friction test results from the RHVP
16 the previous day, and he stated:

17 "Gentlemen, might be too early
18 tell, but it appears that
19 friction levels/trends may be
20 starting to decline with
21 time."

22 And the response on May 11th
23 from Mr. Senior in paragraph 203 is:

24 "Frank, both Bob and I agree
25 there is no clear indication

1 of any early trend in the
2 data. Maybe you just have a
3 'gut' feel for what's going on
4 there. Time will tell. We
5 will be sending out a notice
6 regarding conditional approval
7 of the source pending
8 satisfactory performance of
9 the pavement and of the source
10 materials. Thanks for
11 everything." (As read)

12 And do you recall if you had
13 any views or input on this issue about the
14 potential decline in numbers?

15 A. I know I wasn't involved
16 in this, and so, I mean, although you say the
17 e-mail is to me, it was actually to Mr. Senior and
18 Mr. Gorman, and --

19 Q. Right.

20 A. -- I was just cc'd on it
21 because he was cc'ing me as the head. But this
22 aggregate sources list, you know, approval of the
23 source, all of those things is the soils and
24 aggregate section. So when Mr. Senior writes back
25 and says, "Bob and I agree," it's because

1 Mr. Senior and Mr. Bob Gorman were the experts in
2 this area, and they are the ones that are managing
3 the designated sources of materials list and
4 pre-qualifying aggregates, looking at the data
5 over -- you know, that's what they do. That's
6 their job. So this is -- you know, this is what
7 the soils and aggregate section does. That's
8 their role.

9 Q. Okay. So -- and then
10 shorter, do I -- did I understand what you're
11 saying is you're copied on this, but it's at this
12 point Mr. Gorman and Mr. Marciello that are having
13 the -- and, sorry, Mr. Senior who are having the
14 discussion about what to do at that point, and
15 you're not directly involved other than receiving
16 the information? Is that --

17 A. Correct, yes.

18 Q. -- a fair summary?

19 And he refers to "conditional
20 approval." Is that a word you were familiar with
21 for approval of aggregates that had been applied
22 for inclusion on the DSM?

23 A. No, not particularly, no.

24 Q. Okay. I'll ask him about
25 that.

1 And if we can go to images 118
2 and 119, paragraph 286. I think it's 286.

3 In the same year, the MTO was
4 conducting friction testing on the SMA placed by
5 Dufferin in contract 2005-2008 on the -- at the
6 QEW/Red Hill Valley Parkway interchange. And is
7 this something that you recall?

8 A. Well --

9 Q. Other than -- other
10 than --

11 A. -- I'm aware of it now.
12 I -- honestly, like this is the problem when you
13 read all this stuff. So yes, I'm aware of it.
14 Did I know about it then? Doubtful.

15 Q. Well, you did receive --
16 you're indicated as receiving the e-mail on
17 June 29th. You're one of the many recipients for
18 it. Fair to say that other than reading this, you
19 don't have a specific recollection of it at this
20 time; is that fair?

21 A. Yes.

22 Q. And when you at the --
23 going up to the top of the page there, it
24 indicates that the:

25 "Preliminary results indicate

1 average friction numbers
2 throughout all lanes range
3 from 32 to 36."

4 And then in paragraph 289,
5 going onto next page, page 120,
6 Registrar.

7 On July 23rd Mr. Marciello
8 e-mails you, Joseph Della Mora and Mr. Raymond
9 with the friction test results on -- for the trial
10 of SMA on the QEW in that same contract at the
11 interchange of the QEW and Red Hill and indicating
12 "early friction appears to be improving," and then
13 the results show the test range between 33 and
14 35.4, with one lane falling slightly below 30.

15 Again, do you have any
16 specific recollection of these results beyond
17 what's in the documents themselves?

18 A. So this is the QEW/Red
19 Hill Valley Parkway interchange which is an MTO
20 contract.

21 Q. Yeah.

22 A. So I seem to recall the
23 idea would be this was past the time that we had
24 paused the use of SMA, but we were still allowing
25 some SMAs to proceed, and the reason being, like,

1 in this case here, the contract was 2005-2008,
2 which means that it was already awarded when we
3 came along with, you know, the pause and all of
4 those things.

5 So now we're in a scenario
6 where we're going let the SMA go even though we're
7 in an SMA pause situation. So they are looking
8 for ways to improve the early age friction. We're
9 still in the experimental, I guess, phase of
10 trying different things to try and see if whatever
11 we do to tweak the mix or the aggregate or the,
12 you know, asphalt cement content or whatever it is
13 will improve the friction. So yeah -- so that's
14 where we are with this job.

15 Q. Right. And you refer to
16 it as a trial, and it's what you're doing to try
17 to work out what the best way is of dealing with
18 the early age friction issue ultimately. Is that
19 fair?

20 A. Yeah, yeah. I don't
21 think we would have -- I don't know if we would
22 have called it a trial, but it would have been --
23 you know, we would have -- we would have looked at
24 it. We would have said, the contract is already
25 awarded. You know, this is what they are saying

1 they are going to do. You know, what tweaks can
2 be made to try and improve this early age friction
3 problem. And so when he says that early friction
4 appears to be improving, I'm reading into it that
5 whatever tweak or whatever we did on the contract
6 has -- is improving the early friction results. I
7 mean, honestly, it's a long time ago.

8 Q. I understand. And then
9 moving into 2010 at page -- image 89.

10 JUSTICE WILTON-SIEGEL: Sorry,
11 page 89?

12 MR. LEWIS: Image 89, yeah.
13 Page 89. And paragraph 210. Do you have that,
14 Commissioner?

15 JUSTICE WILTON-SIEGEL: I will
16 have. Go ahead.

17 BY MR. LEWIS:

18 Q. So on April 1st, 2010,
19 Mr. Marciello e-mailed the results of testing that
20 had taken place on March 31st, and he sent it to
21 Mr. Gorman, you and Mr. Senior. I'll just check
22 and see, but I suspect it was copied to you rather
23 than direct, but let me have a look. Yeah, sent
24 actually to Mr. Gorman, copied to you and
25 Mr. Senior from Mr. Marciello. And he indicates,

1 again, that the testing had taken place on the Red
2 Hill. And he says:

3 "The attached read-only files
4 will show a decline in
5 friction in the NB "--
6 northbound lanes "-- averaging
7 5FN. Some values are at or
8 below FN100 of 30. SB --"
9 southbound lanes "-- performed
10 at similar levels, mid 30s, as
11 in 2009. Please review and if
12 any questions arise, please
13 e-mail or call me." (As read)

14 And do you recall if at the
15 time -- there's a response actually in the next
16 paragraph, sorry. At top of the next image in
17 paragraph 211, Mr. Gorman replies to the same
18 group, stating:

19 "We'll have to watch this one.
20 Maybe do again after the
21 summer."

22 And Mr. Marciello agreed.

23 And do you recall if at that
24 time with these if you would have reviewed the
25 specific results attached and -- or paid further

1 attention to them at that time?

2 A. I probably wouldn't. I
3 don't recall doing it, but I -- you know, the
4 reason I say that is because, again, this is going
5 to Mr. Gorman, and Mr. Gorman is responding, so
6 I'm thinking that Mr. Gorman and Mr. Marciello are
7 communicating on it. You know, we're going to
8 have to watch this one gives me the impression
9 that they are on it. Like, I don't need to get
10 involved. They have spotted something, and they
11 are on it.

12 Q. Okay. And then the next
13 thing to bring forward, what you referred to
14 earlier when I asked you about contacting or
15 informing anyone outside of the MTO about the test
16 results. If we could go to image 90, please, 9-0.
17 Yeah. No, sorry, it's right on the same page.

18 So in paragraph 212 on
19 November 15th, and maybe we could expand 212 and
20 213. Thank you.

21 So Mr. Marciello e-mails you
22 sort of a brief history in the first paragraph of
23 what happened in 2007, and then indicates that:

24 "Northbound lanes have shown
25 declining friction performance

1 properties from the start,
2 while southbound lanes
3 improved in the first year and
4 then started declining
5 afterwards."

6 And then you reply as you had
7 referred to.

8 "Good stuff, Frank. Thank
9 you. Perhaps I will call
10 Ludomir for a City of Hamilton
11 contact."

12 And then separately you asked
13 him for the most recent friction test results from
14 the Red Hill from the spring of 2010, and he
15 provided those to you.

16 So first of all, is this what
17 you were talking about earlier when I asked you
18 generally if you recall whether you had informed
19 anyone outside of the MTO about the testing of the
20 Red Hill having occurred?

21 A. Yes, this is what I was
22 referring to.

23 Q. Okay. So do you recall
24 why Mr. Marciello sent this information summary to
25 you? It's in paragraph 212.

1 A. So I -- from previous
2 paragraphs we saw that Mr. Marciello and
3 Mr. Gorman were having a conversation around
4 concerns they had with the data. They said they
5 were going to watch it.

6 So fast forward to November of
7 the same year, it's -- you know, what I'm seeing
8 here is I -- Mr. Marciello and I are in the office
9 together. He says to me, I'm still a little
10 concerned about the declining numbers on the Red
11 Hill Valley Parkway, and you know -- and then I
12 say, oh, really, send me whatever. And next thing
13 you know, I get this. So this is what I'm
14 imagining a scenario. So what made me think I
15 called them is because I'm saying, you know, thank
16 you, I will -- perhaps I will call Ludomir for a
17 City of Hamilton contact. Can you please send me
18 the results.

19 So, like, to me, Frank has
20 gone the extra mile of coming to me in the fall
21 and saying to me, actually, you know, I think, you
22 know, I'm not happy with how these numbers are
23 declining, and so my reaction to that was maybe I
24 should call the City of Hamilton and let me them
25 know the numbers are declining. That's -- I want

1 to say that that is what I think I would do
2 knowing who I am as a person, and I'm very
3 diligent at my job, so that's what I think I would
4 do. But do I actually recollect the conversation
5 that -- who I talked to or anything like that, I
6 don't have a recollection of that. You know, but
7 it seems to me very likely that it is something I
8 would do.

9 Q. Okay. So a couple of
10 things there. Going back to what you described
11 about -- and I think you used the word well, I'm
12 imagining a scenario about the discussions with
13 Mr. Marciello. Do I take from that that that
14 seems logical from the course of correspondence
15 here, but you also do not have a specific
16 recollection of that occurring? That's just what
17 you think seems likely given the e-mails that we
18 just reviewed?

19 A. Yeah, because the e-mail
20 itself is -- it looks like the continuation of a
21 discussion, right. You know, this isn't the kind
22 of e-mail you just send and e-mail like this
23 without any context or background or discussion,
24 so....

25 Q. And Mr. Marciello is in

1 the -- you're at the time in the same general
2 space?

3 A. Yeah, exactly.

4 Q. Okay. Right. And his
5 e-mail on November 15th, it's not likely just
6 coming out of the blue for no reason after --
7 several months later if there hadn't been some
8 discussion between you, right?

9 A. Yeah. Like, to me, the
10 e-mail is too truncated or -- you know, it looks
11 like we had a discussion, and then this e-mail was
12 the follow-up to that.

13 Q. Okay. I just wanted to
14 be clear about your current recollections and so
15 forth.

16 A. You're right. I don't
17 have a recollection of that.

18 Q. Okay. And then, if I
19 understand you correctly, similarly with whether
20 you contacted Dr. Uzarowski for a City of Hamilton
21 contact, you similarly don't have a specific
22 recollection at this time of doing it, but you
23 think it's quite likely that you would have based
24 on how you operate your practices and so forth.
25 If you say you're going to contact someone, you

1 typically do; is that fair?

2 A. Yes.

3 Q. And at that time did you
4 know Dr. Uzarowski? Had you dealt with him in a
5 professional capacity at that point?

6 A. Yes. I mean, I've known
7 Ludomir for a long time. I don't know how far
8 back that would go, but, you know, I in particular
9 have known him for a long time, worked with him on
10 many things. The early asphalt cracking, which is
11 a totally different issue, you know, we worked
12 very closely together on that, and yeah, I
13 couldn't pinpoint how far back I've known him.

14 Q. Okay. Right. But,
15 again, he was someone that you knew and had dealt
16 with previously at this point?

17 A. Oh, yes.

18 Q. Okay. And -- sorry, and
19 that's with respect to Dr. Uzarowski. What about
20 the City of Hamilton contact? Do you have any
21 recollection of contacting someone at the City at
22 any point?

23 A. I don't have a
24 recollection of who that would be.

25 Q. Okay. And in terms of

1 authority to disclose results, you know, clearly
2 you had the authority to discuss and disclose
3 results in your position at the time; is that
4 correct?

5 A. So I would have been the
6 head of pavements and foundations section. I
7 think, you know, what I -- I'm almost a hundred
8 percent sure that I wouldn't have shared the
9 actual data. It probably would have been a
10 conversation, right, because we typically don't
11 send out data. So -- and I have no evidence that
12 I did do that.

13 So I'm thinking it would be
14 more along a conversation like, we started to
15 notice that the friction is declining, and in
16 particular there was, I think, a six-point drop
17 over the course of the year. So that could be
18 concerning. If it drops another six points, you
19 know, we're down into the mid-20s and who knows
20 how -- what's going to happen with the
21 performance.

22 So I am -- you know, I doubt
23 that I would have actually sent data, and I have
24 no evidence that I did, but I think I would have
25 had a conversation around seeing the friction

1 numbers decline.

2 Q. Okay. And then if we
3 could go to -- take that down, Registrar, and go
4 to image 92.

5 In paragraph 219 you'll see
6 that Mr. Marciello on May 25th conducted the Red
7 Hill friction testing, and on May 26th he e-mails
8 that, again, to the same group, Mr. Senior,
9 Mr. Gorman and you, and if we could expand his
10 e-mail. And Mr. Marciello indicates that the 2010
11 data that we were just discussing was collected
12 and reported at 100 kilometres per hour, being 10K
13 over the previous year's collection speed. And he
14 indicates:

15 "This would definitely explain
16 why this SMA's performance
17 dropped significantly last
18 year. I made and reported an
19 adjustment to the 2010 data in
20 the data below."

21 And then goes on to describe
22 the results. And do you recall if you paid any
23 more attention to these results than the ones in
24 the past? Do you have any recollection one way or
25 the other on that issue?

1 A. So this would indicate
2 that this rapid polishing that we were concerned
3 about wasn't happening. So, you know, it was
4 actually a testing error. And, you know, we're
5 expecting some kind of gradual, you know --
6 gradual decrease in the friction number over time
7 with the traffic volumes, but not that rapid drop
8 that we had seen. So this explains that there was
9 no rapid drop. It was a testing error. It also
10 says that some of the frictional numbers have
11 maintained -- are maintaining exactly the same or
12 a very slight drop. So this would have sort of
13 put us at ease that there wasn't some kind of
14 rapid deterioration going on.

15 Q. And what's the
16 distinction in your mind between, you know, a
17 rapid drop and a more gradual one? What's the --
18 what do you see as the significance of that?

19 A. Well, I had seen in the
20 previous data there was a six-point drop, and so,
21 you know, that to me looked like some kind of
22 rapid -- more rapid deterioration versus, you
23 know, losing a couple of -- one or two points is
24 more of a gradual over time deterioration of the
25 friction. When you only have two data points, you

1 don't know where that third data point is going,
2 right.

3 So if your first two data
4 points go one, two, then point number three could
5 be down here. It could also be back up here
6 again, right. So having just the two data points
7 is like cause for, hmm, I wonder where that third
8 data point is going, right.

9 Q. Right.

10 A. But then when we did the
11 testing, we found out actually not only was it not
12 this data point, it was more like this data point,
13 and it's kind of stabilized. So the data is very,
14 very gradually decreasing in friction. It's not
15 at all a rapid polishing --

16 Q. Okay.

17 A. -- that we were concerned
18 about.

19 Q. And if we could open up,
20 please, then -- I think we can put up two at
21 once -- the attachments to the 2011 results.
22 These are MTO 34405 and 34406. Do we have the
23 natives for those, sorry, so we can see the --
24 could we pull those up instead? Okay. And could
25 we put up the chart for those too. Can we do that

1 for both? Is that possible? For 05 and 06. It's
2 the chart button on the second -- yeah, on both of
3 them. Maybe is it -- can you pull them both up or
4 no? If you can't, then it's fine.

5 THE REGISTRAR: It's a little
6 hard.

7 MR. LEWIS: Okay. Why don't
8 we just look at the --

9 (DISCUSSION OFF THE RECORD)

10 BY MR. LEWIS:

11 Q. Can you see that,
12 Ms. Lane?

13 A. Yes, I can.

14 Q. Okay. And so these are
15 the two southbound lanes, southbound lane 2 on the
16 left and southbound lane 1 on the right. And
17 these are the ones that were also tested in 2007.
18 And I think the average in southbound lane 2 has
19 dropped from 2008. It's gone down a total of six,
20 and in FN6 from 38 to 32, and in southbound lane 1
21 it's gone down 40 to 35. Is that right?

22 A. Oh, from 2008?

23 Q. Yeah, from 2008.

24 A. Sorry. Yeah, I was
25 looking at the wrong number. Yes.

1 Q. Okay. I was not looking
2 at the 2007 result because you already described
3 the early age issue, and you saw the increase
4 after that. Okay. So did I understand you
5 correctly in saying that this is a -- a gradual
6 drop that did not cause you any concern at the
7 time?

8 A. Correct.

9 Q. Okay. And then could we
10 open the two northbound lanes. That's MTO 34407
11 and 34408. Again in native. Sorry, I didn't
12 specify that.

13 So these are the two
14 northbound lanes. First is northbound lane 1 and
15 then northbound lane 2. And both of which start
16 in 2008, because they weren't tested in 2007, and
17 showing a drop from 2008 to 2011 in the case of
18 lane 1 from 41 to 35, and in lane 2, 39 to 34.

19 A. Yeah, over the course of
20 four years, though. I mean, the thing that
21 alarmed me with the other data was it was showing
22 a six-point drop in one year.

23 Q. Right.

24 A. So this is a gradual
25 deterioration of the pavement friction, so the

1 drivers aren't noticing a huge -- you know, they
2 are driving it every day. They are not -- it's
3 not -- it's gradually, gradually reducing in
4 friction.

5 Q. Right. So you're talking
6 about driver expectation?

7 A. Yeah.

8 Q. Okay. Maybe before we
9 sign off for the day, if we could go to -- take
10 those down, Registrar. If we could go back to the
11 2010 testing, which is before it was corrected.
12 And this is -- going to bring up the first two,
13 MTO 34019 and 34020, both in native, please.

14 These are the 2010, the
15 southbound lanes, later then corrected by
16 Mr. Marciello. And we're looking at the
17 difference between 2009 in southbound lane 2 on
18 the left is 35, and then it's decreased to 32, and
19 in southbound lane -- sorry, sorry -- that's
20 northbound lane 1, sorry, on the right, is a
21 decrease of 4 from 39 to 35. So it's not quite
22 six. It's three and four in those instances.

23 We can go to the other ones,
24 though. Pull that up -- those up. If you
25 could -- so is -- that's 19 and 20. If we could

1 go to, Registrar, 34 -- MTO 34021 and 34022.

2 JUSTICE WILTON-SIEGEL: And
3 these numbers are corrected by Mr. Marciello?

4 MR. LEWIS: These are before
5 they are corrected. These are the 2010.

6 JUSTICE WILTON-SIEGEL: These
7 are before corrected?

8 MR. LEWIS: The ones that we
9 were looking at from 2011 were the ones after
10 correction.

11 BY MR. LEWIS:

12 Q. And here in -- make sure
13 I have the right one. Doesn't say which lane that
14 is. If you could -- on the one on the left,
15 Registrar -- oh, it's southbound lane 2. There it
16 is. Sorry, could you -- yeah. Thank you. You
17 may have pulled the same one up. I'm not sure.
18 That's -- sorry, on the one on the left, please
19 close. 19. Yeah, I would like 20 and -- 21 and
20 22. Yeah. That's correct. Thank you.

21 So northbound lane 2 on the
22 left, and that's a drop of -- from 2009 to 2010 of
23 5, from 37 to 32, and in southbound lane 1 of 4
24 from 2009 to 2010. Right.

25 And is that -- I know you had

1 said six. I think Mr. Marciello talked about five
2 later on. I just wanted to go back and make sure
3 we were accurate on this.

4 A. I thought it was six,
5 so -- I mean, I see here it's five, but for some
6 reason I had it in my mind it was six.

7 Q. Okay. That's fine.

8 A. So yeah, I mean, you
9 know, it's cause for -- it's going 39, 37, 32.
10 That's a -- you know, that's quite a drop, right.
11 So that was, like, okay, you know, where is it
12 going next. When you go back to the following
13 year and you find it's actually -- it hasn't
14 dropped even further, right, that's when you
15 realize, okay, we tested it at the wrong speed.
16 That's why there was this big drop. And you know
17 the -- all of these numbers are still acceptable.
18 They're still satisfactory, and, you know, they're
19 also likely to level off, right.

20 So this is not -- when you see
21 the big drop, that was what made Frank want to
22 talk to me about it, which is why, you know, I was
23 suggesting I will call the City of Hamilton,
24 because I saw this drop. It turned out to be not
25 real, and then the numbers seem to, you know, like

1 I said, be gradually deteriorating and levelling
2 even towards -- not here, but, you know, gradually
3 deteriorating. They are not at all accelerated in
4 their friction number reduction.

5 Q. Okay. And I understand
6 from your CV and our discussions earlier that
7 later in 2011 into 2013 that's when you moved into
8 the new position?

9 A. Yes.

10 Q. In the systems analysis
11 and forecasting office?

12 A. Correct.

13 Q. Okay. And there was
14 testing in 2012. Did you receive the results in
15 that year?

16 A. No.

17 MR. LEWIS: Okay. I note it
18 is 4:30, Commissioner, which is our new end time.
19 I will have some more questions for Ms. Lane
20 tomorrow, as will participants' Counsel. We did
21 build in extra time to come back tomorrow. So
22 if -- this may be a good time to break for the
23 day.

24 JUSTICE WILTON-SIEGEL: Okay.
25 Let's then stand adjourned until 9:30 tomorrow

1 morning.

2 MR. LEWIS: Thank you very

3 much.

4 --- Whereupon at 4:31 p.m. the proceedings were

5 adjourned until Tuesday, May 17, 2022 at

6 9:30 a.m.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25