

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS  
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL  
held via Arbitration Place Virtual  
on Tuesday, May 24, 2022 at 9:30 a.m.

VOLUME 17

Arbitration Place © 2022  
940-100 Queen Street      900-333 Bay Street  
Ottawa, Ontario K1P 1J9      Toronto, Ontario M5H 2R2  
(613) 564-2727      (416) 861-8720

APPEARANCES:

Andrew C. Lewis  
Chloe Hendrie

For Red Hill Valley  
Parkway

Jenene Roberts  
Jonathan Chen

For City of Hamilton

Heather McIvor  
Colin Bourrier  
Chris Buck

For Province of Ontario  
For Dufferin Construction

Jennifer Roberts

For Golder Associates Inc.

INDEX

	PAGE
FRANK MARCIELLO; AFFIRMED	
EXAMINATION BY MR. LEWIS	2648
EXAMINATION BY MR. CHEN	2750
EXAMINATION BY MS. JENNIFER ROBERTS	2754
EXAMINATION BY MR. BOURRIER	2756
EXAMINATION BY MR. LEWIS (RESUMED)	2758
STEPHEN SENIOR; AFFIRMED	
EXAMINATION BY MR. LEWIS	2761
EXAMINATION BY MS. JENENE ROBERTS	2836

1 Arbitration Place Virtual

2 --- Upon resuming on Tuesday, May 24, 2022 at

3 9:36 a.m.

4 MR. LEWIS: Good morning

5 Commissioner, Counsel, Mr. Marciello. Before we  
6 begin for this week I would like to read off our  
7 weekly land acknowledgement.

8 I would like to open this week  
9 of hearing by acknowledging that the City of  
10 Hamilton is situated upon the traditional  
11 territories of the Erie, Neutral, Huron-Wendat,  
12 Haudenosaunee and Mississaugas. This land is  
13 covered by the Dish With One Spoon Wampum Belt  
14 Covenant, which was an agreement between the  
15 Haudenosaunee and Anishinaabeg to share and care  
16 for resources around the Great Lakes. We further  
17 acknowledge that the land on which Hamilton sits  
18 is covered by the Between The Lakes Purchase 1792,  
19 between the Crown and the Mississaugas of the  
20 Credit First Nation.

21 Many of the counsel appearing  
22 on this hearing today are in Toronto which is on  
23 the traditional land of the Huron-Wendat, the  
24 Seneca, and most recently, the Mississaugas of the  
25 Credit River. Today this meeting place is still

1 the home to many indigenous people from across  
2 Turtle Island and we are grateful to have the  
3 opportunity to work on this land.

4 Could the court reporter  
5 please swear in the witness.

6 FRANK MARCIELLO; affirmed

7 EXAMINATION BY MR. LEWIS:

8 MR. LEWIS: I may proceed,  
9 Commissioner?

10 JUSTICE WILTON-SIEGEL: Please  
11 proceed.

12 BY MR. LEWIS:

13 Q. Good morning,  
14 Mr. Marciello. Thank you for coming.

15 A. You're welcome. Good  
16 morning.

17 Q. And just before we get  
18 into any details I just want to go through a bit  
19 of your background and work history. I understand  
20 that you went to Humber College and graduated with  
21 a diploma in civil transportation engineering  
22 technology; is that correct?

23 A. Yes.

24 Q. And when did you  
25 graduate?

1 A. 1982.

2 Q. And am I correct that you  
3 were employed by the MTO from around March 1986  
4 until 2015?

5 A. Yes.

6 Q. And that I understand  
7 your last day physically at work for the MTO was  
8 March 3rd, 2015, although your employment formally  
9 ended in June 2015; is that right?

10 A. That's right.

11 Q. And you were the  
12 supervisor of the pavement evaluation unit from  
13 the early 1990s until you left the MTO; is that  
14 correct?

15 A. Yes, it is.

16 Q. And could you just  
17 describe for us what that job entailed. I know it  
18 was a number things, but if you could just briefly  
19 describe that for us.

20 A. It involved supervision  
21 of two full-time staff and summer students who  
22 operated the automatic road analyzer. And that  
23 also was a -- it was evaluation equipment that  
24 would determine pavement performance in different  
25 indices, pavement rut, crack mapping and so on,

1 and that would determine the condition of the  
2 pavements and a decision would be made based on  
3 that.

4 I also operated within a  
5 supervisory role the actual AST and brake force  
6 trailer; that, as well evaluated pavements in  
7 terms of friction levels, and I did a lot of field  
8 work in that unit as well.

9 Q. All right. I understand  
10 that involves quite a bit of travel from time to  
11 time in operating the trailer and the other  
12 devices?

13 A. Extensive travel, yes.

14 Q. You operated the MTO's  
15 locked-wheel skid tester for most of your career  
16 until you left in the 2015; is that right?

17 A. I -- for probably at  
18 least half of my career, yes.

19 Q. And is that from back in  
20 the early 90s?

21 A. Probably the mid-90s,  
22 yes.

23 Q. Mid-90s. Okay. And were  
24 you the sole operator of it during that time  
25 period?

1                   A.    During that time I was,  
2    yes.

3                   Q.    And on the skid trailer  
4    you then generated -- after operating it that  
5    included generating the results of the testing and  
6    compiling them into survey reports?

7                   A.    Yes, correct.

8                   Q.    And can you estimate --  
9    and I'm not asking for any exact number, sort of  
10   an order of magnitude -- but how many skid tests  
11   you administered and reported on during the course  
12   of your career?

13                  A.    I can't put a number to  
14   that.  It's in the thousands.

15                  Q.    That's the only sort of  
16   estimate that I was looking for.  Somewhere in the  
17   thousands?

18                  A.    Yes.

19                  Q.    And just to place your  
20   activities in the context of this public inquiry,  
21   we know, and if you can just confirm, you  
22   conducted the MTO's initial skid testing on the  
23   Red Hill Valley Parkway in Hamilton on  
24   October 16th, 2007; is that right?

25                  A.    That's correct.

1 Q. And then subsequently you  
2 performed skid testing on the Red Hill Valley  
3 Parkway in 2008, 2009, 2010, 2011, 2012 and 2014;  
4 is that right?

5 A. That's correct.

6 Q. And as is typically done,  
7 you compiled the test results and reported on  
8 them; is that right?

9 A. Yes.

10 Q. And in your role as  
11 supervisor of the pavement evaluation unit you  
12 formally reported to the head of pavements and  
13 foundations; is that correct?

14 A. Yes, I did.

15 Q. I understand that  
16 variously, sort of starting earlier in time, that  
17 was Tom Kazmierowski?

18 A. Yes, it was.

19 Q. And then Becca Lane?

20 A. Yes, it was.

21 Q. And then Stephen Lee?

22 A. Yes.

23 Q. And I think there was --  
24 Betty Bennett may have acted in an acting role in  
25 between Ms. Lane and Mr. Lee; is that right?

1 A. That's correct.

2 Q. And that's the person who  
3 you directly reported to at pavements and  
4 foundations?

5 A. One of those -- one or  
6 two of those people, yes.

7 Q. As time passed it  
8 changed?

9 A. Yes.

10 Q. So, Registrar, if we  
11 could go to overview document 4, image 14, please.

12 And, Mr. Marciello, we've got  
13 a thing called the overview document which is --  
14 part 4 of it, which is what I'm going to be  
15 referring you to, which sets out documents and  
16 facts and so forth that -- pertinent to the  
17 inquiry, but -- and I'll take you to that from  
18 time to time but I'll also take you to the  
19 underlying documents in some instances as well.

20 If you -- at any time if I'm  
21 looking at the overview document with you and you  
22 want to look at the underlying document and I  
23 haven't done that, please let me know and we'll  
24 just go it to. All right?

25 A. Hm-hmm.

1 Q. All right. So in  
2 paragraph 22 it talks about a presentation you  
3 gave to the MTO's geotechnical committee, and then  
4 it was revised later in 2013, and that described  
5 the MTO's friction evaluation processes. And do  
6 you recall this -- we're going to take you to the  
7 slideshow. Do you recall this presentation?

8 A. I do, I do. Basically I  
9 do.

10 Q. If we could go then to  
11 MTO 35791. I'm going to come back to this from  
12 time to time, but "Pavement Friction Valuation -  
13 MTO Practices." And do you recall the context in  
14 which you presented this to the geotechnical  
15 committee?

16 A. I don't recall -- very  
17 basically, very basically.

18 Q. You recall you created it  
19 and you know it was (speaker overlap) but that's  
20 about it?

21 A. Yes, that's about it,  
22 yeah.

23 Q. If we could go to  
24 image 9? Actually, do we have the native of this  
25 document? It's a little bit blurry. Maybe we

1 don't.

2 A. I'm familiar with the  
3 picture.

4 Q. I'm just asking the  
5 Registrar if we have the native for this document.  
6 I suspect we don't. We can just use this if we  
7 don't, but if we have the native that would be  
8 helpful maybe.

9 THE REGISTRAR: Counsel, I  
10 don't believe we have the native for this one.

11 MR. LEWIS: That's all right.  
12 If we run into a problem we will e-mail it to you.

13 BY MR. LEWIS:

14 Q. Okay. So is this a photo  
15 of the actual truck and skid trailer?

16 A. Yes. The two right  
17 photos are the most recent machine that we use and  
18 the one on the left is an older version.

19 Q. I see. So at some point  
20 did you change the -- did you get a new machine?

21 A. Yeah, we did. We got an  
22 upgrade and then we replaced both the truck and  
23 trailer.

24 Q. Do you recall when that  
25 was?

1 A. No, no, I don't.

2 Q. I'm wondering if --  
3 because we noticed that there was -- that the GPS  
4 data was added at a point in time.

5 A. Yes.

6 Q. And was that at that time  
7 when the new trailer was purchased and used?

8 A. No, I believe the GPS was  
9 added I think around 2013, 2014.

10 Q. And was the new machine  
11 prior to that or after that?

12 A. Prior.

13 Q. I see. You don't recall  
14 when that was though?

15 A. It was probably the last  
16 time we had it calibrated in the United States in  
17 Michigan and at the same time they installed the  
18 GPS technology.

19 Q. Sorry, you got the new --  
20 sorry, you got the new machine at around the same  
21 time that the GPS --

22 A. I believe the GPS was put  
23 onto the machine after the purchase. It could  
24 have been a year or two after. Could have been  
25 five years after. It was after the purchase of

1 the machine.

2 Q. Okay. So in this  
3 presentation is the one on the left, is that the  
4 original one from your 2005 presentation and on  
5 the right it's the later 2013 version?

6 A. I believe so, yes.

7 Q. I see. So I think you  
8 said that the GPS was added around 2013, 2014.  
9 Would that have been in the context of the network  
10 testing that did you in 2013, do you know?

11 A. No, I think the network  
12 testing happened after that.

13 Q. After it was installed?

14 A. After the installation,  
15 yes.

16 Q. Right. That's what I  
17 meant. I was wondering if it was installed for  
18 the network testing --

19 A. No, no.

20 Q. -- or in anticipation of  
21 it?

22 A. No.

23 Q. No? Okay. And so would  
24 it make sense, and we can take you to it, but from  
25 the Red Hill test results, the ones -- the one

1 from 2014 does have GPS data on it but the ones  
2 from 2012 and prior did not. So does that make  
3 sense just in terms of timing?

4 A. Yes, it does, it does.

5 Q. Okay. And could you just  
6 describe how the trailer worked and how you  
7 operated it?

8 A. Okay. The trailer  
9 utilizes to ASTM standards that determine what  
10 equipment is used and how it is used to come up  
11 with numbers that are approved by ASTM.

12 The skid trailer itself is  
13 basically brains behind it. The computer in front  
14 will indicate to the trailer the distance that the  
15 tire is travelling. The right tire measures the  
16 actual distance you are travelling and the left  
17 tire is the tire that is used to brake.

18 So at a given speed at a  
19 certain time during whatever pavement test section  
20 there is, I will activate the test to be conducted  
21 and the actual nozzle in front of the left tire is  
22 lowered and water will be sprayed out of the  
23 nozzle to stimulate the wet pavement condition.  
24 And a split second after that the actual tire  
25 brakes, and in a 3 or 4 second span it determines

1 the actual force required to have an actual tire  
2 brake and after -- few seconds after that we have  
3 numbers that come up on screen in front of me.

4 Q. And typically you are  
5 doing this at the posted speed, correct?

6 A. Typically it is, it is.

7 Q. Not always, but that was  
8 the usual -- that was the usual --

9 A. That was the usual  
10 approach, yes.

11 Q. Typically would you  
12 perform it in mixed traffic?

13 A. Mostly yes, it would.

14 Q. And what do you do if  
15 there's a slow down, a traffic jam something like  
16 that, if it's busy?

17 A. Well, sometimes I'll  
18 wait. If it's serious enough I'll pick another  
19 day to do it on, but typically I'll wait to see --  
20 well, I would initially start going out to the  
21 site knowing that if there is high traffic areas,  
22 low traffic areas where I can conduct this safely  
23 at posted speed. If there was traffic throughout  
24 the day I would -- I would sometimes even test at  
25 a lower speed so I can be with the traffic and do

1 the testing, have results, but mostly at posted  
2 speed limit.

3 Q. And that's one of the  
4 ASTM standards that you were referring to, is the  
5 ASTM E274; right? That's the --

6 A. That's right. That  
7 pertains to the actual unit and how it operates  
8 and the equipment itself.

9 Q. If we can go to image 12,  
10 Registrar.

11 In this slide you refer to a  
12 couple of things. And the second bullet refers to  
13 the film of water that's applied and it says  
14 0.5-millimetre of water thickness is simulated, it  
15 says. Does that mean it's applied?

16 A. Yes, it is.

17 Q. And it says one test  
18 cycle lasts up to a maximum of 4 seconds. "4 s,"  
19 I take that, is that seconds?

20 A. Yes.

21 Q. And do you apply the --  
22 each time the brakes are applied is that done  
23 manually?

24 A. It can be done manually.  
25 It can be done automatically as well. The

1 sections are very short. Some may last maybe 1 or  
2 200 metres. The manual method is definitely used  
3 if they are short sections of -- if there are  
4 concerns on the pavement surface of flushing or  
5 bleeding or other areas that we are concerned with  
6 I could do it manually as well.

7 Sections that are longer than  
8 2 or 3 kilometres I can automatically have a test  
9 done every known distance. Could be every 200  
10 metres, every 500 metres, especially in the long  
11 sections where the pavement surface are  
12 consistent.

13 Q. When you say when the  
14 pavement surface is consistent, what do you mean  
15 by that?

16 A. The same pavement design.

17 Q. I see. Okay. So the  
18 same type of mix on top, is that what you mean?  
19 The same surface mix, whether it's Superpave, 12.5  
20 or SMA or HL1, is that what you mean?

21 A. That's correct.

22 Q. And but typically for  
23 longer ones would you use the automatic distance  
24 spacing approach or manual? What was your usual  
25 practice?

1                   A.    I would -- the longer  
2    sections I would set the distance and it would  
3    test itself automatically.

4                   Q.    Okay.

5                   A.    It was a matter of  
6    utilizing the water I have on board efficiently,  
7    especially in the network type of system or  
8    where -- a lot of travel where I had very few  
9    choices of getting water between sites, especially  
10   northern Ontario.

11                  Q.    I see.  So it's just to  
12   regulate the water so you didn't run out?

13                  A.    Well, in those cases,  
14   yes.  In the very long sections where water was  
15   not that readily available.

16                  Q.    And at image 17, this is  
17   talking about protocol for frequency of  
18   measurements, and the first part talks about  
19   accident inquiry and friction concerns, 30 metres  
20   or less?

21                  A.    Yes.

22                  Q.    And so is that on the  
23   shorter sections that you're talking about when  
24   it's a very targeted --

25                  A.    Usually in the shorter

1 sections where there may be hazard in spots along  
2 the sections.

3 Q. And quality assurance at  
4 200 metres which -- sorry, 200 or 250. The middle  
5 one is aggregate sources selection, 200 metres.  
6 So is that the one that typically would apply if  
7 you were doing the testing at the request of the  
8 soils and aggregate section for DSM purposes?

9 A. It would typically apply.

10 Q. With potentially  
11 exceptions, but that's the usual?

12 A. It could be 200 metres or  
13 slightly less than that. The main point was to  
14 get a representative number of the section, or  
15 average -- representative average of the section.

16 Q. And then project request.  
17 So these are ones where it's -- is it where it's a  
18 newly paved section?

19 A. More or less, yes, yes,  
20 exactly.

21 Q. Go if we can go back to  
22 image 12. I'm wondering how did the reports get  
23 generated out of this? We'll look at a couple  
24 examples from the reports from the Red Hill, but  
25 we know that there's a chart that sets out the

1 individual results and so forth and there's also  
2 -- can be a graph that shows historically -- how  
3 did you generate the results after the report was  
4 completed?

5 A. Okay. They would be put  
6 electronically on a disk in the vehicle and be  
7 collected and copied on. It would be brought into  
8 the office and then I would use various software  
9 to print and create a tabular format and graphical  
10 format of that data to be viewed and to be looked  
11 at with senior management.

12 Q. And it says in the last  
13 bullet "on-board computer computes the dynamic  
14 FN." So that's actually the friction number that  
15 is generated at each point the locked -- the  
16 wheels locked and applied; is that right?

17 A. That's correct.

18 Q. So if we could go to  
19 overview document 4 at image 60, please. This is  
20 the results -- actually I guess and 61, please --  
21 for the Red Hill Valley Parkway, if you could  
22 expand for us please, Registrar, on October 16th,  
23 2007. And it's not the particular results I want  
24 to look at here but just how you -- how you  
25 generated this. Were all of these fields

1 automatically generated or did some of them get --  
2 you have to manually enter?

3 A. No, they automatically  
4 get read by the Excel software that's there, and I  
5 basically enter the distances of the -- every  
6 landmark that I collected, mainly let's say for  
7 example from .49 kilometres from start -- this  
8 part of the street 1.8 kilometres from the start  
9 is Queenston. So I would manually input the  
10 landmark names.

11 But the numbers themselves,  
12 the three columns you see on the far left, they  
13 would automatically be generated by Excel from the  
14 disk brought in from the vehicle into the office  
15 environment.

16 Q. Okay. And what about at  
17 the -- the averages and the minimum, maximum,  
18 standard deviation, are those automatically  
19 generated as well?

20 A. Those automatically  
21 generated from the Excel, yes.

22 Q. And then on the  
23 right-hand column there's comments, and I've seen  
24 in yours typically you would indicate the type of  
25 payment and the conditions and sometimes other

1 details. Would I be correct that those were also  
2 things that you manually entered?

3 A. Yes, I would enter them  
4 manually. Any information pertaining to the site  
5 that should be in the comment column, yes.

6 Q. Okay. And what about in  
7 that -- the rest of it at the top, the pavement  
8 friction survey 2007 and the information about the  
9 location and the limits of the testing and the  
10 temperature, would those -- and the date -- were  
11 those automatic or ones that you entered?

12 A. There were automatic as  
13 well.

14 Q. Okay. Even the site  
15 limits? I would have thought --

16 A. The site limits were  
17 automatic.

18 Q. How would it do that if  
19 you didn't...

20 A. A program in Excel, that  
21 was created by a student actually. He assisted me  
22 in creating this software in Excel that generated  
23 those numbers the way I wanted to.

24 Q. Not the numbers, but I  
25 mean did --

1 A. No --

2 Q. Where it says "Site: CNR  
3 OH structure to Greenhill Avenue (Hamilton)"?

4 A. They were automatically  
5 generated from however it was entered in the  
6 field.

7 Q. Okay. That sounds like  
8 if you knew where you were going to be running it  
9 from that it would go -- right, so it would be  
10 entered in manually what was going to happen and  
11 then it would automatically spit it out into this  
12 report; is that right?

13 A. That's right. It would  
14 be manually entered in the field.

15 Q. Okay. And then put on  
16 the report. But that information -- it's only  
17 then -- if I've understood you correctly, it's  
18 only the information in the distance, speed, and  
19 average FN and then the averages below that, those  
20 are the only things that are entirely  
21 automatically generated; is that right?

22 A. From field to office,  
23 yes. And from field to office, even to the top  
24 portion of the site and location and direction and  
25 lane, that's also automatically generated from the

1 field media to the office media through Excel.

2 Q. Right. But on the  
3 horizontal green band, the second horizontal green  
4 band that has, like, the direction SBL1, lane 1,  
5 the date, the site, all of that stuff, that, if  
6 I've understood you correctly, is manually entered  
7 on the site and then gets generated into this  
8 report; is that right?

9 A. Yes, yes, correct.

10 Q. Okay. And what about the  
11 temperature, same thing?

12 A. Start.

13 Q. At the start you entered  
14 the temperature at the time and then it gets put  
15 onto the report automatically?

16 A. The temperature, if I  
17 recall, the temperature is part of the automatic  
18 printout in the field. I don't enter the  
19 temperature. The temperature is auto-generated,  
20 it's part of the standard, ASTM standard.

21 Q. Because it requires --  
22 the standard requires the temperature to be  
23 recorded?

24 A. Well, it should be  
25 recorded, yes, the temperatures are important.

1 Q. If we could go to  
2 HAM54 -- and I would like the native for this,  
3 please -- HAM54586.

4 This is from data that was  
5 sent well after you left the MTO in 2019 from the  
6 MTO to the City of Hamilton, but it's got the data  
7 from the testing from 2008 through to 2014. And  
8 if we can look at the test data tab. And if you  
9 could scroll a bit so we can see the 2014 data.

10 And so there, this is the 2014  
11 results and it's got GPS coordinates there and  
12 L and M. Is that -- so by that -- what we were  
13 talking about before by 2014, we think 2013  
14 actually, the GPS data was also included?

15 A. Yes.

16 Q. Was that something that  
17 was obviously automatically generated, the same as  
18 the other three columns we looked at?

19 A. Yes, it was.

20 Q. Okay. And if we could  
21 scroll up to the next results. Keep going.

22 So this is 2012 data. And  
23 then in columns L and M we don't have any results  
24 for GPS. So that's what you were talking about.  
25 Before that, before 2014 for the Red Hill results

1 there was no GPS coordinates, correct?

2 A. There wasn't, but I  
3 didn't generate this report.

4 Q. You did not generate it?

5 A. No.

6 Q. That was my next  
7 question. Okay. So this is a report presumably  
8 then generated out of whatever data there was in  
9 the system?

10 A. I'm not sure. Someone  
11 may have gone into it and used the software to get  
12 a new format they wanted. But this doesn't look  
13 like a format that we used.

14 Q. Not the format that you  
15 used?

16 A. That I -- yes.

17 Q. And so then if we  
18 could -- take that down then. Thank you. If we  
19 could look at 2014 results just to deal with the  
20 GPS issue. If we go to the native of MTO 22943.

21 So this is just one of the  
22 lanes. This is northbound lane 1 from the 2014  
23 Red Hill Valley Parkway testing. Take a second to  
24 look at it by all means and tell me when you've  
25 done so.

1                   A.    (Witness reviews  
2 document).  Yes, I have.

3                   Q.    Then I see in here  
4 there's -- as compared to the 2007 results we  
5 already looked at, it does show the distance on  
6 the far left-hand column but then it's got the GPS  
7 coordinates in the next two, latitude and  
8 longitude; is that right?

9                   A.    Correct.

10                  Q.    So this is the format of  
11 the data that you would have seen it in at the  
12 time; is that right?

13                  A.    Yes, correct.

14                  Q.    And you mentioned when I  
15 was asking you about when you got the new trailer  
16 and I guess the new truck to go with it, which you  
17 thought was at some point prior to the getting the  
18 GPS.  And -- sorry, was the GPS, was that part of  
19 the trailer itself or was that affixed to the  
20 truck or how did that work, do you recall?

21                  A.    I believe the GPS was  
22 attached to the trailer, yes.

23                  Q.    Itself?

24                  A.    The hardware.

25                  Q.    And I think you

1 mentioned, I don't want to mischaracterize it,  
2 that you got the GPS around the time that the  
3 trailer was sent to the U.S. for some purpose; is  
4 that right?

5 A. Yes.

6 Q. Sorry, what was that  
7 purpose?

8 A. I think it was for  
9 calibration of the unit itself, the trailer.

10 Q. So when the unit was new,  
11 like when it was first obtained?

12 A. When we first obtained it  
13 the deal was that it would be calibrated there  
14 when we first purchased, but I think it was  
15 decided to have it calibrated a little more  
16 frequently after that.

17 Q. And when you say  
18 calibrated, what do you mean in that context?  
19 Calibrated to what?

20 A. To the ASTM standard  
21 itself to make sure the trailer was working  
22 properly, giving the right numbers on their  
23 pavement sections in Michigan, which they had  
24 many, many of. And they were monitored with their  
25 machines as well, so to make sure our machine

1 numbers would match their machine numbers and all  
2 the instrumentation would be working properly.

3 Q. Okay.

4 A. And it would generate  
5 basically a piece of paper that would show that it  
6 was calibrated on this date.

7 Q. And what about with the  
8 prior device? You had the prior device and then  
9 you got the new one in around 2013, you indicated.  
10 What about calibration between results on the  
11 prior machine and the new machine?

12 A. We would annually,  
13 sometimes even weekly or monthly, we had a number  
14 of sections throughout the province, and because I  
15 was -- I would travel extensively there would have  
16 to be sections that I would be able to compare  
17 historical data with to make sure that the numbers  
18 we were getting one year were very close to the  
19 numbers we got the previous year, and there would  
20 be a correlation with that to make sure it aligned  
21 with what the correlation numbers should give us.  
22 And that was done prior to getting the new system  
23 that we did.

24 Q. Sorry, prior to getting  
25 the?

1                   A.    The new truck, the new  
2 truck which got calibrated right from the start.

3                   Q.    Okay.  But what about as  
4 between the two devices then, did you -- because I  
5 know you would track certain sections, right, as  
6 to how the results of one year compared to prior  
7 years.

8                   A.    Yes.

9                   Q.    How did you correlate  
10 between -- in testing years between the old device  
11 and the new device?

12                  A.    The old-to-new device,  
13 they actually did it in Michigan.  We didn't do  
14 that part of the work.  They -- the purchase of a  
15 new vehicle involved Michigan doing the  
16 calibration testing.

17                  Prior to that, with the old  
18 vehicle, like I told you we would have pavement  
19 sites throughout the province, especially in  
20 southern Ontario, on pavement sites that we knew  
21 numbers were occurring in previous years and we  
22 would compare those numbers.  We had never  
23 compared numbers prior to the new purchase without  
24 the machines.

25                  Q.    Sorry, I'm not sure I

1 understand what you said. Can you repeat that.

2 A. Okay. The new unit that  
3 was purchased, the vehicle you saw in those --  
4 right in that photograph, when it was first  
5 purchased in Michigan the company there was  
6 responsible to make sure that it gave us the  
7 results that ASTM wanted it to spit out. And  
8 that's the machine we received. And because it  
9 was calibrated it was trusted that the numbers we  
10 received throughout the province were the numbers  
11 that followed the standard ASTM.

12 Q. Okay. Just in your  
13 experience, did you find that the new machine  
14 functioned in a comparable way to the old machine?

15 A. I did, I did.

16 Q. Nothing that -- you  
17 didn't find that oh, this seems to be measuring  
18 higher or lower under certain circumstances, you  
19 thought it was reasonably consistent; is that  
20 fair?

21 A. I would say so, yes.

22 Q. You can take this down  
23 Registrar, thank you. And if we could go back to  
24 the presentation at MTO 35791.

25 You mentioned that I think

1 temperature was important and you mentioned that  
2 it was something that was required by the standard  
3 to be mentioned?

4 A. Yes.

5 Q. And we're going to there.  
6 There's a bullet at image 12 that says ambient  
7 temperature above 4 degrees. We can wait for it  
8 to come up, but if you know what that is referring  
9 to?

10 A. Yes, I do.

11 Q. It's the first bullet  
12 there:

13 "At posted speed limits and at  
14 ambient temperatures above 4  
15 degrees Celsius."

16 So was that your practice?

17 A. That was ASTM's practice.  
18 Yes, that was our practice as well.

19 Q. And what was your  
20 understanding about the importance of temperature?

21 A. Well, basically the  
22 higher the temperature the more flexible it became  
23 and pavement (indiscernible) as they get much  
24 warmer and hotter. Anything that hovers around  
25 4 degrees and below might change the dynamics of

1 the pavement surface, and ASTM standard -- I'm  
2 sure they must have set the number at 4 degrees to  
3 ensure consistent numbers that can occur above  
4 4 degrees, 20 degrees, 25 degrees, I think it was  
5 a number that they chose based on the testing that  
6 they did.

7 Q. Assuming temperature is  
8 above 4 degrees when you're performing it, did you  
9 experience differences in results based on  
10 temperature? Is that something that you noticed?

11 A. Maybe in extreme  
12 temperatures you would see it. The numbers could  
13 change because the pavement surfaces become very  
14 hot. Asphalts react differently in very, very  
15 extreme weathers and I would see some differences  
16 at extreme temperatures, yes.

17 Q. Higher or lower at high  
18 temperatures?

19 A. It just depends the  
20 pavement type surface itself.

21 Q. And we've heard that in  
22 some circumstances locked-wheel testers can have  
23 difficulties measuring friction on turns and  
24 curves because there is risk of skidding when the  
25 wheel locks. Is that something that you

1 experienced?

2 A. Well, because only one  
3 wheel locked, the issue of out of control trailer  
4 never happened with me. But it could happen if  
5 trailer that was out there had two tires that were  
6 skidding at the same time, there would be an issue  
7 there. But in my case, in my experience, I never  
8 felt that I was going to be losing a trailer on  
9 the curve, no.

10 Q. It wasn't an issue for  
11 you?

12 A. It wasn't.

13 Q. Go to image 14,  
14 Registrar. This slide is titled "Range of  
15 Friction Numbers," and in the third bullet,  
16 typical FN80 ranges in Ontario, 28 to 50 or does  
17 that mean greater than 28 to 50?

18 A. No, between 28 and 50.

19 Q. Right. And FN80, that  
20 meaning testing at the posted speed of  
21 80 kilometres per hour in that case; is that  
22 right?

23 A. Testing at 80 kilometres  
24 per hour. Could be posted; may not be posted  
25 at 80.

1 Q. Right. Because that's  
2 the default non-posted speed on a highway; is that  
3 right?

4 A. Yes.

5 Q. Does that mean though  
6 that this dataset that that is coming from are  
7 from the -- typically the two-lane highways, not  
8 the 400 series; is that right?

9 A. It could be either/or.  
10 It could be two-lane highways; could be multilane  
11 highways.

12 Q. But in any event, at  
13 80 kilometres per hour?

14 A. Yes.

15 Q. Do you know what that  
16 dataset is from?

17 A. I believe it's from past  
18 reports.

19 Q. But you don't recall  
20 specifically?

21 A. I don't, no.

22 Q. And then the next bullet  
23 says "measured frictional resistance decreases  
24 with increasing tire inflation pressure." And so  
25 is that something that you dealt with, checking

1 for tire pressure regularly?

2 A. Well, the thing is the  
3 there are many consistencies within preparing the  
4 trailer to be used in this testing. One of them  
5 was having the tire temperatures at a very  
6 consistent pressure, 24 PSI, both tires. It was  
7 said that if inflation -- if the tire pressure  
8 inflation was more than that in a cool temperature  
9 where it should be measured then the friction --  
10 would decrease.

11 Q. Right. And so is that  
12 something you would check before -- or deal with  
13 before every test was the tire pressure?

14 A. Many, many times, yes.  
15 In a cool environment.

16 Q. But not --  
17 (Speaker overlap)

18 Q. I interrupted. Go ahead.

19 A. Yeah. It would usually  
20 be done early morning because I would be  
21 travelling throughout the whole day and the tire  
22 temperatures itself, it wouldn't make sense  
23 to keep (indiscernible) set the tire temperatures  
24 at (indiscernible) moments.

25 And these tires were also part

1 of another ASTM standard that we used, which was  
2 A7501.

3 Q. Right. And that's the  
4 ribbed tire standard, right?

5 A. The ribbed tire, yeah.

6 Q. Does that set out as well  
7 the tire pressure, yes?

8 A. Yes.

9 Q. Okay. So if I understood  
10 you correctly about checking it, are you saying  
11 that in -- if I've understood you correctly, and  
12 you tell me if I didn't get it right, but that in  
13 cooler temperatures you would check it often but  
14 otherwise you would check it at the start of the  
15 day; is that right?

16 A. At the start of the day,  
17 yes. It's more correct at the start of the day,  
18 before actually driving.

19 Q. Right. And if it was off  
20 then you would deal with it?

21 A. I would increase the  
22 pressure (speaker overlap) or decrease, yes.

23 Q. The last bullet:  
24 "Overall measured friction  
25 decreases progressively with

1 increasing speed."

2 And is this something that you  
3 were told or learned or something that you found  
4 out through your experience?

5 A. No, I believe it's  
6 documented. I've learned it as well and I have  
7 experienced it as well, yes.

8 Q. Really all three. So  
9 again measurements at 100 kilometres an hour  
10 generally speaking would be lower than at 90 which  
11 would in turn be lower than at 80; is that right?

12 A. I would say on most  
13 pavement types, yes.

14 Q. Okay.

15 A. That's a general rule.  
16 Yes, it is a general rule.

17 Q. Maybe there's exceptions,  
18 but that's generally speaking what you would  
19 expect to see?

20 A. Yes.

21 Q. And did you have a  
22 particular experience about how much difference  
23 10 kilometres per hour increments would cause?  
24 Was there a --

25 (Speaker overlap)

1                   A.    The general rule that I  
2    learned since I was trained on the unit and I  
3    operated it since then was there usually would be  
4    a two SN number difference every 10 kilometres per  
5    hour change.

6                   Q.    Where did you learn that  
7    from?

8                   A.    I learned from my  
9    previous supervisor, and I believe there are some  
10   MTO reports that came out in the early 80s and 90s  
11   that had that in their summaries.

12                  Q.    And sorry, your previous  
13   supervisor, which one are you referring to?

14                  A.    Graham Musgrove.

15                  Q.    Is that way back?

16                  A.    Way back.

17                  Q.    When are you talking?

18                  A.    About early 80s, mid-80s.

19                  Q.    Sorry, that's Graham  
20   Musgrove; is that right?

21                  A.    Graham Musgrove, yes.

22                  Q.    Thank you.   And what  
23   seasonal effects -- did you find your experience  
24   that there could be effects on the measured  
25   friction depending on the time of year that it was

1 taken or (garbled audio) from temperature issues?

2 A. No, I think between  
3 probably 4 degrees and 28 to 29 degrees I think  
4 that it was pretty consistent. And if data was  
5 collected at any temperature, even higher than  
6 that, that is exactly why it was documented on  
7 these reports.

8 Sometimes you would have -- we  
9 would basically test from the month of May to in  
10 certain parts of the province maybe even up to  
11 November at various temperatures. But the thing  
12 is the data collected between temperatures that  
13 were not extreme were pretty well consistent.

14 Q. Right. But then what  
15 about the time of the year? I know sometimes you  
16 did it in April as well, but did the time of year  
17 have an effect? We've heard it can have an effect  
18 based on the winter maintenance so forth and that  
19 sort of thing can cause potentially higher  
20 readings early in the season as opposed to in the  
21 summer or fall?

22 A. I have no experience with  
23 that, no, I don't.

24 Q. Not something that you  
25 noticed?

1 A. No.

2 Q. We've heard quite a bit  
3 about the MTO's use of the friction number 30, or  
4 skid number 30. What was your understanding of  
5 this significance of FN30 within the MTO?

6 A. I think 30 was more a  
7 threshold number, and any numbers below that they  
8 would take a look at the data that was received  
9 and they would decide on maybe doing other surveys  
10 on the site before going to -- or maybe be able to  
11 explain why -- and/or maybe they would ask me to  
12 do the testing to see what condition they were in.

13 Anything above 30 I don't  
14 think -- there still would be certain, but there  
15 wasn't the push to find out if there was a  
16 definite problem pertaining to pavement friction.

17 Q. So if we could go to  
18 overview document 4, image 14, please. And just  
19 on 14 actually, thank you.

20 And 22 is the presentation  
21 that we've already been looking at, just talking  
22 about that. But 23 is an e-mail that you sent on  
23 September 4th, 2013, and in the second paragraph  
24 there you refer to:

25 "Currently, Ontario has a

1 threshold friction requirement  
2 that applies to all pavement  
3 types at all test speeds."

4 Is that the FN30 that you were  
5 just talking about?

6 A. Yes, it is.

7 Q. And if I've understood  
8 you correctly, I think you're saying at the  
9 threshold about at or below which -- and actually  
10 that's first thing -- is it at or below or is it  
11 -- if it's 30 did you -- was your understanding  
12 that the threshold was below 30, or was it 30 and  
13 above?

14 A. It was the threshold was  
15 at 30, so any number below that would be --  
16 decision would be made to further investigate.

17 Q. Okay. And to investigate  
18 do I understand as meaning to look into whether  
19 there is a reason for concern about the pavement  
20 where it's below FN30 and deciding if anything  
21 needs to be done. Is that --

22 A. Well, even below 30 other  
23 things could have been done to determine pavement  
24 performance, not only the friction.

25 Q. Right. So what do you

1 mean?

2 A. There are other machines  
3 within our unit that would measure other pavement  
4 performance indices, and friction using ASTM was  
5 not one of them: Could be roughness, could be  
6 rutting, could be pavement cracking. If they  
7 didn't see a problem there, or maybe if they did,  
8 maybe they would determine well, let's get the  
9 ASTM machine out there to do friction testing.

10 Q. Okay. But then once the  
11 skid tester is out there and does it, that's when  
12 the results are generated that show whether it's  
13 above -- at or above or below FN30.

14 A. That's right.

15 Q. And then to do an  
16 investigation. So I'm wondering at that point,  
17 that's what you're talking about, again in your  
18 experience, A, the investigation to determine  
19 whether this does present any safety issue or not.  
20 Is that what you're talking about?

21 A. Any further  
22 investigation, any concern for further  
23 investigation. Safety is a strong word, but I  
24 would say any further investigation.

25 Q. But where I'm just having

1 a little trouble is you say that if it's below  
2 FN30 there would be a further investigation and  
3 I'm wondering what you mean by that. I can  
4 suggest some things but if....

5 A. I think it's just a  
6 number that was determined by MTO years ago that  
7 maybe it requires further investigation...

8 Q. And you I take it  
9 typically weren't involved in those further  
10 investigations; that was beyond your job?

11 A. That was beyond my job,  
12 yes.

13 Q. Okay. And then you said  
14 that goes back to the 80s. So that was -- you  
15 know, the use of that preceded your operation of  
16 the skid tester; is that right?

17 A. I don't know what  
18 preceded before I started. I can't answer that.  
19 I don't know what preceded when I started, no.

20 Q. Well, it was being used  
21 when you started; is that fair?

22 A. It was being used when I  
23 started operating it full-time.

24 Q. And the use of FN30 as a  
25 threshold as you've described it, is that

1 something that you typically discussed outside the  
2 MTO, or no?

3 A. I did not.

4 Q. And was that something --  
5 so that practice of not sharing it outside the  
6 MTO, was that something that you were instructed  
7 not to do or was that something that was just  
8 understood?

9 A. I think it was just  
10 understood that I was -- no, it was understood --  
11 it was understood that way, yes.

12 Q. So where did you pick  
13 that up from, do you recall?

14 A. Not specifically, no, I  
15 can't.

16 Q. You can take that down,  
17 Registrar, thank you.

18 Just internally to the MTO,  
19 requests for skid testing. I understand that  
20 those can come from a number of sources; is that  
21 right?

22 A. That's correct.

23 Q. So how did they come in?  
24 Can you describe that for us, and from where?

25 A. Well, usually during the

1 winter months, which was a non-testing month, I  
2 would send out requests in a certain format and  
3 forms of request to different areas of the  
4 offices. They could be in (indiscernible)  
5 geotechnical offices, quality assurance sections,  
6 concrete section. And they would then return --  
7 look at what their area of expertise needed to  
8 have evaluated, and they would send me completed  
9 forms which included all the information  
10 pertaining to pavement test sites.

11 Q. I see. And then, as I  
12 gather, the requests to have to be approved by the  
13 head of pavements and foundation; is that right?  
14 Or is that --

15 A. No, they would be shared  
16 with him, but eventually they all were shared with  
17 management and I would be basically allowed to do  
18 whatever it was requested, yes.

19 Q. So if it was internal to  
20 the MTO the testing would be done if they were  
21 requested. Is that --

22 A. Yes.

23 Q. -- right? And so you  
24 would get requests from the various regional  
25 offices, right?

1 A. As well, yeah.

2 Q. And the soils and  
3 aggregate section?

4 A. Yes.

5 Q. For DSM purposes?

6 A. Yes.

7 Q. And geotechnical staff?

8 A. Yes.

9 Q. Sometimes the police,  
10 would they make requests as well externally?

11 A. Not directly to me but we  
12 would are sometime -- that usually would happen to  
13 the geotechnical offices where the police in the  
14 region would go through their channels.

15 Q. Right, and then be made  
16 --

17 A. That was geotechnical  
18 issue.

19 Q. Actually if we could go,  
20 Registrar, back to MTO 35791, image 18, "spot  
21 hazards." And then under "special requests" I see  
22 research/experimental projects, product  
23 evaluations, suspect areas, and municipal  
24 concerns. So that's an additional category?

25 A. Yes, it is.

1 Q. And for the requests from  
2 the regions, was there a standard request form? I  
3 see in the -- we don't have it here -- just sort  
4 of a standard request form where you would have to  
5 fill in here's the location, here is the concern,  
6 the reason for the testing. All of that sort of  
7 stuff; is that right?

8 A. Yes. Included types of  
9 requests in there, but yes, there was a form.

10 Q. And you also periodically  
11 did -- I think you earlier described it as project  
12 testing, but testing done arising out of the SMA  
13 early age low friction issue, you did quite a bit  
14 of testing in that regard; is that right?

15 A. That's one such project,  
16 yes.

17 Q. Okay. With newly laid  
18 SMA, right?

19 A. Yes.

20 Q. And then -- and we'll  
21 come to it in a bit, but you did network testing  
22 in 2013; is that right?

23 A. Yes, I did.

24 Q. So with respect to skid  
25 testing requests by municipalities, were those a

1 common or an uncommon thing?

2 A. They weren't very common.

3 They were not common.

4 Q. Not common. So could  
5 you -- is that something where you would -- where  
6 you would get a few of them in a year or sometimes  
7 would you have years where there were no municipal  
8 requests at all?

9 A. Both ways. Sometimes  
10 there would be one or two a year; sometimes  
11 several years that would go without.

12 Q. Was there any formal  
13 process for dealing with those and how they would  
14 get to you?

15 A. That wouldn't be part of  
16 my job. I wouldn't know how the offices would get  
17 information, but I would assume in the area or the  
18 region that it was in they would probably go  
19 through the channels of whatever MTO office was in  
20 that region.

21 Q. Right. Because that was  
22 MTO people, typically they would know where the  
23 ones in the region, and then that would makes its  
24 way back to pavements and foundations and you; is  
25 that right?

1 A. Yes.

2 Q. And is it fair to say  
3 municipal requests would be followed and testing  
4 conducted so long as you had the capacity to do  
5 it?

6 A. Yes.

7 Q. Do you recall them being  
8 declined on some occasions?

9 A. Yes, I do. Yes, I do,  
10 because of the network level testing, yes.

11 Q. Do you recall that for  
12 other than the Red Hill, testing being declined?

13 A. So as I explained before,  
14 sometimes between the time between municipal  
15 requests would be so long, but prior to that no, I  
16 don't recall.

17 Q. You don't recall one way  
18 or the other or you don't think it happened?

19 A. I don't recall.

20 Q. To change the subject  
21 slightly, did you ever conduct skid testing on the  
22 Lincoln Alexander Parkway in Hamilton? Not the  
23 Red Hill but --

24 A. Yes.

25 Q. That's the east-west

1 portion of the continued -- what's now -- that  
2 runs into the Red Hill but it's the east-west  
3 portion. Do you recall that?

4 A. I can't graphically place  
5 it right now. I don't know it's in the Hamilton  
6 area. I can't -- I don't use it. I haven't used  
7 it since. I can't graphically place it right now.

8 Q. But you recall testing on  
9 the Lincoln Alexander Parkway?

10 A. Yes.

11 Q. Do you recall when that  
12 was?

13 A. It was years prior to  
14 testing of the Red valley.

15 Q. So you first tested the  
16 Red Hill Valley Parkway in 2007, so you mean at  
17 some point years before 2007?

18 A. Yes.

19 Q. We know that the Lincoln  
20 Alexander Parkway opened in 1997 and then the Red  
21 Hill opened in 2007, which is when you first  
22 tested it. So can you place it -- obviously it  
23 was sometime between those two dates. What's your  
24 best recollection as to when that would have  
25 occurred?

1                   A.    I'm sorry, I can't -- I  
2    don't want to guess.  I don't know.  I don't.

3                   Q.    Okay.  So your best -- it  
4    was some years before 2007 when you did that  
5    testing?

6                   A.    Yes, yes, it was.

7                   Q.    Do you recall any other  
8    details around that, around that testing, like how  
9    it was requested, anything like that?

10                  A.    All I remember is there  
11    were concerns about the steel slag in the mix.  
12    That's all....

13                  Q.    So the steel slag  
14    aggregates that were used in the surface course?

15                  A.    Yes.

16                  Q.    And do you recall that  
17    the steel slag was used in all of it or just in  
18    part of the surface course that you were testing?

19                  A.    I don't recall.

20                  Q.    Is that the only detail  
21    that you remember?  Do you recall anything else?

22                  A.    I remember speaking to  
23    some outside staff on-site.

24                  Q.    You mean outside staff at  
25    the City of Hamilton or do you mean consultants?

1 A. Outside staff of MTO.

2 Q. Of MTO. I see. Who were  
3 on-site at the Lincoln Alexander Parkway?

4 A. Yeah, I believe so.

5 Q. Anything else?

6 A. No.

7 Q. We know also that the  
8 City of Hamilton placed SMA pavement on Burlington  
9 Street in the City of Hamilton in October 1999.  
10 You were operating the MTO skid tester in 1999,  
11 right?

12 A. Right, yes.

13 Q. And if we could go to  
14 overview document -- and this is overview  
15 document 3, Registrar, image 13. 12 and 13, I  
16 guess. So according -- it's paragraph 19 at the  
17 bottom image on the left. We don't need to expand  
18 it. And then the three subparagraphs at the top  
19 of the image on the right?

20 So according to a paper that  
21 was published in 2002, the MTO performed skid  
22 resistance testing on the Burlington Street SMA  
23 placement using its ASTM brake force unit in  
24 November '99 and May 2000 and with the average  
25 measurements being between FN44 and FN51. Do you

1 recall conducting that skid testing on  
2 Burlington Street at that time?

3 A. I don't recall. I don't  
4 recall doing it but doesn't mean I didn't do it.  
5 I just don't recall.

6 Q. You did a lot of skid  
7 testing in your career so this one doesn't stick  
8 out for you; is that fair?

9 A. Yes, it doesn't stick  
10 out.

11 Q. But nevertheless, if the  
12 MTO did do the skid testing as referenced in this  
13 paper at that time, would that have been you?

14 A. That's correct.

15 Q. But you just don't have  
16 any recollection of it now?

17 A. I don't.

18 Q. Okay. And the paper  
19 indicates, as I said, that the results were in a  
20 range from FN45 to 51 at a testing speed of  
21 50 kilometres per hour. It's a city street. And  
22 it indicated in the paper that these numbers are  
23 regarded by the MTO to be consistent with mixes  
24 having excellent skid resistance properties. Does  
25 that sound like something you would have said?

1                   A.    I probably would have  
2    said that, yes.

3                   Q.    Do you agree with that  
4    statement?

5                   A.    Yeah.  But you are taking  
6    a look at the results -- friction results at  
7    50 kilometres per hour; not 80 or 90 or 100.

8                   Q.    Yeah.  Right.  So you  
9    would expect them to be higher than if you were  
10   taking them at 100?

11                  A.    Yes.

12                  Q.    But do you agree -- if it  
13   sounds like something you would have said I take  
14   it you would agree with that statement?  You think  
15   it's consistent with excellent skid resistance  
16   properties; is that fair?

17                  A.    I do.

18                  Q.    We know that you did  
19   conduct the skid testing on the Red Hill Valley  
20   Parkway on October 16, 2007, before it opened to  
21   traffic.  Do you recall the arrangements around  
22   that and the actual testing?  Is that one that you  
23   do recall?

24                  A.    The arrangements, I  
25   believe I asked -- because testing prior to

1 opening wasn't that common I wanted to make sure  
2 that it was free of any contamination, any sand,  
3 any equipment, any people, anything that could  
4 make it -- give us numbers that clearly describe  
5 the friction levels of the surface instead of any  
6 other material that may have been on there, and to  
7 make it a safe environment for myself or anyone  
8 that was within the vicinity.

9 Q. Okay. So this was an  
10 unusual one in sense that it hadn't opened yet, it  
11 wasn't being done in mixed traffic and so forth,  
12 so does it stick out in your head a bit for that  
13 reason?

14 A. It does, yes.

15 Q. Registrar, if we could go  
16 to overview document 4, image 53.

17 And we know that you were  
18 involved in making the logistical arrangements for  
19 it with Andro Delos Reyes of Golder Associates  
20 after Chris Raymond of MTO instructed you to do  
21 it. Does that accord with your recollections? Do  
22 you recall that?

23 A. Yes, it does.

24 Q. And in paragraph 123 here  
25 Chris Raymond -- this is on September 27th,

1 2007 -- Mr. Raymond is writing to Dr. Ludomir  
2 Uzarowski of Golder, copying Becca Lane and you.  
3 And he thanks him for providing some information  
4 about rich bottom mix, and he says then that he'll  
5 arrange for Frank Marciello to conduct the skid  
6 testing, and he then he lists information that you  
7 are going to need. And is this all typical  
8 information that you would require in addition to  
9 the issue about it being closed to traffic?

10 A. Yes, of course. Yes.

11 Q. And the reference point  
12 that's referred to there, does that mean the  
13 starting point or?

14 A. Yes, it does.

15 Q. So to know where you are  
16 going to start and what's that location so the  
17 measurements can be taken and the distance can be  
18 tracked from that start point?

19 A. That's right. And it  
20 referenced the end point as well. So start point,  
21 the end point.

22 Q. Did anyone accompany you  
23 for that testing do you recall, or were you by  
24 yourself?

25 A. That's first day I was by

1     myself, yes.

2                             Q.     Is that the usual? Did  
3     you typically conduct the skid testing by  
4     yourself?

5                             A.     Yes, yes, definitely,  
6     yes.

7                             Q.     Most of the time?

8                             A.     99.9 percent of the time,  
9     yes.

10                            Q.     You say that first day I  
11     was by myself. Was there a subsequent day when  
12     you tested the Red Hill when someone went with  
13     you?

14                            A.     There was a subsequent  
15     day where Bob Gorman joined me as a passenger.

16                            Q.     Right, okay. I think we  
17     have some -- he'll speak to that and we have some  
18     photographs I think that he took at the time. So  
19     you actually specifically recall that? Because it  
20     was an unusual event.

21                            A.     It was, but Bob and I  
22     would work closely, especially with section  
23     (indiscernible) came out of his office to make  
24     sure that testing was done in the proper  
25     locations.

1 Q. And did Bob Gorman, did  
2 he ride with you on other occasions when you were  
3 conducting testing for soils and aggregate  
4 requests?

5 A. No.

6 Q. No? That was the only  
7 time you recall him riding with you?

8 A. That's the only time I  
9 can recall, yes.

10 Q. And aside from the road  
11 not being open to traffic, was there anything that  
12 you encountered about it on this instance of the  
13 testing on October 16th, 2007?

14 A. Nothing that really  
15 stands out. I found it to be very easy to  
16 navigate and very comfortable in testing. If  
17 there was a problem I would have reported it.

18 Q. That isn't always the  
19 case; sometimes you do have difficulties; is that  
20 right?

21 A. Well, associated with  
22 traffic, you know, far too much traffic where I  
23 can't post the proper speed limit.

24 Q. Right, no issue (speaker  
25 overlap) where it's open though?

1 A. Yeah.

2 Q. And then if we could go  
3 to image 60 in overview document 4. If you could  
4 expand 137, please.

5 So the next day, October 17th,  
6 2007, you e-mailed the results to Chris Raymond  
7 and Becca Lane, and there's your e-mail. You talk  
8 about the test limits and the date and so forth.  
9 And you only tested the southbound lanes because  
10 of the construction materials; is that right? In  
11 the northbound lanes?

12 A. I'm not sure why I tested  
13 in only one direction. I can't recall why only  
14 one direction was tested.

15 Q. In the third paragraph  
16 you indicated that Dufferin and Philips  
17 Engineering and Andro Delos Reyes are eager for  
18 the results. Do we know that earlier that day  
19 Mr. Delos Reyes e-mailed you and said that  
20 Dufferin and Philips are highly interested. Did  
21 you have any other discussion with Mr. Delos Reyes  
22 about that interest or was it just his e-mail that  
23 you were --

24 A. Just his e-mail that I  
25 remember.

1 Q. And then in the last  
2 paragraph you wrote:

3 "Note: Friction numbers below  
4 30 were collected in areas  
5 situated directly under  
6 overhead structures (least  
7 likely to get weathered)."

8 Do you recall what you based  
9 that statement on? And we'll look at the results  
10 themselves, but the conclusion or the hypothesis  
11 that it was areas least likely to get weathered?

12 A. I just made the  
13 observation that the numbers were lower under the  
14 overhead structures. I don't recall why. I just  
15 made observation that that's where they were  
16 situated.

17 Q. But -- so that sort of  
18 factual one is one thing, but then there's the  
19 least likely to get weathered is sort of -- is a  
20 conclusion or speculation, however you want to  
21 characterize it. Are you saying you just don't  
22 recall where that is from?

23 A. I don't recall.

24 Q. We heard from I think  
25 Chris Raymond and Chris Rogers that there had been

1 a previous instance where there had been a  
2 correlation of this sort and there was a theory  
3 potentially that it was less exposure to sun and  
4 the weather and that meant that there was less  
5 wearing off of the asphalt film. Do you recall  
6 that being discussed?

7 A. I heard that in the past,  
8 yes.

9 Q. You've heard it in other  
10 contexts than the Red Hill, is that what you're  
11 saying?

12 A. In other pavement, other  
13 SMAs.

14 Q. Other SMAs, okay. Is  
15 that potentially what you're talking about there  
16 based on that?

17 A. At that time I didn't  
18 know what the train of thought was. I can't say  
19 yes or no. I can't remember what the train of  
20 thought at the time was to write that statement.

21 Q. Okay. And then if we  
22 could go to the next two images, 61 and 62. If  
23 you could expand them both. Thank you. Just to  
24 make it easier on your eyes and everyone else's.

25 And so these are the results.

1 We already looked at the southbound lane 1 results  
2 on the left that we looked at a little earlier  
3 today, and on the right now we have the southbound  
4 lanes 2. And first of all, again, this is the  
5 typical way in which you reported the results at  
6 the time; is that right?

7 A. That's right.

8 Q. What did you make of  
9 these results at the time, do you recall?

10 A. I knew that they were  
11 results prior to opening of the highway. I knew  
12 that there wasn't enough traffic to affect any of  
13 the numbers at all because there was no abrasive  
14 action put on the highway yet. I -- there were no  
15 conclusions I came up with, no.

16 Q. When you talk about the  
17 that there wasn't enough traffic to affect the  
18 numbers, are you referring to observations that  
19 you had had from your experience that if something  
20 -- if you are testing a pavement right at the  
21 outset after it's been placed that the numbers  
22 typically go up after a time period, is that what  
23 you were talking about?

24 A. On some payment they do.  
25 On some DFCs they do.

1 Q. Right. Is that on some  
2 DFCs? Is that what you said?

3 A. Yes, yes.

4 Q. Dense friction course?

5 A. Yes.

6 Q. Okay. And what about on  
7 SMAs? You had been doing testing on SMAs around  
8 that time period as well?

9 A. Like you stated a few  
10 minutes ago, I mean, it was the AC film that  
11 probably could affect the performance of the  
12 pavement initially. SMA --

13 Q. I appreciate you're not  
14 an engineer and you weren't coming to conclusions  
15 on that stuff. Just again, what your thoughts  
16 were at the time based on your having been  
17 conducting the skid testing on various pavements,  
18 including SMA (ph).

19 A. At that time, initially  
20 no thought at that time. It was very early.

21 Q. Okay. And to come back  
22 to then how you would plot things on these charts.  
23 You had indicated that you would manually enter  
24 the landmarks column and comments column but it's  
25 the landmarks I would like to focus on.

1                   And so these start at the  
2 distance of 0.0 at the CNR structure, the Canadian  
3 National Railway structure, correct?

4                   A.     Correct.

5                   Q.     And does mean that's  
6 where you turn on the skid trailer at that point?

7                   A.     Yes.

8                   Q.     And I gather you are  
9 travelling along, so you switch it on as you pass  
10 under it. Is that how that works?

11                  A.     I switch it on as the  
12 axle, the trailer axle is underneath the structure  
13 landmark, because that's where distance is  
14 measured at.

15                  Q.     Right. It's not in the  
16 front of the truck, it's from the trailer that's  
17 trailing you?

18                  A.     Yes. Yes.

19                  Q.     Okay. And then there's  
20 the results plotted throughout until the end and  
21 showing the distance on the far left. And as you  
22 indicated, just to stay with southbound lane 1 on  
23 the left-hand side, there's a reading of 28.7  
24 beside the landmark reference to Barton Street,  
25 and as well there's one further down at 28.1

1 beside the Queenston Road landmark.

2 And I note that the distance  
3 beside Barton Street -- just to the left of Barton  
4 Street refers to 0.49, whereas on the distance on  
5 the left it's .438. And Queenston, it's --  
6 immediately beside Queenston it's 1.82, whereas on  
7 the far left it's 1.748.

8 Do you know why those are --  
9 why those numbers are different? Can you --

10 A. All I did is I tried to  
11 place the actual distance of -- the number on the  
12 left to the name of structure as close to where it  
13 should fit within the data.

14 Q. But how do you do that?  
15 I'm just wondering how do you know -- because  
16 that's a -- under the distance landmarks that's a  
17 manually entered figure, correct?

18 A. Yes, it is.

19 Q. So where do you get that  
20 number from, like, for Queenston of 1.82?

21 A. As I would be driving  
22 along I would actually -- there's the odometer  
23 that the pavement -- that the trailer is  
24 collecting -- that information is collected by the  
25 trailer, is giving me a reading in three

1 significant digits. I would actually manually  
2 write down that number, right, when I was below or  
3 above the landmark and I would note it in my  
4 notes, my field notes.

5 Q. I see. While you're  
6 driving?

7 A. While I'm driving. Oh,  
8 and also there is many cases where in situations  
9 like this I would do a dry run where I would only  
10 collect the distances of each one of landmarks  
11 without doing testing. I would just have the  
12 odometer running, no testing involved, so I can  
13 concentrate on just one thing.

14 Q. I see. Okay. And do you  
15 recall doing that here or no?

16 A. I believe it did that  
17 here. It was a totally new section for me. I  
18 wasn't familiar with it, I'm sure I did that here.

19 Q. Okay. So then to  
20 clarify, so on the left-hand side the distance is  
21 the automatically generated distance by the skid  
22 trailer itself, and under the distance landmark  
23 section, that's what you -- in this case you  
24 believe on a separate run prior to doing the skid  
25 testing itself recorded as you passed under each

1 landmark; is that right?

2 A. Yes, exactly.

3 Q. And that again was your  
4 typical practice (speaker overlap) either during  
5 or before in a separate run or during, one or the  
6 other?

7 A. If I was unfamiliar with  
8 the surroundings usually I would do it on a  
9 separate run.

10 Q. If I've understood it  
11 correctly then on this particular one, both of  
12 them, when you're indicating that they are  
13 underneath a structure. It's not exact; right?  
14 That's not an exact correlation between  
15 automatically -- so we'll just use Queenston  
16 first. I will back up. Use Queenston. The  
17 automatically generated distance number is 1.748,  
18 and that's 1.748 kilometres, right?

19 A. I'm sorry, where do you  
20 see that?

21 Q. So beside Queenston Road  
22 in the far left column, right, that's 1.748  
23 kilometres distance from the starting point,  
24 correct?

25 A. Yes, that point, yes,

1 that distance, yes.

2 Q. And that's where it's  
3 taking the measurement automatically of 28.1?

4 A. Yes.

5 Q. And for -- immediately to  
6 the right of that, the 1.82 for Queenston is what  
7 you took manually and you believe in this instance  
8 at the earlier -- when you did the earlier run  
9 before doing the actual skid testing, right?

10 A. Yes.

11 Q. So if I understand  
12 correctly, it's not an exact correlation between  
13 the two; there's a distance of some 70 metres, do  
14 I have that right, 70 metres between them; is that  
15 right?

16 A. This is on the far left  
17 the 1.748 pertains to the actual numbers that were  
18 collected, the actual friction number and the  
19 speed. That's all that distance pertains to, is  
20 the distances -- or the speed and the friction  
21 number. The 1.82 doesn't necessarily match 1.748  
22 because it was in a different location.

23 Q. Right.

24 A. Okay.

25 Q. I get that. So I'm just

1 coming back to the comment that you made in your  
2 e-mail about the numbers under 30 in areas  
3 situated directly under overhead structures. I'm  
4 just trying -- am I right that they weren't  
5 necessarily right under it? Is that fair or not?

6 A. It's very possible  
7 because at a speed of 90 kilometres per hour in  
8 3 or 4 seconds you are travelling quite a distance  
9 to collect that information. It could have been  
10 within. I'm not sure. It could have been site --  
11 or it could have been within.

12 Q. Could be either. Or any  
13 of the three. And I guess when it says "average  
14 FN" in the third, that's the average of the FN  
15 number collected over that 4-second time period?

16 A. Exactly. Exactly.

17 Q. I don't think we need go  
18 through the rest, but is it fair to say the same  
19 would apply to the rest of those -- there's five  
20 in total between the two lanes that are -- where  
21 the number is slightly under 30, in the 28s or  
22 29s. The same analysis applies to each of those  
23 as to how you took the number down, the distance  
24 number down manually versus the automatically  
25 generated number on the left the same in each

1 instance?

2 A. They are both collected  
3 in exactly the same way, yes. It's all  
4 consistently done.

5 Q. You sent your e-mail that  
6 we already looked at to Ms. Lane and Mr. Raymond.  
7 Is there anyone else that you communicated the  
8 results to that you recall?

9 A. I can't recall, no.

10 Q. What was your usual  
11 practice?

12 A. Usual practice is to send  
13 the results to the people who initially requested  
14 at MTO.

15 Q. Right. And you don't  
16 recall doing anything outside of that normal  
17 practice here; is that right?

18 A. No, no.

19 Q. In this instance is that  
20 because Mr. Raymond and Ms. Lane were involved in  
21 the e-mails where you were asked to arrange the  
22 testing? And Ms. Lane I guess was your supervisor  
23 at that point in time as the head of pavements?

24 A. Yes, she was.

25 Q. And that's why you sent

1 it to them?

2 A. Yes.

3 Q. You can take that down,  
4 Registrar, thank you.

5 The testing in the subsequent  
6 years on the Red Hill, 2008 through '14, except  
7 for 2013, we understand that those were conducted  
8 at the request of the soils and aggregates  
9 section. Does that accord with your recollection?

10 A. Yes.

11 Q. Did you personally ever  
12 advise the City of Hamilton or any of its  
13 representatives that you were conducting that  
14 testing?

15 A. No, I did not.

16 Q. And did you ever have any  
17 discussion with anyone in the MTO, whether it was  
18 your -- in the soils and aggregates section or in  
19 pavements and foundations about communicating with  
20 the City of Hamilton about that testing?

21 A. No.

22 Q. Just wasn't any  
23 discussion one way or the other?

24 A. That was beyond my  
25 responsibility, that was their call.

1 Q. I understand that. I'm  
2 just -- for example, did anyone say to you, you  
3 know, you don't need to make any arrangements with  
4 the City of Hamilton about this?

5 A. As far as testing and  
6 finding out information about the test site  
7 itself, which I did and which I -- I'm sorry, can  
8 you ask the question again.

9 Q. Did anyone say to you or  
10 suggest to you that you did not need to contact  
11 the City of Hamilton to make arrangements or to  
12 get permission to do the testing?

13 A. No, that wasn't discussed  
14 at all from anyone.

15 Q. Okay. So you were  
16 just -- you were just told these are the -- to do  
17 the testing and you did it?

18 A. Yes.

19 Q. Okay. And did you send  
20 any of the test results in any of those years to  
21 Hamilton or Golder Associates or anyone else  
22 outside of the MTO?

23 A. No, I did not.

24 Q. Okay. If we could go to  
25 overview document 4, images 87 and 88.

1                   And this is in 2009. You  
2     conducted testing in 2008, but in 2009 if you look  
3     at paragraphs 201 through 204, you conducted the  
4     testing on May 7th, 2009. And in 2002 you express  
5     in an e-mail with the results to Mr. Senior,  
6     that's Stephen Senior in soils and aggregates, Bob  
7     Gorman in soils and aggregates and copying  
8     Ms. Lane stating:

9                   "Gentlemen, might to early to  
10                   tell but it appears that  
11                   friction level/trends may be  
12                   starting to decline with  
13                   time." (As read).

14                   And then in 203 Mr. Senior  
15     replies on May 11th indicating:

16                   "Frank, both Bob and I agree  
17                   there is no clear indication  
18                   of any early trend to the  
19                   data. Maybe you just have a  
20                   gut feeling for what's going  
21                   on out there. Time will  
22                   tell." (As read).

23                   And then says:

24                   "We will be sending out a  
25                   notice regarding conditional

1 approval of the source pending  
2 satisfactory performance of  
3 the pavement and of the source  
4 materials. Thanks for  
5 everything." (As read)

6 And then you reply in  
7 paragraph 204 on the top that "conditional is the  
8 key word." (As read)

9 And do you recall what you  
10 meant by that?

11 A. No, I don't. I don't.

12 Q. Was conditional -- did  
13 you have any knowledge of whether DSM approvals  
14 were always conditional? Was that something that  
15 you had any insight into one way or the other?

16 A. No, I had no -- no, no, I  
17 had nothing to do with that, no.

18 Q. Okay. And you don't  
19 recall why you responded in that way?

20 A. I don't.

21 Q. Okay. And am I correct  
22 that in all the years after 2007, which we've  
23 already discussed, but in all the years on the Red  
24 Hill you conducted the testing at or about the  
25 posted speed except for one year in 2010? Is that

1 right?

2 A. That's right.

3 Q. Okay. And we'll get to  
4 that in a moment, about the 2010 results, but were  
5 you aware of anyone else in the -- I asked you  
6 before about whether you sent the results to  
7 anyone else outside the MTO or talked to anyone  
8 outside the MTO. Do you know if anyone else  
9 communicated the Red Hill skid testing results to  
10 anyone outside the MTO?

11 A. I'm not aware of that,  
12 no.

13 Q. Okay. And are you aware  
14 of anyone other than the MTO being aware of the  
15 testing that you conducted?

16 A. No, I'm not aware of that  
17 either.

18 Q. If we could go to  
19 overview document 4, just to the next page, 89.  
20 Maybe 90. Okay.

21 In paragraph 210 you'll see  
22 that on April 1st, 2010 you e-mailed the results  
23 from your March 31st, 2010 friction tests to,  
24 again, Mr. Gorman, Ms. Lane and Mr. Senior, and --  
25 again, this is about the Red Hill results, and you

1 in second sentence:

2 "The attached read-only files  
3 show a decline in friction in  
4 the NB --" meaning northbound  
5 lanes "-- averaging 5FN. Some  
6 values are at or below FN100  
7 of 30. SB --" meaning  
8 southbound lanes "-- performed  
9 at similar levels mid 30s as  
10 in 2009."

11 And then you'll see above in  
12 211 (sic) the same day Mr. Gorman replied:

13 "We will have to watch this  
14 one. Maybe do again after the  
15 summer?"

16 And then you agreed.

17 And so first of all, do you  
18 recall the test speed on -- that you performed  
19 this at?

20 A. Well, I don't recall the  
21 test speed but I'm just looking -- I'd have to see  
22 the report that --

23 Q. Okay.

24 A. -- they reported.

25 Q. Okay. Yeah. And we can

1 go to that, which is -- go to the first one,  
2 MTO 34298. So this is -- this is what's attached  
3 to the e-mail that you sent within the MTO, and  
4 under "Speed," the second column, it's all at or  
5 around a hundred. Do you see that?

6 A. Hm-hmm.

7 Q. And the other ones are  
8 the same. And I mean, the documents indicate that  
9 you didn't know at the -- didn't notice at the  
10 time. It was the next year that you recognized  
11 that you had performed testing at the higher  
12 speed. Do you recall that issue now?

13 A. I recall -- I recall the  
14 issue, yes.

15 Q. Okay. And we'll get to  
16 that next year. I just wanted to place you there.  
17 But do you recall why there wasn't further testing  
18 done in 2010 given your e-mails with Mr. Gorman?

19 A. I can't recall why there  
20 wasn't any further testing, no.

21 Q. Okay. So given the drop  
22 that you referred to, you indicated that a decline  
23 in the northbound lanes averaging 5FN. Was that  
24 something that concerned you that you highlighted  
25 it?

1                   A.    Yes, it was something  
2    that concerned me, yes.

3                   Q.    Okay.  And how so?

4                   A.    The numbers hovered above  
5    that threshold number, and I think it was a good  
6    observation to share.  So I think that's probably  
7    the only reason why I reported it.

8                   Q.    Yeah.  I mean, it was  
9    something that I take it you thought was unusual  
10   for it to be a drop of that number over one year?  
11   In your --

12                  A.    Yes.

13                  Q.    -- experience.  Yes?

14                  A.    Yes.

15                  Q.    Okay.  And then if we  
16   could go to image 90 of overview document 4.  It's  
17   paragraphs 212 and 213 that I'm interested in.  If  
18   you could expand those.

19                                So on the same year a number  
20   of months later on November 15, 2010 you e-mailed  
21   Ms. Lane, and you can read it there.  You provide  
22   some history and contact information of  
23   Dr. Uzarowski and Andro Delos Reyes and indicate  
24   that:

25                                "Northbound lanes have shown

1 declining friction performance  
2 properties from the start,  
3 while southbound lanes  
4 improved in the first year and  
5 then started declining  
6 afterwards."

7 And then she responds in 213:  
8 "Good stuff, Frank - thank  
9 you. Perhaps I'll call  
10 Ludomir for a City of Hamilton  
11 contact."

12 She then asked you for the  
13 most recent Red Hill Valley Parkway friction test  
14 results from 2010, which we looked at already.  
15 One of the lanes, but that's what we were just  
16 talking about, and then you provided those to her.

17 Do you recall what led to this  
18 this e-mail exchange, and why you sent this  
19 information to her?

20 A. No. I think it was --  
21 no, I don't recall.

22 Q. Okay. Just based on this  
23 you wouldn't have sent this to her out of the  
24 blue, though. You would agree that there must  
25 have been some discussion beforehand, right?

1 A. Yeah, I agree with that.

2 It was just an observation that I thought that  
3 they should know.

4 Q. Okay. No, I get that.

5 But I'm wondering if you would have sent this  
6 e-mail to Ms. Lane without having a prior  
7 discussion with her, or would you have talked to  
8 her and let her know about it and then sent her  
9 the e-mail?

10 A. I don't recall. I don't  
11 recall.

12 Q. Okay. It just seems  
13 unlikely that you would just send it to her, and  
14 then she says "good stuff, Frank." I mean, if it  
15 was out of the blue without any context, she might  
16 be saying why are you telling me this, right? Is  
17 that fair?

18 A. What she says I can't  
19 control, but I just -- on my end of it I just sent  
20 her my observations; that's all.

21 Q. And, again, I think  
22 you -- you said it's an observation that you  
23 thought they should know. Is that because you did  
24 have a concern with it at that point given the  
25 drop in FN?

1                   A.    I thought they should  
2    know that --

3                   Q.    Right, and then do with  
4    it what was necessary, but there's something  
5    that -- based on your experience that caused you  
6    to think that they should know, right?  And you  
7    had already let Ms. Lane know.  You had sent this  
8    to her back earlier in April, right?  There's --  
9    the 2010 test results?

10                  A.    Yes.  It looks like it  
11    was a concern for me.

12                  Q.    Okay.  But you don't have  
13    any specific recollections around any  
14    conversations that occurred that led you to  
15    sending it?

16                  A.    No, no, I don't.

17                  Q.    In Ms. Lane's response  
18    she says she --

19                                "Perhaps I will call Ludomir  
20    for the City of Hamilton  
21    contact."

22                  Do you know if there was any  
23    follow-up one way or the other?

24                  A.    No, I don't.

25                  Q.    And if we could go --

1 thank you. If you could take that down. And if  
2 we could go to image 92 and paragraph 219.

3 These are the 2011 results.

4 And on May 25th, 2011 you performed the friction  
5 testing on the Red Hill, and on May 26th, 2011 you  
6 e-mailed the results to Mr. Senior, Mr. Gorman and  
7 Ms. Lane. And this letter is talking about before  
8 that, although you're sending them the 2011  
9 results, you indicate that the data for 2010 was  
10 collected and reported at 100 kilometres an hour  
11 is what we had just discussed. That's  
12 10 kilometres an hour over all the other years'  
13 collection speed.

14 "That would definitely explain  
15 why this SMA's performance  
16 dropped significantly last  
17 year. I made and reported an  
18 adjustment to 2010 data in the  
19 data below." (As read)

20 So we can look at them, but do  
21 I understand this correctly is what you were doing  
22 is -- because each year when you were showing the  
23 results, you would show the results from prior  
24 years too on the graph, right?

25 A. Hm-mmm.

1 Q. Is that right?

2 A. Yes.

3 Q. And so you made an  
4 adjustment to that historical information for  
5 2010, right?

6 A. Yes.

7 Q. Okay. And am I correct  
8 that what you did was you increased the 2010  
9 results by 2FN? Is that right? We can go and  
10 look at it, but if you recall --

11 A. Yes.

12 Q. Is that right?

13 A. That's right.

14 Q. Like each of the average  
15 numbers you increased by two FN. Oh, sorry, I  
16 guess it was the --

17 A. They should have been  
18 increased (speaker overlap) so yeah.

19 Q. Okay. I think that is  
20 what it indicates.

21 And that's based on what we  
22 were discussing earlier was your -- the general  
23 understanding that -- of the higher the test speed  
24 the lower the FN and of -- two FN being the number  
25 that you understood that was appropriate to

1 consider the difference between -- for every  
2 10 kilometre per hour difference; is that right?

3 A. That's right.

4 MR. LEWIS: Commissioner, it  
5 is 11:30 which is our typical break time. I  
6 think -- I don't have very much to go. I just  
7 need to clean up my notes. I think I covered a  
8 lot of things early on that I thought I might  
9 cover later. I think I will have a few more  
10 questions, but if we could -- if it's all right  
11 with you to take our break, and I could confer  
12 with counsel about their timing.

13 JUSTICE WILTON-SIEGEL: Fine.

14 So why don't we take a 15-minute break. We'll  
15 stand adjourned until quarter to 12:00.

16 --- Recess taken at 11:30 a.m.

17 --- Upon resuming at 11:46 a.m.

18 MR. LEWIS: We're back. May I  
19 proceed, Commissioner?

20 JUSTICE WILTON-SIEGEL: Please  
21 proceed.

22 MR. LEWIS: Thank you.

23 BY MR. LEWIS:

24 Q. So, Mr. Marciello, we  
25 were just talking about the 2010 and 2011 Red Hill

1 results, and skid test results, and we know that  
2 you also did some testing -- did skid testing on  
3 it in 2012 but not in 2013. And do you recall why  
4 there was no Red Hill testing in 2013?

5 A. It could have been two  
6 things. Could have been the introduction of the  
7 network of level testing, or the test vehicle  
8 could have been being upgraded in Michigan.

9 Q. Okay. So you don't  
10 actually -- you don't know one way or the other,  
11 but those are the two things that you speculate;  
12 is that fair?

13 A. Yes.

14 Q. Okay. And when would it  
15 go -- the machine go for testing or calibration in  
16 Michigan? In the off-season clearly, but would  
17 that be in the winter or was it also done during  
18 the season sometimes?

19 A. It's got to be done  
20 during the season because they use it under the  
21 nascent (ph) conditions to conduct their  
22 calibrations.

23 Q. Oh, I see. Okay. So it  
24 wouldn't be like in January. It would be at some  
25 point during the actual testing season?

1                   A.    Yeah.  They would not be  
2   able to do any outdoor testing in January.

3                   Q.    I see.  Because they're  
4   calibrating actually in the field or on a test  
5   strip of some sort?

6                   A.    Yes.

7                   Q.    I see.  Okay.  But you  
8   don't recall specifically the reason one way or  
9   the other?

10                  A.    I do not.

11                  Q.    Okay.  And am I correct,  
12   though, that in 2013 the network testing that you  
13   were directed to do was occupying most of your  
14   time?

15                  A.    Yes.

16                  Q.    Okay.  And there was  
17   100-and-some-odd pavements that you had to test,  
18   skid test, as part of that; is that right?

19                  A.    Yes, and there were more  
20   being added.

21                  Q.    Right.  And I think we  
22   have the numbers at some part, but they're -- in  
23   some place.  I don't need to go to them right now.  
24   Am I correct also that those were located in  
25   various places throughout the province?

1 A. Correct.

2 Q. And were you aware in  
3 late 2013 of any request being made by Golder  
4 Associates to your boss at the time, Stephen Lee  
5 to perform friction testing on the Red Hill?

6 A. I was aware.

7 Q. Sorry, you were aware?

8 A. I was aware.

9 Q. At the time that there  
10 was a request?

11 A. At the time.

12 Q. Okay. And who made you  
13 aware?

14 A. Stephen Lee.

15 Q. And -- okay. So -- and  
16 do you recall what he said to you?

17 A. I don't know if he said  
18 it to me or to Golder, but we were too busy.

19 Q. Okay. So I'm going to  
20 take you to -- one moment -- Golder 4467. So this  
21 is an e-mail chain. If you could bring up the  
22 second page of that as well, please.

23 So this is an e-mail chain  
24 from October of 2013 where Vimy Henderson, who is  
25 an engineer at Golder Associates on October 4th,

1 requests to Stephen Lee -- that's on the  
2 right-hand page -- that Hamilton wants to have  
3 some friction testing carried out and asks if he's  
4 available to discuss. She follows up on  
5 October 29th on the -- oh, sorry, Stephen Lee  
6 writes back October 7th:

7 "Let us know the scope and  
8 timing to see if we can  
9 accommodate it." (As read)

10 And then on the left-hand page  
11 on the October 29th Vimy Henderson follows up,  
12 gives some more information about it, about  
13 testing the Red Hill Valley Parkway and the LINC,  
14 and then Stephen Lee responds and says:

15 "We're behind in our friction  
16 network level work and  
17 performance-based  
18 specification testing.

19 Recommend you get a quotation  
20 from ARA that has the same  
21 equipment or other that have  
22 different friction equipment.  
23 Sorry, we will not be able to  
24 accommodate this for this  
25 season." (As read).

1                   And then:  
2                   "Some friction testing methods  
3                   are sensitive to ambient/pavement  
4                   temperatures."  
5                   So this is the written  
6 request. You're not copied on any of this. Are  
7 you saying that Mr. Lee made you aware of this  
8 request?

9                   A. All I remember from him  
10 was him telling me that I had no time for that  
11 work, that I was busy doing this network level  
12 testing.

13                  Q. Okay. So you actually  
14 recall him advising you, though, of a request for  
15 testing on the Red Hill Valley Parkway, but that  
16 you didn't have time for it?

17                  A. Yes, I do.

18                  Q. Okay. And you think  
19 that -- do you think this was around that time in  
20 October 2013? Is that your recollection?

21                  A. I remember the  
22 discussion. I can't place the dates there, but I  
23 remember that wording, the discussion, yes.

24                  Q. Okay.

25                  A. It can't place the date.

1 It's -- no.

2 Q. Okay. Well, why don't we  
3 put it a another way which is that, do you think  
4 it was at around the time the request was made?

5 A. I don't know.

6 Q. You can't remember?

7 A. No, no.

8 Q. Okay. Do you recall if  
9 the -- if you were still doing the network testing  
10 at that point in October 2013? You talked about  
11 other things -- new roads being added and so  
12 forth.

13 A. I may have been. It  
14 seems late in the season, but it may have been in  
15 southern Ontario.

16 Q. Okay. The distinction  
17 being in northern Ontario you likely wouldn't have  
18 been doing it because it's colder up there, and  
19 you'd be -- could be covered in snow?

20 A. That's correct, or just  
21 to be colder.

22 Q. Yeah. Below the  
23 temperature at which you would perform it in any  
24 event?

25 A. Hm-hmm.

1 Q. Give me one second.

2 A. Sure.

3 Q. Do you recall if you had  
4 any discussion with Mr. Lee at the time of the  
5 discussion you just mentioned about you having  
6 done prior skid testing on the Red Hill?

7 A. Can't recall.

8 Q. Can't recall one way or  
9 the other?

10 A. No, I can't.

11 Q. Okay. All right. If we  
12 could go to overview document 4, image 96.

13 And this is the 2014 testing  
14 on the Red Hill that you did, which you conducted  
15 on July 12th and July 23rd, 2014. Do you have any  
16 recollection of why you did it on two different  
17 days, one northbound lane on the 12th and then the  
18 remaining three on the 23rd. Do you recall?

19 A. I don't remember why.

20 Q. Okay. Once again, you've  
21 tested a lot of roads over the years.

22 A. It could be one of the  
23 possibilities.

24 Q. Right. And then in  
25 paragraph 230 on the 25th of July, 2014 you

1 e-mailed to Mr. Senior, copying to Mr. Gorman and  
2 Mr. Lee, who was at that point your -- the head of  
3 pavements and foundations as he was in 2013,  
4 attaching the skid test results, and that's your  
5 e-mail that's reproduced there. Take a moment to  
6 look at that.

7 A. Sure. (Witness reviews  
8 document).

9 Q. Let me know when you've  
10 looked at it.

11 A. I'm done. Go ahead.

12 Q. Okay. And do you recall  
13 why you sent the comparison average information?  
14 I mean, it's a comparison between 2008 in each  
15 land the average numbers with the average numbers  
16 by lane in 2014.

17 A. I don't recall why, no.

18 Q. Okay. You don't recall  
19 whether or not you had a discussion with any of  
20 those individuals before --

21 A. No. No, no, I don't  
22 recall, no. I mean, I've reported this  
23 information many, many times in this way, so it's  
24 just -- wasn't anything new.

25 Q. Okay. So when you had --

1 you mean when had historical information and there  
2 was -- and you were -- so there was a comparison  
3 to be made, it was something that you would do is  
4 to provide the comparison in the covering e-mail?

5 A. Sure, many times before.

6 Q. Okay. And if we could go  
7 to the next two images, 97 and 98.

8 And these are the charted  
9 results or graphed results, I guess, and we can go  
10 to some -- we can go after to some of the  
11 pinpointed results as well, but -- and you let me  
12 know if there's anything in particular that you  
13 want to look at in the underlying documents, but  
14 these are each of the lanes. And if you want to  
15 just have a look at them, if you tell me when  
16 you're ready to go.

17 A. (Witness reviews  
18 document). I don't see lane direction.

19 Q. Right. And so -- and I  
20 guess based on what you said before, if I'm  
21 correct that would have been information that you  
22 would have entered in manually on this, right? So  
23 if you didn't enter it, then it wouldn't be there;  
24 is that right?

25 A. Yeah. I'm surprised it's

1 not there.

2 Q. Okay. Well, we can tell  
3 at least, and you tell me if I'm wrong, that it's  
4 the southbound lanes on the right because it shows  
5 the data from 2007, and that's what you performed  
6 in 2007 only in the southbound lanes, right?

7 A. I don't know for sure  
8 because I'm -- I just don't see what should there.

9 Q. That's okay. Well, we  
10 can go to the underlying documents. Do you recall  
11 forming a view of the results at the time?

12 A. Yes. I recall putting  
13 things together, of course.

14 Q. Okay. And what -- sorry,  
15 by "putting things together," you mean assembling  
16 the data or that you --

17 A. Yes.

18 Q. Okay.

19 A. Yes.

20 Q. But I'm asking, did you  
21 form a view of the -- meaning an opinion or a --  
22 or did you assign a particular meaning to these  
23 results?

24 A. No, no, I didn't.

25 Q. Did you specifically form

1 a view about the decline in values over time that  
2 are shown here?

3 A. I don't recall.

4 Q. You may have, but you  
5 don't recall?

6 A. I just don't recall. I  
7 mean, I've done it so many times I just can't  
8 recall. I mean, I -- is there an e-mail that went  
9 with this information to the describe it --

10 Q. Yes.

11 A. -- or I just --

12 Q. Yeah, that's --

13 A. -- I just -- I don't  
14 recall.

15 Q. Yeah, that's what we just  
16 looked at. If we could go back to the -- we'll go  
17 to the actual documents. If you could go back to  
18 image (indiscernible), please.

19 (Speaker overlap)

20 Q. Yeah, the covering  
21 e-mail. That's what we just looked at before.

22 A. Okay. So some --

23 Q. Image 96, please,  
24 Registrar.

25 That's in --

1                   A.    Okay.  I understand.  I  
2 understand now.

3                   Q.    Yeah.  That's your  
4 e-mail, and, you know, you're going back to the  
5 the 2008 results compared to the 2014 results  
6 which show a drop in FN of -- by lane, of eight in  
7 three instances and seven in the instance of one  
8 being the southbound lane 2.

9                   A.    Yes.

10                  Q.    Right.  And so is that  
11 something that you formed a view about?

12                  A.    Yes.

13                  Q.    And what was that?

14                  A.    That the friction levels  
15 were continuing to drop from between those two  
16 years.

17                  Q.    And is that something  
18 that you saw as significant or insignificant?

19                  A.    I just thought it should  
20 be reported.

21                  Q.    Right.  It was enough of  
22 a drop that you thought it was worthwhile noting;  
23 is that fair?

24                  A.    Yes,  I would say so.

25                  Q.    Okay.  And is that

1 because it was in some respect unusual in your  
2 experience?

3 A. It was not unusual for --  
4 yes, it was unusual; yes, it was.

5 Q. Sorry, it was unusual?

6 A. It was unusual.

7 Q. And compared to what?

8 A. Other SMAs.

9 Q. Over that time period,  
10 that sort of time period over a number of years?

11 A. I can't really recall the  
12 specifics of the others, but it would come to  
13 mind.

14 Q. Okay. And what about  
15 other surface courses?

16 A. No, no. No, I wouldn't  
17 be able to compare with other surface courses.

18 Q. Okay. And if we could go  
19 to the native of -- Registrar, of MTO 22944.

20 A. I'm sorry, what do you  
21 mean by "native"?

22 Q. Oh, I'm -- this is just  
23 for the Registrar. It's just because of the  
24 native format. Because we can pull them up as a  
25 PDF, but the native format being actually in the

1 Excel format we can actually see the results  
2 better and toggle between tabs.

3 So MTO 22944, please,  
4 Registrar.

5 So this is the northbound  
6 lane 2 results, and we were just looking at this  
7 in the overview document as one of the graphs.  
8 And if we could then look at the tab "Detailed."  
9 And we see there it also says "northbound lane 2."

10 A. Hm-mmm.

11 Q. And there are, if I'm  
12 reading it correctly, five readings -- sorry, the  
13 overall average is over 30; it's 30.7. And  
14 there's five readings below that, and four of  
15 those are under 28.

16 And so when you sent your  
17 comments to -- in your e-mail, when you were  
18 noting the overall -- the average drop, were you  
19 also considering the individual readings or is it  
20 just the average that you were concerned about?

21 A. I was looking at the  
22 individual readings as well.

23 Q. As well?

24 A. Yeah.

25 Q. Okay. And what did you

1 take from the individual readings? Do you recall?

2 A. I found just that, what  
3 you said, there were numbers below 30 that made up  
4 that average overall.

5 Q. Okay.

6 A. Obviously the difference  
7 between the min and max were quite a bit there,  
8 so --

9 Q. And what about --

10 A. -- I was looking at the  
11 overall picture.

12 Q. The overall picture,  
13 okay.

14 And from your perspective was  
15 that a -- for those results, a concern or  
16 something that you simply felt was significant  
17 enough that it needed to be reported to your  
18 superiors?

19 A. It was significant enough  
20 it should have been reported.

21 Q. Okay. And if we could  
22 just go to one other of the lanes because this is  
23 the one that's not labelled. It's MTO 22946.

24 And you'll see on this one on  
25 the detailed chart it does not indicate that it's

1 the southbound lane 2, but going to the -- if we  
2 go back to the chart, Registrar -- it says, SBL2,  
3 southbound lane 2. So did you have to populate  
4 each one of those or should it have done it  
5 automatically? Do you know?

6 A. You know what, it should  
7 have done it automatically. I can't explain what  
8 happened there.

9 Q. Okay. And if we could go  
10 back then to the "detail" tab.

11 And it's just a little  
12 different. I just want to talk about the distance  
13 in kilometres on the left-hand side versus the  
14 distance in landmarks in the column second from  
15 the right. And now we've got the GPS data as well  
16 which we've talked about before, right?

17 And I'm just looking at the  
18 landmarks again. And so the landmark distance are  
19 the ones that you manually entered as you  
20 describe -- as you passed under it --

21 A. Yes.

22 Q. -- whether it was done at  
23 the time of the skid testing itself on a prior  
24 run, correct?

25 A. Right.

1 Q. Or would you have just  
2 populated these from the prior ones once you had  
3 them? If you're testing the same stretch, would  
4 you just populate it from the prior stretch?

5 A. I would say so, yes.

6 Q. Yeah. Okay. And then --  
7 I'm just noting when you go across to the distance  
8 there, the landmarks correlate -- under the  
9 autopopulated distances there's a blank space  
10 there. Do you know why that is? On the far --

11 A. Well, I think that -- I  
12 think previous years we didn't have the  
13 longitudinal -- we didn't have the GPS  
14 coordinates.

15 Q. Right.

16 A. So that makes -- I needed  
17 to populate an extra cell so we can include both  
18 GPS coordinates. In the past we didn't have to do  
19 that.

20 Q. Right. So opposite  
21 Barton Street there where the GPS coordinates  
22 there actually correlate right to the Barton  
23 Street bridge in that -- or Barton Street  
24 interchange. Yeah, I guess it's the bridge over  
25 the --

1                   A.    That's right.  It  
2   correlates with the actual distance of Barton,  
3   distance from the start.

4                   Q.    Got it.  And the same for  
5   the other landmarks I've noted?

6                   A.    Yes.

7                   Q.    Okay.

8                   A.    It was just a way of  
9   accommodating the GPS information in the new  
10  format that we needed to create.

11                  Q.    Okay.  Got it.  Okay.

12                  And do you recall if you had a  
13  discussion with or discussions with your superiors  
14  about these results beyond the e-mail that you  
15  sent?

16                  A.    No.

17                  Q.    Okay.  And so I'm going  
18  to give you a couple of pieces of evidence, and  
19  you can just tell me if you recall anything about  
20  this.

21                  We anticipate that Stephen  
22  Lee, who was your boss at the time as the head of  
23  pavements, that he may testify that he discussed  
24  with you that the friction number was dropping  
25  based on the results from these the 2014 and prior

1 year results, and that it was now near the margin  
2 of what was expected of DSM aggregates, and that  
3 he told you it was reaching a number where they  
4 should be monitoring given that the number is  
5 dropping below FN30, and he had a -- he didn't see  
6 how the aggregate source could be maintained on  
7 the DSM in the long-term. Do you recall that  
8 conversation or any part of it?

9 A. I don't recall it, and I  
10 don't think it would -- that information about DSM  
11 list would be discussed with me.

12 Q. Okay. Can you say  
13 definitively that that conversation did not occur,  
14 or are you just saying you don't --

15 (Speaker overlap)

16 A. No --

17 Q. -- and it doesn't sound  
18 like the kind of thing you'd talk about.

19 A. I don't recall the  
20 conversation at all.

21 Q. Okay. And Becca Lane  
22 testified -- she's already testified -- but she  
23 testified that you were involved in -- that she  
24 recalls you being involved in an internal MTO  
25 discussion after -- at some point after these 2014

1 results with her, Bob Gorman and Steve Senior  
2 regarding Demix Aggregates -- which of course was  
3 the aggregate that was used in the surface course  
4 of the Red Hill -- and that discussion was  
5 including around whether Demix Aggregates'  
6 performance was satisfactory for the DSM. And she  
7 testified her recollection was that you and Bob  
8 Gorman came into her office to discuss it and  
9 brought the skid test results with you, and then  
10 you went over to Stephen Senior's office together  
11 to discuss it. That was her recollection. Do you  
12 recall that conversation, or that series of  
13 conversations?

14 A. I don't recall a  
15 conversation with the DSM technicality, no. I was  
16 probably there to share the skid data I had with  
17 them, and that was about it. I had no -- that  
18 wasn't in my control or title to discuss whether  
19 to include some material on DSM list, no.

20 Q. Okay. Well, that's fine,  
21 but do you recall being at a conversation where  
22 that occurred?

23 A. No, I don't.

24 Q. Okay. And again, is  
25 that -- are you saying that it absolutely did not

1 occur or that you have no -- just have no  
2 recollection of it?

3 A. I have no recollection of  
4 it.

5 MR. LEWIS: Those are all my  
6 questions. Thank you, Mr. Marciello. The counsel  
7 for the participants may have some questions.

8 I understand that counsel for  
9 the City of Hamilton does have some questions.  
10 Can other counsel advise me if they will -- or  
11 advise the Commissioner if they are going to have  
12 questions so we can order?

13 MR. BUCK: No questions for  
14 Dufferin.

15 MR. LEWIS: Okay.  
16 Ms. Roberts?

17 MS. JENNIFER ROBERTS: Yes,  
18 very few questions.

19 MR. LEWIS: And Mr. Bourrier?

20 MR. BOURRIER: Four or five  
21 minutes of questions as well.

22 MR. LEWIS: Okay. So  
23 Mr. Chen, I think, Commissioner, would be  
24 appropriate to go first.

25 JUSTICE WILTON-SIEGEL: Sure.

1 EXAMINATION BY MR. CHEN:

2 Q. Thank you. Good  
3 afternoon, Commissioner and Mr. Marciello. I am  
4 counsel for the City of Hamilton. I just have a  
5 couple of questions for you and they relate  
6 specifically to the skid testing that you did on  
7 the Red Hill Valley Parkway.

8 So the first is just a  
9 clarification question. Earlier this morning you  
10 had talked about the truck and the trailer being  
11 replaced at some point, and I believe your  
12 evidence was that you don't recall when they were  
13 replaced; is that right?

14 A. I don't recall. Yes, I  
15 don't.

16 Q. Can you help us, though,  
17 if throughout the testing on the Red Hill Valley  
18 Parkway from 2007 - 2014, whether the same truck  
19 and trailer were used to do the testing?

20 A. I believe the same  
21 vehicle was used to do the testing, yes.

22 Q. Okay. And that would be  
23 the same for the trailer?

24 A. Yes.

25 Q. Okay. Thank you. And

1 just switching topics. Once you conduct the  
2 friction testing and you receive the results, I  
3 understand from your evidence that you report it  
4 back to whoever requested it as well -- and your  
5 superiors?

6 A. Yes.

7 Q. I won't go through the  
8 specifics because you already did that with  
9 Mr. Lewis, but generally between 2007 and 2014 you  
10 reported the results to different superiors over  
11 the years; is that right?

12 A. Internally, yes.

13 Q. And I appreciate you just  
14 testified moments ago that you had observed a  
15 decline over the years, but I take it that if you  
16 had any concerns, safety or otherwise, with the  
17 friction testing results and just looking at your  
18 e-mails, it would be your practice to report those  
19 concerns to your superiors, fair?

20 A. Normally, yes.

21 Q. Okay. And the purpose of  
22 reporting your concerns to your superiors is so  
23 that they can make an assessment as well on what  
24 their friction testing numbers showed?

25 A. Yes.

1 Q. And in that case your  
2 superiors may agree or disagree with your  
3 observations?

4 A. Yes, of course.

5 Q. Okay. And is it fair to  
6 say that you would accept their observation as to  
7 what the friction numbers ultimately showed?

8 A. Yes.

9 Q. Okay. And is it also  
10 fair to say that your superiors would have more  
11 expertise than you in interpreting those results?

12 A. Yes.

13 Q. Okay. And would it be  
14 your expectation that if in fact your superiors  
15 saw a concern, safety or otherwise, with the  
16 friction testing numbers that they would have  
17 communicated the information to the City of  
18 Hamilton?

19 A. I don't know what they  
20 would communicate to them. I'm not sure.

21 Q. Okay. You're not aware  
22 of anyone at the MTO contacting the City of  
23 Hamilton to report any concerns arising from the  
24 friction testing results that you did -- or you  
25 took?

1 A. I am not. I am not.

2 Q. Okay. And would you  
3 expect that if the MTO had safety concerns, they  
4 wouldn't sit on that information?

5 A. I don't believe they  
6 would.

7 MR. CHEN: Thank you. Those  
8 are my questions. Thank you.

9 MR. LEWIS: I believe counsel  
10 for Golder, Ms. Roberts, would be next.

11 MS. JENNIFER ROBERTS: Thank  
12 you. Mr. Marciello, I'm Jennifer Roberts. I'm  
13 counsel for Golder.

14 Commissioner, may I ask some  
15 questions?

16 JUSTICE WILTON-SIEGEL: By all  
17 means.

18 MS. JENNIFER ROBERTS: Thank  
19 you.

20 EXAMINATION BY MS. JENNIFER ROBERTS:

21 Q. Okay. I'll be very  
22 brief, Mr. Marciello. I just want to go back to a  
23 point you raised earlier in your testimony and  
24 that when you put together the testing results,  
25 that you reported back to your superiors where you

1 saw -- well, you reported every year. I take it  
2 that's true? You have to answer without a nod,  
3 sir. You've got a (indiscernible) that's got  
4 to -- I've got to....

5 A. Can you repeat the  
6 question, please.

7 Q. Sorry?

8 A. Can you repeat the  
9 question? I thought you were commenting. Can you  
10 repeat the question?

11 Q. Sure. I take it it's  
12 correct that you reported the results of the  
13 friction testing every year to your superiors?

14 A. Yes, I did, yes.

15 Q. And you brought to their  
16 attention anything you thought was noteworthy?

17 A. Yes.

18 Q. Okay. But I take it,  
19 sir, that whether the change that you saw was  
20 noteworthy, had significance or not, was something  
21 that you expected your superiors to determine.  
22 That's correct?

23 A. That's correct.

24 Q. And so where you noted in  
25 2014, for instance, that the friction continued to

1 drop, I take it it's correct that whether that was  
2 the significant change you left to your superiors  
3 to determine?

4 A. Exactly.

5 Q. Okay. Thank you. Those  
6 are my questions.

7 MR. LEWIS: Okay.

8 Mr. Bourrier, last up.

9 EXAMINATION BY MR. BOURRIER:

10 Q. Hello, Mr. Marciello. As  
11 you know I'm counsel for the Ministry of  
12 Transportation. I have a couple questions I would  
13 like to ask you regarding the evidence you gave  
14 this morning on -- I'm going to ask the Registrar  
15 to pull up the document. It's Golder Document  
16 4467.

17 Do you remember looking that  
18 this e-mail exchange this morning with Mr. Lewis?

19 A. Yes.

20 Q. And I just want to make  
21 sure I have your evidence correct on this issue.  
22 And before I ask my question, I just want to note  
23 for you, you'll see in the e-mail from Vimy  
24 Henderson sent on October 29th, 2013 to --  
25 Mr. Stephen Lee was sent at 4:09 p.m. Do you see

1 that?

2 A. Yes, I do.

3 Q. And then it looks like  
4 Mr. Lee's reply e-mail back to Vimy Henderson was  
5 sent at 4:30 p.m. on that same day. Do you see  
6 that? It's at the top of the document.

7 A. Yes, yes.

8 Q. Great. So I'm just  
9 noting that that seems to be a 21-minute  
10 difference there in when Mr. Lee responded to Vimy  
11 Henderson. But my questions for you are, is it  
12 your evidence that Mr. Lee made you aware of this  
13 municipal request at the time is request came in,  
14 so at 4:09 p.m. on October 29th, 2013?

15 A. No, no, he didn't let me  
16 know right away, no.

17 Q. And do you remember when  
18 exactly he advised you of this request, or if he  
19 did in fact advise of this request?

20 A. He did tell me about it,  
21 but it was sometime after that point.

22 Q. Do you have any idea  
23 around what timeframe it was?

24 A. No idea, no idea.

25 Q. Do you remember if there

1 was a telephone call or an in-person discussion?

2 Any details about this discussion?

3 A. It was probably during  
4 the working day, but that's all I recall.

5 Q. And just so I'm clear, so  
6 your evidence is that Mr. Lee advised you of this  
7 request at some point but prior to you reviewing  
8 documents in the context of this inquiry; is that  
9 right?

10 A. I would say so.

11 MR. CHEN: Thank you.

12 Commissioner, those are my questions.

13 JUSTICE WILTON-SIEGEL: Thank  
14 you. Mr. Lewis, do you have any further  
15 questions?

16 MR. LEWIS: I do. I think  
17 just -- just three I think.

18 EXAMINATION BY MR. LEWIS (resumed):

19 Q. So this is about the  
20 trailer, the new trailer, skid trailer and new  
21 truck. I believe, Mr. Marciello, that when I was  
22 asking you questions, that you indicated that both  
23 the skid trailer and the truck were replaced in or  
24 about 2013, but that it was before the 2013  
25 network testing. I think that's what you said to

1 me. And then the GPS was added after the trailer  
2 was purchased. Is that correct?

3 Because I'll just say the  
4 second thing, is, Mr. Chen, when he asked you, he  
5 asked you whether you believe the same vehicle and  
6 skid trailer were used for the Red Hill testing  
7 throughout, which includes 2014. And you said,  
8 yeah, you did believe that was the case.

9 And so there's a discrepancy  
10 between those two answers that I see, and I'm  
11 wondering can you help us out there.

12 A. I thought Mr. Chen meant  
13 that -- no, no, I can't answer that. No, I can't  
14 explain it.

15 Q. Well, all I want -- you  
16 know, all I want to know is whether -- is when the  
17 new trailer, skid trailer was bought, and we know  
18 that the -- so why don't we just sort of go back  
19 to when you got the new -- when the new trailer  
20 was bought, and maybe we'll follow up with the MTO  
21 for this information. But is it your recollection  
22 that the GPS was affixed to the trailer after it  
23 was bought, after it was purchased?

24 A. I believe it was.

25 Q. Okay. And -- after the

1 new trailer was purchased?

2 A. Yes.

3 Q. Okay. And so if the --  
4 since we've seen the 2014 results have the GPS  
5 data on them, would you agree it appears that you  
6 were using the new skid trailer at that point?

7 A. Yes.

8 Q. Does that make sense?

9 A. Yes, it does.

10 Q. Okay. All right. So  
11 thank you very much.

12 MR. LEWIS: It may be,  
13 Commissioner, that -- just there's probably an  
14 easy answer to this rather than a memory test, Mr.  
15 Marciello, and we'll follow up with the MTO on  
16 that.

17 Thank you. No further  
18 questions.

19 JUSTICE WILTON-SIEGEL: Okay.  
20 If there are no further questions from anyone,  
21 then, Mr. Marciello, thank you for appearing  
22 before the inquiry. You're excused.

23 Now, Mr. Lewis, I gather there  
24 is -- Mr. Senior is the next witness?

25 MR. LEWIS: That's correct,

1 and I understand it's going to take about  
2 10 minutes, possibly 15, but 10 minutes for him to  
3 be set up, and so the question then is whether we  
4 take our lunch -- get started with Mr. Senior and  
5 then take lunch or if we take an early lunch.

6 JUSTICE WILTON-SIEGEL: Why  
7 don't we take an early lunch and come back at  
8 quarter to 2:00. Okay. Stand adjourned.

9 --- Recess taken at 12:28 p.m.

10 --- Upon resuming at 1:46 p.m.

11 MR. LEWIS: Good afternoon,  
12 Commissioner, counsel, Mr. Senior. Could we have  
13 the court reporter affirm Mr. Senior, please.

14 STEPHEN SENIOR; affirmed

15 EXAMINATION BY MR. LEWIS:

16 Q. Good afternoon,  
17 Mr. Senior. Thank you for coming.

18 A. Good afternoon, all.

19 Q. So first thing I want to  
20 do is just take you briefly through your  
21 educational and work history before we get into  
22 specific matters.

23 I understand that you were  
24 employed by the MTO from 1986 until your  
25 retirement from the MTO in November 2016; is that

1 right?

2 A. That's correct.

3 Q. And that you do some  
4 consulting from time to time since your  
5 retirement, but for the most part you are retired?

6 A. Let's just say I did a  
7 minor job over the last -- since my retirement,  
8 but I really don't work.

9 Q. Okay. And before joining  
10 MTO, I understand that you obtained a bachelor of  
11 science in earth science from Waterloo in 1984; is  
12 that right?

13 A. I believe so, yes.

14 Q. Okay. Close to that  
15 date? It was a bachelor of science in earth  
16 sciences?

17 A. Yeah. There was another  
18 degree, and I was just trying to remember when  
19 that was as well.

20 Q. Okay. Well -- and the  
21 earth science degree, that's a science degree, but  
22 not an engineering degree itself; is that right?

23 A. That's correct. That's  
24 in the science faculty.

25 Q. Right. And then a

1 masters degree from Waterloo in geological  
2 engineering; is that right?

3 A. Yes, also with the  
4 faculty of earth sciences, but at that time they  
5 had initiated a geological or -- a geological  
6 engineering program, and I was still sort of  
7 taking my masters courses in the earth sciences  
8 department as well as a number of undergrad  
9 courses in the civil engineering department.

10 Q. Okay. And when did you  
11 complete your masters?

12 A. I'm going to say around  
13 1989.

14 Q. Okay.

15 A. I had worked in the  
16 interval between my bachelors and masters.

17 Q. All right. And you were  
18 a practicing engineer?

19 A. I qualified to register  
20 as a professional engineer in the province of  
21 Ontario after graduating from my masters degree at  
22 Waterloo.

23 Q. Okay. So you are -- are  
24 you still registered as a practicing --

25 A. I'm still registered,

1 yes, with the PEO.

2 Q. Okay. And then at the  
3 MTO I understand that you started off as an  
4 engineer, a contract position back in 1986?

5 A. Yes.

6 Q. And then you were a  
7 geological engineer?

8 A. I was on contract in the  
9 soils and aggregates section for about six months,  
10 and then I was given a permanent position of  
11 geological engineer with the soils and aggregates  
12 section, yes.

13 Q. Okay. So your entire  
14 career at the MTO was within soils and aggregates?

15 A. That is correct.

16 Q. Okay. And then you were  
17 the senior soils and aggregates engineer from, I  
18 think, 1989 to August 2008?

19 A. Yeah, there was about 17  
20 or 18 years I was in that position.

21 Q. Sorry, I think April  
22 2008? I think.

23 A. It would have been until  
24 I won the competition for the head of section.

25 Q. For the head of soils and

1 aggregates?

2 A. Yes, head of soils and  
3 aggregates.

4 Q. Okay. And that was to  
5 replace Mr. Chris Rogers, right?

6 A. Yes, following his  
7 retirement.

8 Q. And were you the acting  
9 head of soils and aggregates, though, prior to  
10 taking on the permanent position?

11 A. Yes, I was given an  
12 assignment as acting head until they were able to  
13 hold the competition to fill it on a permanent  
14 basis.

15 Q. Okay. So ultimately, am  
16 I correct, from when Mr. Rogers left, he left I  
17 think at the end of April 2008, then you stepped  
18 into the acting role and then down the road you  
19 won the permanent position; is that right?

20 A. That is correct, yes.

21 Q. Okay. And could you  
22 describe your duties in that last position as head  
23 of soils and aggregates?

24 A. As head of soils and  
25 aggregates? Well, I managed a group of

1 professionals, engineers, geologists, technicians  
2 who supported the ministry's efforts regarding the  
3 issues around soil materials and other aggregate  
4 construction materials.

5 Q. All right. And with  
6 respect to the designated source of materials  
7 list, I know that the soils and aggregates was the  
8 custodial office for the DSM for hot mix  
9 aggregates; is that correct?

10 A. DSM 03525, that specific  
11 list was our management. We managed that list,  
12 yes.

13 Q. Okay. And then you had  
14 under you until not too long before your  
15 retirement Bob Gorman; is that right?

16 A. Bob Gorman worked in our  
17 department, worked in our section and reported to  
18 me directly, yes.

19 Q. Okay. And I understand  
20 that while Mr. Chris Rogers was there, his  
21 evidence was that Mr. Gorman was the primary  
22 person responsible for managing the soils and  
23 aggregates DSM responsibilities. And did that  
24 remain the case after you stepped into Mr.  
25 Rogers's role?

1                   A.    Yes, that was part of the  
2    function of that position, and he maintained that  
3    work relationship throughout.

4                   Q.    Okay.  And he reported to  
5    you until -- he retired in 2015.  He reported to  
6    you until his retirement; is that right?

7                   A.    That's correct.

8                   Q.    And I understood that his  
9    role, Mr. Gorman's role included processing  
10   applications for inclusion of new sources on the  
11   DSM; is that right?

12                  A.    He would take over the  
13   functions required to assess the source, either a  
14   visit quarries or sample materials, and then  
15   managed it from there, and then would probably  
16   review with me his findings.

17                  Q.    Okay.  And he would  
18   prepare -- well, he prepared the letters to DSM  
19   applicants typically?

20                  A.    He would prepare the  
21   letters that would go out for my signature.

22                  Q.    Right.  And typically  
23   would you discuss those letters with him and the  
24   conclusions before they went out?

25                  A.    We would certainly review

1     them, and if there was anything to be added to the  
2     letter from my part, I would certainly add that,  
3     and at some point I would sign the letter, and we  
4     would send it out.

5                     Q.     Okay.  And generally  
6     speaking were you involved in a day-to-day basis  
7     respecting the DSM list, or was it more periodic  
8     from your end?

9                     A.     It would have been as  
10    needed but not in any way on a day-to-day basis.

11                    Q.     And I also understand  
12    that prior to his retirement from Mr. Rogers that  
13    requests for pavement friction testing by soils  
14    and aggregates section, at least towards the  
15    period when he retired, that they would be sent by  
16    Mr. Gorman to the head of pavements and  
17    foundations.  Did that remain the case during your  
18    tenure?

19                    A.     Yes.  Nothing had changed  
20    in that regard.

21                    Q.     Okay.  You sort of picked  
22    up a turnkey organization, is that fair, In  
23    respect of the DSM?

24                    A.     I'm not so sure what that  
25    (indiscernible) means.

1 Q. It continued running the  
2 way it had before, you just picked up the  
3 operations as they were already running; is that  
4 fair?

5 A. To me it was a silent  
6 operation and I didn't really need to interfere.

7 Q. Okay. And if we could go  
8 to overview document 4, image 86, please.

9 And just while he's pulling  
10 that up, Mr. Gorman (sic), from time to time I'll  
11 take you to what's called the overview document,  
12 chapter 4, which is the document that sets out the  
13 narrative of facts and introduces documents and so  
14 forth, and it cites from the source documents that  
15 are referenced. Sometimes I may just take you to  
16 this overview document, but if you want to look at  
17 the underlying document and I haven't taken you to  
18 that, please let me know and we'll do that. Okay?

19 A. Yes.

20 Q. So just as an example,  
21 paragraph 200, so I guess if you could pull up the  
22 next image as well, Registrar, 87.

23 And this just references one  
24 example of the requests that were made. It's a  
25 March 16, 2009 request sent by Mr. Gorman to Becca

1 Lane, who at the time was the head of pavements  
2 and foundations to conduct skid resistance surveys  
3 for the 2009 season, and it sets out a whole list  
4 of pavements, including the chart over -- showing  
5 over time what was to be surveyed. Is that  
6 typical approach on an annual basis from soils and  
7 aggregates?

8 A. As far as I understand  
9 it, the typical approach would be there would be a  
10 request from the pavements and foundations section  
11 when they were preparing the future work, and they  
12 would send out notices asking for requests, if  
13 there was any need for any skid testing, and Bob  
14 would review the candidate sources that we would  
15 have on -- ready to be tested or waiting to be  
16 tested or other test sections that we had that he  
17 wanted more information on.

18 Q. Okay. And --

19 A. And so we would compile  
20 whatever was needed and then forward it back to  
21 the pavements and foundations section per their  
22 request.

23 Q. Right, okay. So sort of  
24 a request for request coming from --

25 A. Basically, yeah. I'm

1 asking you what do you need so I can  
2 (indiscernible) this.

3 Q. Okay. And then we know  
4 that -- we've heard that Frank Marciello of course  
5 conducted the friction testing for the MTO up  
6 until his departure from the MTO in 2015, and that  
7 his normal practice with respect to requests from  
8 soils and aggregates is that he would send it to  
9 Mr. Gorman and to you and to the head of pavements  
10 and foundations, would send the results. Does  
11 that accord with your recollection as well?

12 A. Yes. As far as I know,  
13 Frank would send out the results to the requestors  
14 and because -- just to -- for information purposes  
15 mostly that I would be copied on or through me the  
16 information would come into our section.

17 Q. Okay. If we could go to  
18 MTO 186231.

19 This is a paper from 2004  
20 called "Pavement Surface Friction on Ontario  
21 Highways" listing Mr. Rogers, you, Becca Lane and  
22 Frank Marciello as authors. Do you recall this?

23 A. No, I'm not listed as an  
24 author on that paper.

25 Q. Oh, sorry.

1 A. I'm not an author.

2 Q. You're not. Sorry,  
3 you're right. You're quite right.

4 Are you familiar with this  
5 paper?

6 A. Oh, yes.

7 Q. Okay. I apologize, and  
8 thank you for your attention. You're absolutely  
9 not listed.

10 And if we could take you to  
11 image 7, there's a section on approval of new  
12 asphalt aggregate sources that's there, and I just  
13 want to take you through it and see during your  
14 tenure if this, for the most part, covers the  
15 process involved in -- once a new source is sought  
16 to be listed on the DSM.

17 And so the first thing is that  
18 there's an -- and you can correct me if I'm wrong  
19 any time, and I'm taking it from here but also  
20 from my understanding, that the first thing is  
21 that the quarry is inspected and there's quality  
22 and consistency requirements at that stage; is  
23 that right?

24 A. We sent out a letter to  
25 the proponent of these new sources and spelled out

1 the requirements that we -- what we would be  
2 looking for in terms of the physical testing, the  
3 production properties testing and then alert them  
4 that we would need to have a demonstration of  
5 their product in our pavements and that we would  
6 be reviewing it over time before they actually  
7 received final approval.

8 Q. Right, so that's the  
9 initial letter where you set out the requirements.  
10 Once you receive the application, a letter goes  
11 back to the applicant saying this is what we're  
12 going to need, this is what is required?

13 A. When the application came  
14 in he would send out the letter telling them,  
15 spelling out the steps that they needed to take.

16 Q. Right, okay. And amongst  
17 them --

18 A. Which included -- which  
19 included visiting the quarry source --

20 Q. Right.

21 A. -- sampling the materials  
22 and testing it in laboratories.

23 Q. Sorry, and testing?

24 A. In our laboratories at  
25 Downsview.

1 Q. Right. And so there's  
2 the initial inspection and then there's the  
3 general thing; it's on the third line:

4 "In general, satisfactory  
5 quarry sources contain rocks  
6 that are even grade,  
7 homogenous and consistent with  
8 uniform quality throughout the  
9 site and consistent aggregate  
10 density."

11 And then it says:

12 "A quarrying plan must be  
13 devised so as to ensure  
14 homogenous, uniform product."

15 A. There was a requirement  
16 to submit a quarrying plan. I'm not so sure  
17 what -- when that was or whether it was discussed  
18 at the site, but they needed to be -- we needed to  
19 be sure that they had a fairly consistent deposit,  
20 and there were no deleterious materials in there.  
21 If there were, we would alert them in their  
22 approval letter to -- we would identify the areas  
23 that we -- they would have to omit or go around or  
24 quarry around so none of those materials were  
25 included in their product.

1 Q. Okay. And then there  
2 were the laboratory requirements that you referred  
3 to, and one of them as stated here is:

4 "A minimum average polished  
5 stone value of 50 with no  
6 value less than 48."

7 That was one of them, and that  
8 continued to be the case; is that right?

9 A. Yes, yes. We -- the PSV  
10 test actually took four individual coupons, and we  
11 took the average of those coupons and reported  
12 that. So it would have been an average value of  
13 50 with none of those values being lower than 48.

14 Q. Right. Of the four?  
15 Okay.

16 A. Of the (indiscernible).

17 Q. And when you say "a  
18 coupon," what does that mean? Others have  
19 referred to it. I'm not sure we've actually had  
20 anyone at the MTO describe that.

21 A. Oh, okay. A coupon is  
22 made with an epoxy backing, and it takes a fairly  
23 skilled operator, experienced operator to place  
24 the aggregate particles according to the test  
25 method in the coupon in which they would lay out

1 the coupon -- lay out the aggregate particles. It  
2 was a curved coupon so it could fit on the round  
3 testing wheel of the PSV equipment. So it --  
4 without a visual, it's kind of hard to describe,  
5 but it would be a -- a coupon, something like  
6 about 6 inches long maybe 2 inches wide and curved  
7 so it fit on the outside circumference of the  
8 testing wheel --

9 Q. I see.

10 A. -- and it exposed a  
11 number of aggregate particles to the abrasive and  
12 the rubber tire that was used to simulate the road  
13 conditions.

14 Q. Right. And, yeah, sort  
15 of a platform that you are sticking it to, if I  
16 can put it that way, sticking the aggregates to so  
17 that it could be submitted to the testing; is that  
18 right?

19 A. They were put in a -- the  
20 aggregates were put in a mold, and then there was  
21 an epoxy -- there was a sand filler for spacing so  
22 the -- and then we would pour epoxy on the  
23 backing, and when the epoxy had cured we'd take  
24 out the mold and there would be the mold, and we  
25 removed the loose sand so the aggregates would be

1 fully exposed to the testing wheel.

2 Q. I see. And then there  
3 was the aggregate abrasion value of six or less is  
4 another one?

5 A. Yes, yes. That was also  
6 a prepared coupon in a very similar fashion, but  
7 it was flat, and we tested two coupons at a time  
8 and took the average value of those two coupons  
9 and reported that as a test value.

10 Q. Okay. And they are  
11 not -- the values aren't listed here, but we'll  
12 get to them when we look at one of the letters  
13 that go -- went back in the case of the Demix  
14 aggregates, but refers to:

15 "...must meet requirements for  
16 shape, frost resistance and  
17 water absorption." (As read)

18 At the bottom of the fourth  
19 paragraph. So there was the freeze/thaw test,  
20 right?

21 A. In general, but there was  
22 a lot more than just that. Right. So there was  
23 another -- there were other physical tests,  
24 physical properties we would test for and  
25 production properties we would test as well and

1 had to meet the requirements of what we had in our  
2 specifications at the time.

3 Q. All right. And we'll  
4 look at the specific ones when we go to the test  
5 results in the case of the Red Hill.

6 A. Yes.

7 Q. Okay. And then in the  
8 second paragraph it says that:

9 "MTO normally requires a  
10 500-metre pavement test  
11 section using the new  
12 aggregate."

13 And that: "It's tested for  
14 frictional characteristics  
15 with the brake force trailer  
16 for two years before the  
17 material would be considered  
18 for inclusion on the approved  
19 list. Inspection and skid  
20 testing will take place over  
21 the life of the test section."

22 (As read)

23 And so this is using the MTO's  
24 ASTM brake force trailer with a ribbed tire; is  
25 that correct?

1                   A.    The brake force trailer  
2    was used to measure the friction on the test  
3    section, yes.

4                   Q.    Right.  And there's --  
5    and typically, I know it says "normally" there,  
6    but the normal typical approach that has been  
7    described is that the test section is done on a  
8    new -- at the same time as the new pavement with  
9    an already approved aggregate with the test  
10   section laid adjacent to the pavement that's being  
11   laid pursuant to the contract that's being  
12   constructed at that time; is that right?

13                  A.    Yes.  It would be up to  
14   the proponent to identify a contract if they  
15   were -- if the quarry was owned or operated by the  
16   road-building contractor or whatever association  
17   they had.  But they would have to find a contract.  
18   Sometime we would find a contract or assist them  
19   in finding a contract, an ongoing contract in  
20   which they were paving the -- a portion of our  
21   highways, and then at the same time with the same  
22   mix design and the same location with the ongoing  
23   contract place that normally 500-metre test  
24   section within that ongoing contract.

25                  Q.    Am I correct that what

1 you're looking for is for the new aggregate, the  
2 test strip section, to have as good or better  
3 friction qualities than the control section. Is  
4 that correct? As good or better skid test  
5 results?

6 A. No.

7 Q. No?

8 A. That's not correct, no.

9 Q. Okay. So what --

10 A. No, we evaluated the test  
11 section on its own merits.

12 Q. Meaning?

13 A. The fact that we had  
14 another aggregate adjacent to it would have been  
15 used as a control if -- just because we knew we  
16 already had an approved aggregate in that -- in  
17 the standard paving. So we -- and we would use  
18 that, or you could use that to identify if there  
19 was any anomalous conditions that were occurring.  
20 So you had expectations of what would be the  
21 material already being placed on the contract, and  
22 then you would test your test section and -- just  
23 to ensure that you had no anomalies in conditions  
24 that would affect the friction results.

25 Q. Sorry, anomalies in what

1 sense? In terms of weather and --

2 A. In a lot -- or if there  
3 was errors in the testing method or something  
4 happened. If there was a contamination or spill  
5 on that section of pavement. And it's typical  
6 that we would do that with all of our test  
7 methods -- at least where we could -- is that we  
8 ran a material of known values, at least within  
9 range, a known range, to ensure that the testing  
10 we were getting from what we would use as a  
11 control was within an acceptable range, so we knew  
12 that everything was working, the testing was being  
13 conducted correctly.

14 Q. Okay. And then what are  
15 the merits that -- individually that you're  
16 looking for with skid testing on the test section?

17 A. Well, we want to make  
18 sure that the material stays in place, that there  
19 was no premature breakdown of the payment mat, the  
20 aggregates weren't raveling from the pavement  
21 surface, we weren't losing materials. And then we  
22 would also test for the friction to determine what  
23 frictional properties or characteristics they had  
24 in accordance with the test method.

25 Q. Right, but what you

1 disagreed with me about was when I suggested that  
2 the reason for having the test strip is so you  
3 want to have the test strip to have as good or  
4 better skid resistance than the control strip, and  
5 you said, no, no, we evaluate --

6 (Speaker overlap)

7 A. We're not comparing it to  
8 the control material or the material on the  
9 standard contract. We're just using that ensure  
10 that the testing is done within acceptable ranges.

11 Q. Okay. But then --

12 A. It's not a correct  
13 comparison.

14 Q. So then how are you  
15 evaluating the skid resistance qualities of the  
16 test strip?

17 A. From the data provided  
18 from the skid trailer.

19 Q. Right, and what is the --  
20 what is the standard or the number against which  
21 you are measuring it?

22 A. We didn't have a standard  
23 or number that we tested against. It was -- it  
24 was -- the way we described it, we wanted adequate  
25 friction.

1 Q. Okay. And what defines  
2 "adequate friction"?

3 A. It was something that  
4 would have been acceptable in terms of, I guess,  
5 comparison with our 400 series highways test  
6 results.

7 Q. Okay. Well, we've heard  
8 a lot from other witnesses about the use of the  
9 friction number 30 --

10 A. Yes.

11 Q. -- within the MTO, so I  
12 don't want to spend a huge amount of time on the  
13 history of it and so forth. But is that what you  
14 are talking about? Is it -- that defines an  
15 acceptable number in terms of evaluation for DSM  
16 purposes?

17 A. From what I had read in  
18 the industry literature, 30 was sort of a number  
19 that indicated that you were into something -- a  
20 different kind a situation. There is something  
21 that you -- it's kind of a -- sort of when it goes  
22 from green to yellow in a sense where there's  
23 something there that may alert you, and we're  
24 talking about average friction numbers of the  
25 pavement section. So it's just used as a

1 guideline more than anything else. And that was  
2 for the whole general network. In terms of the  
3 DSM materials, we were comfortable knowing that  
4 all of our test sections that we had were above 30  
5 for sure.

6 Q. Okay.

7 A. But I don't think we --  
8 that was in the back of our minds and something  
9 that we could use as a measuring stick.

10 Q. Right. Okay. So you  
11 referred to it as a -- FN30 as a guideline or a  
12 measuring stick, something that's in the back of  
13 your head when you are evaluating the friction  
14 test results for DSM purposes; is that fair?

15 A. That's fair, yeah.  
16 Because I know that number may have been used by  
17 pavement management people as to determine what  
18 actions they may take, so we kind of applied  
19 similar applications of that number to what we  
20 would assume to be acceptable friction.

21 Q. Okay. And when you refer  
22 to "pavement management people," you're talking  
23 about, am I correct, pavement management people  
24 within the MTO?

25 A. Well, pavement management

1 people within the industry I would imagine. It's  
2 not -- it shouldn't be restricted just to MTO, but  
3 whoever was managing the pavement, and whoever  
4 owns the pavement. Certainly we would do it for  
5 our pavements.

6 Q. Right. Well, that's what  
7 I'm talking about is your --

8 A. Yes, yes.

9 Q. -- is MTO pavements.  
10 That's what you're talking about?

11 A. As far as I understand,  
12 yes.

13 Q. Okay. And then you  
14 talked about -- I'm not sure of the wording you  
15 used but I think you said from -- about FN30 that  
16 there was something you had read in the industry  
17 literature that was a number that indicated that  
18 you went to something -- it sort of goes from  
19 green to yellow, and I'm wondering are you talking  
20 about internal MTO literature or more broadly  
21 industry literature?

22 A. Well, I do know that  
23 within the MTO publications there was reference to  
24 that number indicating that above that number  
25 there was acceptable, below that number it was a

1 little bit less acceptable, but there was  
2 something different, and then there was other  
3 numbers also that they used to sort of pigeon-hole  
4 the conditions of the pavement.

5 Q. Okay. And in terms of  
6 the acceptable number for DSM aggregates, what  
7 were you looking for? What kind of values,  
8 friction values were you wanting to see for your  
9 DSM aggregates?

10 A. We were wanting to see as  
11 high as possible.

12 Q. Understandable. The  
13 higher the better, right?

14 A. That would be desirable,  
15 yes.

16 Q. Yeah. And generally  
17 speaking what was your -- I appreciate what you  
18 said about a guideline of the -- with the FN30,  
19 but was it higher than that that you were looking  
20 for and hoping for?

21 A. Well, most of our DSM  
22 sources provide friction numbers from about the  
23 low 30s, 32-ish, to 50s, mid 50s, something like  
24 that. So that would be the typical range of test  
25 values that we would get from friction test

1 results.

2 Q. Okay. But I think -- are  
3 those friction test results that you're talking  
4 about, are those the ones that are from the -- you  
5 know, friction management as well as -- as opposed  
6 to the ones that have been requested by soils and  
7 aggregates?

8 A. I believe those would be  
9 comparable -- those would be numbers that we would  
10 determine -- or measured from our test sections.

11 Q. Okay.

12 A. And may or may not  
13 include actual contracts that included those DSM  
14 materials on additional contracts beyond the test  
15 section, but they would typically be within that  
16 range.

17 Q. Okay. And if the number  
18 dropped below the average number from a test  
19 section or -- and monitoring as time passed, if  
20 the average dropped below FN30, what was the  
21 approach that was taken by soils and aggregates?

22 A. It never happened, so I  
23 can't say specifically what we did.

24 Q. Well, you didn't. If it  
25 didn't happen, then you didn't do anything

1 presumably?

2 A. Didn't happen, no.

3 Q. Okay. What if it did?

4 Did you have a sense of what -- if it did drop  
5 below -- on an average below FN30 what you would  
6 have done?

7 A. I guess if there was a  
8 concern on the performance of the aggregates in  
9 the pavements, we would normally start to begin to  
10 take a closer look at the materials. We had -- I  
11 know there was a case where we did that, and we  
12 would probably go out and do a visual inspection,  
13 first of all, because we wanted to know why the  
14 friction numbers may be on the low end. You would  
15 want to take a visual inspection to determine if  
16 there was any clues to the changes in the macro or  
17 microtexture of the aggregates in the pavement.  
18 And we would probably take cores of the materials  
19 and take the materials back for testing to  
20 determine if there was anything -- any change, or  
21 if they were samples that were outside the  
22 specifications. So that would be the first thing.

23 And normally -- I guess other  
24 than that, we would want to contact the proponent  
25 to let them know what was happening in a situation

1 where we thought that the materials were desirable  
2 for the DSM, and hopefully work with the proponent  
3 to determine -- ask them to sort of work with us  
4 to determine what was the source of the problem  
5 and how can we eliminate that for sure.

6 And I know other case we put  
7 conditions on the materials and made sure that  
8 those conditions were met and negotiate with them  
9 for things up to and including removal from the  
10 DSM.

11 Q. Okay. So you're talking  
12 about another situation, on the one hand you said  
13 that hadn't happened --

14 A. On a DSM source, yes.  
15 This was a DSM source --

16 Q. Yes.

17 A. -- that we were  
18 investigating on a request from our regional  
19 people, which we had then investigated by taking  
20 cores, visual inspections, laboratory testing, and  
21 we're trying -- we're working with a proponent to  
22 determine how best to alleviate the issues that we  
23 were dealing with, and we worked over time to  
24 determine what conditions were required for them  
25 to maintain the use of their product.

1 Q. Okay. And was this --  
2 were these investigations and the negotiations of  
3 these occur -- were these initiated because of the  
4 skid resistance, or was it because of other  
5 concerns that were raised?

6 A. Well, of other concerns,  
7 amidst other concerns.

8 Q. Okay. And in the end was  
9 it removed from the DSM?

10 A. No, it wasn't. We put  
11 conditions on the use of the materials. We  
12 required that the paving contractor who owned the  
13 quarry not supply them to other pavers, that they  
14 alert our office to any use of their materials in  
15 any MTO contracts, that they weren't allowed to  
16 sell it to municipalities. One of the reasons we  
17 asked for us to be informed is because these  
18 things may happen in the regions, we wouldn't  
19 necessarily know about it firsthand. So we gave  
20 these conditions and I -- which I assume were met  
21 because I don't think we removed the material from  
22 the DSM eventually.

23 Q. Okay. And moving  
24 specifically to Demix Aggregates and the Red Hill  
25 Valley Parkway. We know that the MTO conducted

1 skid testing on the Red Hill Valley Parkway  
2 between 2008 and 2014, with the exception of 2013,  
3 at the request of soils and aggregates. And we  
4 understand that the purpose of that testing was to  
5 evaluate and then maintain the application by  
6 Demix Aggregates for DSM inclusion; is that  
7 correct?

8 A. We would -- yeah, we  
9 requested testing on it to evaluate the frictional  
10 performance of the aggregates themselves.

11 Q. Right.

12 A. Specifically for purposes  
13 of application and admittance on the DSM.

14 Q. Okay. If we could go to  
15 overview document 4, image 84. And this is in  
16 paragraph 193, and just to give you the  
17 background. We know that --

18 A. Yeah.

19 Q. -- the MTO performed  
20 friction testing originally before the Red Hill  
21 opened -- we've heard a lot of evidence about  
22 that -- on October 16th, 2007, and then before you  
23 started as the head of soils and aggregates Demix  
24 Aggregates applied for inclusion on the DSM. And  
25 then here it is on June 12th, 2008 the MTO

1 conducted Red Hill Valley Parkway skid testing.

2 Mr. Marciello e-mailed results  
3 to Mr. Gorman, Mr. Raymond and Mr. Ponniah on  
4 June 18, 2008. And do you know -- because at that  
5 point you think you were the acting head of soils  
6 and aggregates, do you know why it didn't get sent  
7 to you at that time?

8 A. I do not know why. I can  
9 assume that the distribution was sent to those  
10 people who managed the original request. Maybe  
11 Frank didn't know I was acting head. I don't know  
12 what the reasons would have been, but I wasn't  
13 copied on that data.

14 Q. All right. Did you  
15 review the results in any event?

16 A. I reviewed the results a  
17 year later when we were looking at giving them the  
18 conditional approval.

19 Q. Okay. So -- and we will  
20 come to that then. But you're saying you didn't  
21 review it -- I mean, you weren't copied on it at  
22 this time?

23 A. No, no.

24 Q. And you think it was only  
25 later on in 2009 when you actually reviewed the

1 results for the purposes of approval.

2 A. Well, I do know that I  
3 did look at them at that time, but I don't know  
4 whether -- and I can't recall whether I looked at  
5 them prior to that.

6 Q. Okay. Okay. And if we  
7 could go to two documents, MTO 44 and MTO 45,  
8 please. And actually maybe, first, if we could  
9 look at both pages from 44 before we pull up the  
10 samples. Thank you.

11 This is a letter from you  
12 dated December 4, 2008 to Estel Gagnon at Demix  
13 Aggregates.

14 A. Hm-hmm.

15 Q. And it's "re approval of  
16 physical properties of your Varennes quarry  
17 (indiscernible) SP12.5 FC1 and SP12.5 FC2 coarse  
18 and fine aggregates."

19 And if you need a chance to  
20 review the letter, let me know, or if you are  
21 already familiar enough, let me know.

22 A. I'm somewhat familiar  
23 with the contents of the letter, yes.

24 Q. Okay. And is this one of  
25 the letters you describe that Mr. Gorman would

1 have drafted for your signature?

2 A. I believe so. His name  
3 is on the bottom of the second page left-hand side  
4 indicating the authors. I think that's how it  
5 works with administrative --

6 Q. So SAS is you and --

7 A. Is me, and then RGG would  
8 be Bob Gorman and JLP would be Judy Pretty who was  
9 our administration assistant at the time.

10 Q. Okay. And is this the  
11 standard kind of -- one of the standard letters  
12 that are sent to applicants?

13 A. I just know I sent it  
14 out. In this case it was an interim letter to say  
15 that we had visited their quarries, and we had  
16 samples and were proceeding with the application.

17 Q. Okay. And we'll go to  
18 the lab tests in a minute, but it refers to a  
19 visit, as you said, to the quarry on July 17th.  
20 It says that in both the -- I guess in the first  
21 and third paragraphs. And, again, is that the  
22 standard procedure for the --

23 A. Sorry, what are you  
24 referring --

25 Q. Sorry, in the first

1 paragraph it refers to coarse aggregate and  
2 screening samples taken from the quarry on  
3 July 17th, 2008.

4 A. I'm looking at a letter  
5 from December 4th, 2008 right now, and I --

6 Q. Yes.

7 A. -- there's nothing in  
8 there in the first paragraph. It just says we  
9 completed testing of your coarse aggregate and  
10 screening samples taken from the core, yes.

11 Q. On July 17 --

12 A. On July 17, yes.

13 Q. And then in the third  
14 paragraph, it says "during our visit on July  
15 17 --"

16 A. Visit on the July 17th,  
17 yes.

18 Q. Okay. And is that the --  
19 a reference to the standard visits that you  
20 referred to before that was part of the  
21 qualification requirements?

22 A. That would have been  
23 identifying the quarry visit that was made by our  
24 office, yes.

25 Q. Okay. And is that

1 Mr. Gorman?

2 A. It was Mr. Gorman and

3 Ms. MacDonald.

4 Q. That's Carole Anne

5 MacDonald?

6 A. Carole Anne MacDonald.

7 Q. She was a petrographer?

8 A. She was the petrographer

9 for our section and professionally registered

10 geologist in the province of Ontario.

11 Q. All right. And in the

12 third paragraph it says:

13 "Our petrographer has

14 classified your rock as a cyanidic -- "

15 A. Trachytic.

16 Q. Trachytic.

17 A. Trachytic

18 (indiscernible).

19 Q. And am I correct that's

20 within the general category of a traprock?

21 A. It's more like a felsite,

22 if you want to be specific. Trap rocks are more

23 mafic, meaning more magnesium and iron minerals.

24 This may have had a lot more sodium, calcium

25 mineralogy or chemistry, a different chemistry,

1 but in any case it would have been a fine-grained  
2 extrusive volcanic rock type, and the  
3 identification of the minerals which should be  
4 feldspar, albite and netalina (ph).

5 Q. So the petrographer would  
6 have been the one to conduct petrographic analysis  
7 and description to identify the minerals within  
8 the rock type, okay. And then it says in  
9 paragraph 2:

10 "Laboratory test results are  
11 portrayed in table 1. All of  
12 the test results are  
13 favourable and meet the  
14 specification criteria as  
15 outlined within special  
16 provision 110F12 amendment to  
17 OPSS 1003 November 2004." (As  
18 read)

19 And if we could go to the test  
20 results then at -- maybe if we leave the first  
21 page there of the letter and bring up MTO 45.

22 So these are the test results  
23 that are referred to?

24 A. Yes. Date spelling was  
25 July 17th, 2008.

1 Q. Right, and -- and then it  
2 lists the results from the various categories of  
3 requirement on the left-hand side. And all of  
4 these, as I said, they all met the requirements of  
5 the MTO at that point; is that correct?

6 A. Yes, they did.

7 Q. Okay. So there's the  
8 polished stone value of 52, so that's above the  
9 required amount of 50?

10 A. Yes.

11 Q. And the aggregate  
12 abrasion value of 2.3, which is below the 6 that's  
13 required; is that right?

14 A. It shows very good  
15 resistance to abrasion, yes. Along with the  
16 (garbled audio) abrasion test, it shows very good  
17 resistance to abrasion.

18 Q. Right. And the  
19 Micro-Deval shows 2.7.

20 A. And even the Los Angeles  
21 abrasion and impact test results also show it's  
22 fairly resistant to abrasion and impact.

23 Q. And that's -- has a 17 as  
24 the number beside the Los Angeles abrasion entry.

25 A. Yes.

1 Q. Okay. And the  
2 freeze/thaw percentage of 1.6, what about that?

3 A. Extremely well.  
4 Extremely good. It's a test that we conducted and  
5 developed at the ministry for evaluating  
6 resistance for the weathering effects of freezing  
7 and thawing.

8 Q. Going back to the letter  
9 in paragraph 4, it indicates that:

10 "Because the aggregate was  
11 used on Hamilton's Red Hill  
12 Valley Parkway in a 12.5 SMA  
13 mixture, we will allow this  
14 city job to act as the trial  
15 section needed for your source  
16 to be included on the  
17 Ministry's designated source  
18 of materials list." (As read)

19 And you explain:

20 "It requires at least two  
21 winters before an approval  
22 decision can be made. The mix  
23 must obtain the desirable  
24 level of friction before the  
25 source can be considered for

1 DSM inclusion. We plan to  
2 monitor the performance of  
3 your aggregate on the  
4 parkway." (As read)

5 So there's the reference to  
6 "the desirable level of friction." What is that  
7 in this context?

8 A. It was indicating to them  
9 that we wanted an acceptable friction, that we  
10 would allow it to be used for our pavements. It  
11 just indicates to them that we were looking for  
12 good frictional properties.

13 Q. Right. And there is of  
14 course no -- clearly no reference to the number,  
15 the FN30 number that we were talking about --

16 A. No, there is no  
17 reference.

18 Q. No. And, again, is  
19 that -- you wanted to have good frictional  
20 resistance, and I think, as you've described it,  
21 the FN30 is in the back of your head as a  
22 guideline as to -- but your preference is to have  
23 it as high as possible. Is that a....

24 A. Well, I don't know if the  
25 number 30, but we were actually wanting to see

1 what the results were, and if they came down -- if  
2 the average FN could have been at 30 or lower, it  
3 may have been determined that that wasn't  
4 desirable.

5 Q. Right, okay. Now,  
6 talking about the -- you know, if you're using the  
7 Red Hill, there is no control section of course of  
8 the type that we discussed earlier that was the  
9 typical approach?

10 A. Well, there was no other  
11 aggregate on that --

12 Q. Right.

13 A. -- pavement that we could  
14 compare it to or use as a control if we needed it.

15 Q. Right, so -- right, so if  
16 you're going to use that, then there's no  
17 control -- sorry, there's no control section?

18 A. There's no other  
19 established previously accepted aggregate on the  
20 pavement that we could compare it to or at least  
21 evaluate to determine whether all things are  
22 operating normally.

23 Q. Right. And do you recall  
24 if you put any consideration into the issue of not  
25 having a control section to go along with the --

1 with using the Red Hill as a test strip for this  
2 purpose? Is that something you thought about at  
3 all?

4 A. Not at all, not at all,  
5 no.

6 Q. Okay.

7 A. I think that in reviewing  
8 test numbers that I had seen from other test  
9 sections, that I wasn't aware of what the control  
10 values were, so control was something that I was  
11 not considering.

12 Q. Okay. Well, I'm just  
13 looking at the timing here, and so Mr. Chris  
14 Rogers, he was previously in your position when  
15 the application came in in December 2007, and then  
16 Mr. Gorman has of course some involvement with  
17 this, and he makes the visit to the quarry as  
18 we've already talked about, and we did hear some  
19 evidence from Mr. Rogers about Mr. Gorman's  
20 involvement in drafting the original response  
21 letter to Demix. But did you have any discussion  
22 with Mr. Gorman about the absence of a control --  
23 of a control strip to go along with the assessment  
24 of the aggregate using the Red Hill?

25 A. I don't think so. I have

1 no recollection. I don't think it happened.

2 Q. Okay.

3 A. But I don't know. I just  
4 can't -- I just don't know.

5 Q. Okay.

6 A. My suspicion is that  
7 there wasn't -- it wasn't a point of discussion.  
8 It may have been mentioned, but I don't think it  
9 was a point that we discussed.

10 Q. Okay. So do you -- so to  
11 come back to this, the statement in this letter is  
12 that you're going to use the Red Hill as the trial  
13 section; that means there's no control section.  
14 Do I -- have I heard you correctly saying that's  
15 just not something that you thought about in this  
16 context? Is that --

17 A. No, I thought the use of  
18 the SMA or the Red Hill Valley Parkway 12.5 SMA  
19 mixture was established prior to my taking the  
20 position. It was indicated in a previous letter  
21 that they would be using that as the -- they would  
22 accept that as the test section.

23 Q. So let's go have a look  
24 at it, hold on. If we go to images 79 and 80 back  
25 on overview document 4, please. So paragraph 182.

1 This is a moving from the edge on the left to the  
2 right, is the complete letter sent by Mr. Rogers  
3 signature to -- back to Demix and Dufferin about  
4 their original application on --

5 A. Yes.

6 Q. -- December 13, 2007. Is  
7 this the letter that you're talking about?

8 A. Yes, this is where I  
9 believe that it was established that we would be  
10 using the parkway in its entirety as the -- for  
11 evaluating the aggregate.

12 Q. Okay. And I see at the  
13 top of page 80 there, there's the -- there's  
14 a ref- -- the first full paragraph, it says:

15 "I note your quarried  
16 aggregate was recently used on  
17 Hamilton's Red Hill Valley  
18 Parkway in a 12.5 SMA mixture.  
19 We plan to monitor the  
20 performance of your aggregate  
21 in the expressway."

22 Is that the passage you're  
23 referring to?

24 A. Yes, it is.

25 Q. Okay. And do you recall

1 whether at the time you were aware of this letter  
2 and that intention, or is that through this  
3 inquiry process that you've become aware of this  
4 process?

5 A. I believe it's through  
6 this process. I wasn't exactly -- I'm not clear  
7 whether I knew about this letter or not.

8 Q. Okay. So if we assume  
9 for the moment that you hadn't seen the letter, do  
10 you mean that, look, decision had been made, this  
11 is how it came to me, and that we just proceeded  
12 with that. Is that --

13 A. Well, I -- okay, so I  
14 would say that, yeah, we were just following in  
15 the process. We knew we had accepted the  
16 expressway as their evaluation section and just  
17 indicating that we were going to continue using  
18 that -- the expressway the aggregates evaluation.

19 Q. Okay. But how did that  
20 get conveyed to you? Do you recall how that  
21 decision was conveyed to you that it was going the  
22 expressway that was used?

23 A. Not exactly, but I'm  
24 supposing that was in response to the original  
25 request from the proponent, from Dufferin.

1 Q. Well, sorry, this is the  
2 original response from the MTO, this letter?

3 A. Also in the original  
4 request, the original request.

5 Q. So in the original  
6 request, which we can go back to. I think it's at  
7 the previous page, yeah, 78. At the bottom is an  
8 e-mail with the request letter, and it indicates  
9 that it was placed on, the SMA:

10 "...was placed on the City of  
11 Hamilton's Red Hill Creek  
12 Expressway in the form of  
13 SP12.5 FC2 and SP12.5 2 SMA."

14 So it's not that it was a  
15 specific request, but I'm just -- I'm trying to --  
16 they certainly raised that that's (indiscernible)  
17 certainly. I'm just wondering if you recall how  
18 you became aware of the decision to use the Red  
19 Hill as the test strip for DSM purposes?

20 A. I'm not aware how that  
21 decision was made.

22 Q. Okay. So do you think  
23 that decision was made before your arrival as the  
24 head of soils and aggregates?

25 A. Yes, because I don't

1 think there was a request for a separate 500-metre  
2 test section on a future contract.

3 Q. That's correct. We're  
4 not aware of that. I'm just....

5 A. And I came into position  
6 knowing, I guess in some respects, that the  
7 aggregate from Varennes Quarry was placed, and we  
8 were using the Red Hill Valley Parkway as the  
9 valuation section.

10 Q. Okay. And then to jump  
11 ahead to 2009. If we could go to image 87. And  
12 it's paragraphs 201 to 203.

13 And we can certainly go to the  
14 results after this if you want to see it, but  
15 Mr. Marciello conducts the skid testing on  
16 May 7th, 2009. He e-mails them to you, Mr. Gorman  
17 and Ms. Lane attaching the results, and he  
18 indicates:

19 "Might be too early to tell  
20 but it appears that friction  
21 levels/trends may be starting  
22 to decline over (sic) time."

23 (As read)

24 And then you replied:

25 "Frank, both Bob and I agree

1                   there is no clear indication  
2                   of any clear (sic) trend in  
3                   the data. Maybe you just have  
4                   a gut feeling for what's going  
5                   on out there. Time will tell.  
6                   We will be sending out a  
7                   notice regarding conditional  
8                   approval of the source pending  
9                   satisfactory performance of  
10                  the pavement and the source  
11                  materials. Thanks for  
12                  everything." (As read)

13                  And the -- if you -- while we  
14                  can absolutely go and look at the results, I can  
15                  tell you what the averages had had were 35, 39.4,  
16                  37.1, 38.9. And do you want to look at the  
17                  results before I just ask you some questions about  
18                  this? Have you had a chance to look at them?

19                                A.    No, we should look at  
20                  them.

21                                Q.    Okay.

22                                A.    Let's have a look.

23                                Q.    Okay. So if we could  
24                  pull up two at a time, Registrar. If we could  
25                  pull up MTO 5229 and 5230. Sorry, we should pull

1 them up in native, sorry, about that, both of them  
2 in native format. Just takes them a little bit  
3 longer to do that. Just a sec.

4 THE REGISTRAR: What was the  
5 second one?

6 MR. LEWIS: 230.

7 THE REGISTRAR: Okay. Thank  
8 you.

9 MR. LEWIS: Is there a problem  
10 with the native?

11 THE REGISTRAR: I have the  
12 second one. It's just taking a long time to come  
13 up. I have it now.

14 (DISCUSSION OFF THE RECORD)

15 BY MR. LEWIS:

16 Q. There we go. Thank you.

17 And so you can see on the one  
18 on the left is southbound lane 2 and northbound  
19 lane 1 on the right. The southbound has results  
20 from '07, '08 and '09, whereas the northbound has  
21 results from '8 and '9. We see the averages are  
22 36; that's right, 35 and 39 in 2009.

23 A. Yes.

24 Q. Okay. And should we look  
25 at the other ones?

1                   A.    No, but I think what's  
2    important here is that, yes, at that time Bob and  
3    I did look at this data, and we only had basically  
4    two data points to go from because there was only  
5    the 2008 and 2009 surveys which would have been  
6    considered valid. The 2007 testing would have  
7    been prior to traffic.

8                    So we were looking at the  
9    results after two winters. So 2008 testing would  
10   have been after one winter; 2009 would have been  
11   after two winters. So you only have two data  
12   points, and it was kind of premature to say there  
13   was a specific trend if you didn't have the  
14   extended data.

15                  Q.    Okay. Hence your comment  
16   back to Mr. Marciello?

17                  A.    Exactly, yes.

18                  Q.    Okay. And so -- and you  
19   referred to, and it's in your ultimate letter  
20   which we'll look at in a minute, but you referred  
21   to conditional approval.

22                  A.    Yes.

23                  Q.    And is that the usual  
24   language that's -- that was used when an aggregate  
25   was first approved?

1                   A.    All applications and all  
2 listings on the DSM are conditional.

3                   Q.    And conditional on what?

4                   A.    It was standard.

5                   Q.    Okay.  So it -- number  
6 one, it was standard -- it's a standard wording.  
7 That's number one?

8                   A.    Yes.

9                   Q.    Okay.  And number two,  
10 it's always conditional.  Conditional on what?

11                  A.    Conditional on a number  
12 of things.  Conditional on them meeting the  
13 specifications for all of our aggregate  
14 requirements, including the special testing that  
15 we would do within our laboratories for friction,  
16 abrasion and polishing the aggregates, and  
17 conditional on them -- there was three conditions,  
18 and if we -- the acceptance letter would have  
19 listed those conditions specifically.

20                  Q.    Okay.  So let's go to  
21 that.  Registrar, it's image 88 in overview  
22 document 4.  So if you could just -- so we don't  
23 have the screen in the eyes, if you could pull  
24 that up.

25                               This is a May 20th letter,

1 2009, from you to Ms. Gagnon at Demix. This is  
2 the one you're talking about?

3 A. Yes.

4 Q. Okay. And in the first  
5 paragraph, the first line -- well, the first  
6 sentence refers to the conditional approval.  
7 That's just what we were talking about?

8 A. Yeah, it says  
9 conditional.

10 Q. Yeah. Okay. And then --  
11 but before that just to cover off something we  
12 discussed earlier. It does refer that your trap  
13 rock from your Varennes Quarry is now  
14 conditionally approved. And I asked you is --  
15 generally speaking does that come under the  
16 category of trap rock and you got into some  
17 specifics about it. So I come back to that, not  
18 being a geologist.

19 Does it come under the broad  
20 category of trap rock despite the -- what you  
21 said --

22 A. Despite that. Because it  
23 was a fine grained volcanic rock we sort of put it  
24 together with all of the other similar fine grain  
25 volcanic extrusive rocks, which would have

1 included diabase or a metagabbro, similar to that  
2 category.

3 Q. Yeah. Okay.

4 A. So it was more of a  
5 pigeon-hole category that we placed it under for  
6 the purposes of petrographic evaluation because  
7 within our specifications, depending on the  
8 category, it would have a different requirement  
9 for some of the test methods or some of the test  
10 results. For example, the dolomitic sandstone  
11 would have had a different requirement for certain  
12 tests than the trap rock category would have.

13 Q. Okay. And so the broad  
14 categories define the --

15 A. As a trap, as a trap,  
16 yes.

17 Q. Okay. And so -- and you  
18 say in the second paragraph -- sorry, in the  
19 second sentence in the first paragraph:

20 "The condition is that we  
21 obtain satisfactory pavement  
22 friction from the Hamilton Red  
23 Hill Valley Parkway SMA  
24 mixture where testing is  
25 conducted in future years."

1 So that --

2 A. Yes.

3 Q. As I understand, that's  
4 the condition not subsequently laboratory testing;  
5 is that correct?

6 A. Well, one of the  
7 conditions, that's one of the conditions. There  
8 are conditions listed further down below.

9 Q. Okay. Right. And so  
10 that's in the third last paragraph. Says:

11 "Please note that continued  
12 approval of your aggregate is  
13 conditional upon it continuing  
14 to meet the requirements of  
15 OPSS 128, 1001, 1003 and such  
16 special provisions that alter  
17 these specifications and  
18 maintaining an average  
19 polished stone value --  
20 okay -- no less than 50 and  
21 (sic) no value less than 48."

22 (As read)

23 A. Yes.

24 Q. Okay. Is that, though --  
25 I mean, was it typical that you would go back and

1 actually conduct those tests, or was it only if  
2 some issue was raised?

3 A. No. I think if we had  
4 the opportunity if that material was ever used on  
5 another job somewhere on another contract and we  
6 had the opportunity to go and sample and retest  
7 it, we would have done that to ensure the product  
8 that we were getting today was the same product we  
9 got or similar product that we got in the past --

10 Q. Okay.

11 A. -- depending on the  
12 opportunity, yeah.

13 Q. All right. And is  
14 that -- again, is that a typical paragraph that  
15 would go into these kind of letters that --

16 A. I believe so.

17 Q. Okay.

18 A. And there was a third  
19 condition.

20 Q. Yeah. Which is?

21 A. The last paragraph "as  
22 you are aware."

23 Q. Yes?

24 A. To be listed they have to  
25 register with the road authority.

1 Q. Right, and pay the fee?

2 A. Well, there's also  
3 another condition where they had to pay the  
4 ministry a fee as well as pay whatever it took to  
5 get the road authority registration, and there was  
6 a fee involved there.

7 Q. Yeah. And annual fee I  
8 believe.

9 A. That was an annual fee.  
10 We had a one-time charge.

11 Q. Okay.

12 A. And depending on -- it  
13 seems that there was no mention of that one-time  
14 charge in this letter. That means they must've  
15 already paid it.

16 Q. Okay. And there's lab  
17 tests attached to this letter. We can go to them.  
18 They appear to be the same ones that were attached  
19 to the prior one. Maybe we should go have a look  
20 at it to make sure. It's MTO 47 refers to the  
21 sample date July 17, 2008.

22 A. That is the same --  
23 because it says December 4th, 2008 on the bottom.  
24 That is the same, exactly the same information  
25 that was given to them previously.

1 Q. Okay. And did you ever  
2 inform Hamilton, the City of Hamilton or any  
3 representative of the City Hamilton about the  
4 Demix application or that the Red Hill SMA  
5 placement was being evaluated for that purpose?

6 A. No, I did not.

7 Q. And did you ever consider  
8 doing so?

9 A. No, I did not. I would  
10 have not had any reason to.

11 Q. And why do you say that?

12 A. It was proprietary to our  
13 function, and we were just following through that,  
14 so I don't know who would have been interested in  
15 it.

16 Q. Okay. Well, possibly the  
17 City of Hamilton since it was there --

18 A. I would say it was for  
19 MTO purposes certainly, so if anybody would have  
20 been notified, it would have been our regional  
21 offices.

22 Q. Okay.

23 A. Because we were just  
24 doing this to evaluate the materials, we were just  
25 sort of closing this door and moving on. I had no

1 connection, and I had no requests from the City of  
2 Hamilton, and usually I do respond, and we did  
3 have requests that would come into our offices for  
4 certain aspects, and even consultants would ask if  
5 we could do testing of their materials in our  
6 laboratories, and so we did respond to requests.

7 Q. Okay. And if we could  
8 just go back to your letter. Maybe we could just  
9 open up MTO 46. It was the letter we were looking  
10 at in the overview document, on the right there.

11 So the approval is, or the  
12 conditional approval, to be fair, is for use as a  
13 source of Superpave 12.5 FC1 coarse and Superpave  
14 FC 12.5 FC2 coarse and fine aggregates. And the  
15 approval was not also for use in SMA. And do you  
16 recall why that was at the time?

17 A. No, not at the time.

18 Q. And do you know now?

19 A. Well, in looking at some  
20 of the letters it seems that the original request  
21 from Dufferin had requested the FC2 -- FC1, FC2.

22 Q. Yeah, that -- you're  
23 right. It did say that on the letter.

24 A. And I think that may  
25 have -- where that came from. Other than that, I

1 know that there was a pause on SMA -- aggregates  
2 in SMAs, and I don't know -- I guess they were  
3 delisted from the DSM at the time so that may have  
4 been another reason that we weren't approving  
5 anything for SMA at the time. I'm only  
6 speculating here.

7 Q. Okay. It was -- there  
8 definitely was the pause that was in place from  
9 2007 through almost the end of 2014 on SMA and  
10 their use. The acceptable aggregates were  
11 contained in a special provision used in MTO  
12 contracts. Is that what you're talking about  
13 there?

14 A. Yes. We wrote the  
15 special provision, yes.

16 Q. Okay. And we know that  
17 Demix was removed from the DSM in August 2016.  
18 And were you aware of that at the time?

19 A. No, I was not.

20 Q. Okay. Oh, I understand  
21 that they didn't pay the fees. So is that...

22 A. I imagine so, but they  
23 elected to remove themselves, and this is where  
24 (indiscernible) determined through this inquiry.

25 Q. It wasn't something that

1 you did? You didn't do this?

2 A. Absolutely no, no.

3 Q. Okay. And did you ever  
4 inform Dufferin or Demix of the friction test  
5 results from the MTO skid testing?

6 A. No, I did not.

7 MR. LEWIS: Commissioner, it  
8 is 3:05, which is a little before the usual break,  
9 but we started a little early. I only have a  
10 little bit left to cover about the 2014. So would  
11 this be a good time before I move on to that  
12 topic?

13 JUSTICE WILTON-SIEGEL: Sure.

14 Let's take 15 minutes. We'll return at 3:20.

15 --- Recess taken at 3:05 p.m.

16 --- Upon resuming at 3:20 p.m.

17 BY MR. LEWIS:

18 Q. Mr. Senior, Mr. Marciello  
19 tested the Red Hill in 2010, '11, '12 and '14 with  
20 the skid tester, but I would like to just go to  
21 the 2014 results because it contains the  
22 comparison to the prior years if that's okay with  
23 you.

24 And if we could go to overview  
25 document 4, image 96, please. Paragraph 230.

1                                   Mr. Marciello on July 25th,  
2    2014 e-mailed you, copying Mr. Gorman and Stephen  
3    Lee, who at that point had become the head of  
4    pavements and foundations, attaching the Red Hill  
5    friction test results from July 12th and 23rd. It  
6    was split into two days, did one lane and then  
7    three lanes. That's the content of  
8    Mr. Marciello's e-mail, and he says:

9                                   "Four lanes of the parkway  
10                                  were tested a few days ago.  
11                                  Performance shows friction  
12                                  levels continuing to drop.  
13                                  Quick summary of average  
14                                  values in 2008 and in 2014."

15                                 And then he gives the results,  
16    the average results rounded for each of the four  
17    lanes in those two years.

18                                 Do you recall if you or  
19    Mr. Gorman asked Mr. Marciello to provide that  
20    comparison, or is that -- did he just provide them  
21    you to? Do you recall?

22                                 MS. MCIVOR: Mr. Senior, you  
23    are just on mute.

24                                 THE WITNESS: I -- no, that  
25    would have been Frank's submission to us without

1 any request from me or anybody else that I would  
2 know of.

3 BY MR. LEWIS:

4 Q. Okay. And on the  
5 averages, each of the four lanes shows a drop of  
6 eight or seven -- three of limits. That's a drop  
7 of eight FN --

8 A. Yeah.

9 Q. -- and in the final lane,  
10 southbound 2, it's seven, between 2008 and '14.  
11 And we can go and look at the results. Do you  
12 recall based on this what your thoughts were at  
13 the time?

14 A. I can't recall.

15 Q. Okay.

16 A. If I had any thoughts I  
17 would have wanted to ask for the -- I would have  
18 ignored that and looked at the complete data --

19 Q. Okay.

20 A. -- because there's only  
21 two data points there.

22 Q. And so why don't we go  
23 and look at the results, and why don't we start  
24 with -- well, actually before I do, what are the  
25 other data points that you want? You mean all the

1 intervening years as well as the specific --

2 A. Well, I would want  
3 those -- yes, because those were the selected end  
4 points of the data.

5 Q. Yeah. Okay. So if we go  
6 to MTO 22944 and MTO 22946. I want the native  
7 version, please. I always neglect to say that off  
8 the top. If you could close the document. Yeah.  
9 Thank you.

10 So this is on the left  
11 northbound lane 2 and on the right southbound  
12 lane 2. And we can also go to the detailed charts  
13 if you want, but if you want to take a moment to  
14 look at these.

15 A. Okay.

16 Q. Do you want to look at  
17 the detailed numbers, yes?

18 A. Not necessary at this  
19 point.

20 Q. And does this refresh  
21 your memory enough, or do you want to look at the  
22 other lanes as well?

23 A. No, that's good. I think  
24 the lane 2 is probably where you would be looking  
25 to make a decision from because that would be the

1 most travelled lane.

2 Q. Okay. All right. So  
3 based on this -- first of all, do you recall  
4 looking at this information rather than just  
5 Mr. Marciello's e-mail?

6 A. I don't specifically  
7 recall looking at it, but I know I did.

8 Q. Okay. Fair enough. All  
9 right. And so what would your reaction, then,  
10 have been to these results at the time?

11 A. It would have been to  
12 look at the trend in the data, specifically over  
13 the performance of the last year and the most  
14 recent years, and to note that, yes, we did have  
15 high values in the beginning, but to see them drop  
16 is not abnormal specifically for the trap rock  
17 category. But I've also noted that the averages  
18 were sort of becoming more constant over time and  
19 levelling off at a value in the low 30s.

20 Q. Okay. And were you happy  
21 with these results?

22 A. I don't think I had an  
23 emotional response. I was looking at that and  
24 saying, well, there's nothing in the average  
25 numbers -- there's not -- I mean, it is low, and

1 if we want to compare it to some of the other DSM  
2 traps, it was very similar. So there was nothing  
3 alarming about any of this information given that  
4 the friction numbers were reasonably similar.

5 Q. Okay.

6 A. So it would have been  
7 comparable to other pavements that we have already  
8 in existence.

9 Q. Okay.

10 A. Not all of them but a  
11 few, yeah.

12 Q. Okay. And I want to be  
13 clear about that. There were other ones in  
14 existence that had a similar pattern and a similar  
15 number, but it's a few that you're talking about,  
16 not the large number of them. Is that a fair  
17 summary?

18 A. It would have been  
19 aggregate-related, and trap rocks themselves would  
20 have given us -- have always given us our lower  
21 values. Some were in the mid-to-low 30s over the  
22 long-term.

23 Q. Okay. And over this  
24 length of time? So here we're talking about --

25 A. Yes.

1 Q. -- 2000, 2014, a period  
2 of seven --

3 A. Yes, seven or eight years  
4 or so, and we have data, and I think we were  
5 looking at some of the data of the historical  
6 performance of all of our DSM materials when we  
7 were reviewing the performance specifications, and  
8 the requirements that we were looking at in  
9 looking at that process.

10 Q. Okay. And by that -- and  
11 I don't want to go into a long thing, but you're  
12 talking about the issue of actually using an FN  
13 minimum or warranty number in contracts as a  
14 performance or warranty number? You're saying --

15 A. Yes.

16 Q. Yeah. And so you were  
17 looking at what the minimum numbers were in  
18 relation to that process in determining what would  
19 be used as the number in those performance  
20 contracts?

21 A. What could be used, or  
22 how it compared, and what were -- possibly what  
23 would be the impacts of making a number depending  
24 on where that number landed.

25 Q. Right. Okay. And so

1 you've looked at the averages here, and as I said  
2 we can go into the detailed numbers, but on the  
3 northbound lane 2 there's -- why don't we go it to  
4 it. Go to the detailed tab in both charts.

5                   And so in northbound lane 2 on  
6 the left there's five readings that are below FN30  
7 and four of them are under 28. And in the one on  
8 the right, which is southbound lane 2, there's  
9 also five under 30, and the lowest of them it says  
10 there, the minimum is 26.1. And for DSM purposes  
11 would you have been looking at individual readings  
12 or solely the average?

13                   A. I would have been looking  
14 at the average. Usually if you're comparing  
15 pavements and you're reporting the friction number  
16 of a pavement, it is the average friction number  
17 that you've achieved from the friction testing.

18                   Q. Okay. At some point do  
19 individual readings become a concern for you even  
20 if they weren't in this instance?

21                   A. No, not individual  
22 readings other than a statistical parameter to  
23 determine what the minimum and maximum were, but  
24 normally it would be the average number that we  
25 were reviewing for purposes of reporting a number

1 for that pavement.

2 Q. For reporting it. No, I  
3 understand that. But you're evaluating this  
4 for -- you know, just for continuation on the DSM.  
5 And so for that purpose are you saying that the  
6 individual readings were not of concern to you as  
7 long as the average was --

8 A. Well, it would have been  
9 a concern if the average was the minimum. That  
10 would have been of interest certainly. If the  
11 average was 27 that would have been of -- that  
12 would have maybe have been a cause. But  
13 individually that just means to me that at that  
14 location there is a possibility of longer stopping  
15 distances in wet pavement conditions which may  
16 have resulted in an increased number of wet  
17 skidding -- wet pavement skidding accidents, and I  
18 don't know how to correlate -- without the  
19 addition of other data it just is a number used in  
20 the average.

21 Q. Right. Okay. So  
22 you're -- what I think I understand you as saying  
23 is that maybe if you unpack the data and look at  
24 collision rates and geometrics and so forth, there  
25 may be or may not be a correlation between the

1 lower friction values and wet weather collision  
2 numbers, but that's just not what you were doing  
3 here when you're looking at DSM approval. Is  
4 that....

5 A. Yes. For the pavement  
6 management I guess that would have been a  
7 different story, but for our purposes we wanted to  
8 know what was the overall friction, and the more  
9 data you have, I guess, the more accurate the  
10 information can be. We're actually looking at  
11 what over 7,000 metres of test section which  
12 would -- instead of the 500 metres of test section  
13 that we would normally do.

14 Q. Right, right. And in  
15 which the five of the -- in the one on the left  
16 five of 15 or 16 are under 30, so....

17 A. Yes.

18 Q. Okay. But, anyway, I  
19 just come back it to again. I just want to make  
20 sure I understand your evidence. That's not what  
21 you were focused on for the purposes of the DSM  
22 application, or rather the DSM continuation; is  
23 that right?

24 A. No, no.

25 Q. Okay.

1                   A.    Those numbers were just  
2    numbers, and it shows the variability in the  
3    pavement over a large section.

4                   Q.    Right.  But then I come  
5    back to what you consider to be, you know, the  
6    wording used -- you spoke about earlier an  
7    acceptable level of friction or desirable level;  
8    however you want call it.  And it does seem,  
9    again, that where you're -- what you're really  
10   looking at here for that is something around 30 as  
11   the lower end on an average?

12                  A.    I would say that that may  
13    have caused us to think differently.

14                  Q.    Right.  You don't know --

15                  A.    I know what would --

16                  Q.    -- what can happen.

17                  A.    Okay.  The number of the  
18    low 30s was -- and I would say that this  
19    particular material is on the lower end of all of  
20    the our DSM materials.

21                  Q.    Okay.

22                  A.    But in terms of  
23    frictional performance and pavement performance it  
24    still is above that sort of a marker highlighted  
25    number of 30, and I would have, and I probably

1 did, have gone to discuss this with other people  
2 who may have a better evaluation of overall  
3 pavement management, and -- but in terms of was  
4 that acceptable friction, and how we would have  
5 looked at that in terms of the average.

6 Q. Okay. And so Becca Lane  
7 testified that -- on that issue that you were  
8 involved in an internal discussion at some point  
9 after these results, 2014 results being produced  
10 with her, Bob Gorman and Mr. Marciello about Demix  
11 Aggregates, including about whether the Demix  
12 Aggregates' performance was satisfactory for  
13 continuation on the DSM. And she testified that  
14 her recollection is that Mr. Marciello and  
15 Mr. Gorman came to her office to talk about it,  
16 and then I believe the three of them, it was her  
17 recollection, walked over to your office to  
18 discuss with you. Do you recall a conversation of  
19 that nature?

20 A. Not specifically --

21 Q. Okay.

22 A. -- but I'm sure it  
23 happened.

24 Q. Okay. Do you mean --

25 A. But not specifically, no.

1 Q. Okay. So you don't have  
2 a specific recollection. When you say you're sure  
3 it happened, you mean if Ms. Lane recollects it,  
4 you don't doubt that it occurred. Is that what  
5 you mean?

6 A. Absolutely.

7 Q. Okay. And is that  
8 because you -- Ms. Lane has a particularly good  
9 memory?

10 A. Better than mine I would  
11 say, yes, for sure.

12 Q. Okay. Fair enough.  
13 Okay. And -- but since you don't have any  
14 recollection of it, you just don't -- even with  
15 that detail, you don't recall what it was, and you  
16 would just -- whatever Ms. Lane said you wouldn't  
17 be able to --

18 A. Well, if that was a  
19 discussion, that would have -- and our office is  
20 full of a number of different specialists in  
21 different areas, and there was a lot of  
22 collaboration in discussing issues. I mean, we  
23 had many discussions in our little breakout room,  
24 so I can't recall any one specific. And I know we  
25 sometimes even passing in the hallway would have

1 conversations to update ourselves and to sort of  
2 say, where do we go from here.

3 But yeah, I have no  
4 recollection of specifics, but I'm sure we would  
5 have looked at the numbers, would have looked at  
6 some comparisons and then made a decision on  
7 whether this was to be -- to remain on the DSM or  
8 not.

9 Q. Okay. Since it wasn't  
10 removed at that the time presumably the decision  
11 was that it wasn't to be removed?

12 A. The decision was to say  
13 there's no -- well, there's nothing there that  
14 warrants its removal.

15 Q. Right.

16 A. Right.

17 Q. Do you recall if you  
18 considered -- I asked you this question before  
19 about -- more generally about the test results in  
20 Hamilton, but did you consider providing the 2014  
21 skid testing results to the City of Hamilton or  
22 its representatives?

23 A. No, I did not.

24 Q. Would you have had the  
25 authority to do so had you chosen to?

1 A. I suppose.

2 Q. Okay.

3 A. I could have -- if I had  
4 a contact or if I knew someone or if I had a  
5 reason to I may have, but I would have had  
6 authority.

7 Q. That's what I mean. I'm  
8 not saying that you did.

9 A. I had the authority for  
10 sure.

11 Q. Okay. And do you know  
12 why the Red Hill wasn't further skid tested after  
13 2014?

14 A. No, I do not.

15 Q. And we know that  
16 Mr. Marciello left the MTO in -- relatively early  
17 in 2015. His last day working was in March  
18 of 2015. And we've heard that his position wasn't  
19 filled until May 2016 after a job competition.  
20 But do you recall if anyone was doing any skid  
21 testing for soils and aggregates in the meantime?

22 A. I don't have any memory  
23 of that, that's for sure, yeah. I wouldn't know.

24 Q. Okay.

25 A. I just wouldn't know,

1     yeah.

2                             Q.     All right.  Did it resume  
3     at some point before you retired?

4                             A.     I don't know.

5                             Q.     Okay.

6                             A.     I don't know.

7                             Q.     You don't know.  Can't  
8     recall?  Okay.

9                             A.     Can't recall.

10                            Q.     All right.

11                            MR. LEWIS:  Okay.  I don't  
12     have any further questions.  Thank you,  
13     Mr. Senior.  Counsel for the participants may have  
14     some questions for you.  I know counsel for the  
15     City does, but I should ask before we go over.

16                            Ms. Roberts, will you have any  
17     questions at this time?

18                            MS. JENNIFER ROBERTS:  No, I  
19     do not, thank you very much.

20                            MR. LEWIS:  Okay.  And we know  
21     that counsel for Dufferin does not, and the MTO  
22     may, but they (indiscernible) any of that.

23                            Commissioner, I believe then  
24     Ms. Jenene Roberts would be first up for the City.

25                            MS. JENENE ROBERTS:  Thank

1 you, commission counsel. Thank you,  
2 Mr. Commissioner.

3 EXAMINATION BY MS. JENENE ROBERTS:

4 Q. Good afternoon,  
5 Mr. Senior. My name is Jenene Roberts. As  
6 commission counsel mentioned, I'm counsel to the  
7 City of Hamilton. I just have a few questions for  
8 you really talking about the friction testing on  
9 the Red Hill and the listing of the Demix  
10 aggregate which following the discussion that  
11 you've had with commission counsel already today.

12 And first of all, I want to  
13 start with the 2008 friction testing results. And  
14 I think if I understand your evidence, it's that  
15 the 2008 results were acceptable for DMS inclusion  
16 purposes; is that right?

17 A. I think the 2008 was only  
18 after one winter.

19 Q. Yes.

20 A. So that would've not met  
21 the conditions that we needed at least two winters  
22 of testing before we considered them for  
23 inclusion.

24 Q. Oh, okay. So you have to  
25 look at the 2008 and the 2009 results together to

1 make the assessment for inclusion on the DSM?

2 A. Yes. Originally -- we  
3 wanted two years and at least -- and specifically  
4 over two winters.

5 Q. Okay. So your view after  
6 seeing the 2008 results and then seeing the 2009  
7 results was that the Demix aggregate was  
8 acceptable for inclusion on the DSM?

9 A. No, not after the --  
10 there was no decision made in 2008 based on one  
11 winter of testing.

12 Q. Sorry, my apologies. I  
13 mean you had 2008 plus 2009. So after the 2009  
14 looking at both years, the decision was being  
15 made; is that correct?

16 A. After the 2009 test  
17 results, friction test results were reported and  
18 recorded, then we moved forward to making a  
19 decision.

20 Q. Okay. And the decision  
21 was that the Demix aggregate was approved for  
22 listing on the DSM at the time, correct?

23 A. Was conditionally  
24 approved as stated previously, yes.

25 Q. Conditionally approved.

1 And if I understand what that -- the implications  
2 of that are -- it's that as of that 2009 decision  
3 that meant that your view was that the Demix  
4 aggregate was acceptable for use by contractors  
5 who were paving MTO projects; is that correct?

6 A. It was acceptable for  
7 FC -- 12.5 FC1 and acceptable for 12.5 FC2, yes.  
8 Moving -- yes, at that time it was listed for  
9 those products.

10 Q. Okay.

11 A. (Indiscernible).

12 Q. Good. Thank you. And if  
13 I understood correctly, that you held that view  
14 every year as the friction testing results were  
15 reported. So we know 2010, 2011, 2012, 2014, that  
16 it continued that the Demix aggregate was listed  
17 on the DSM and was acceptable for use in the FC1  
18 and FC2 surface courses; is that right?

19 A. Yes. We had no reason to  
20 remove them from the DSM for those purposes.

21 Q. Okay. And I take it that  
22 means that it's correct that the MTO didn't  
23 identify any problems with respect to the Demix  
24 aggregate when it's in the testing that was used  
25 for the application and the listing of the

1 aggregate on the DSM?

2 A. The testing that we did  
3 in our laboratories, it met all of our  
4 requirements that would have been necessary for it  
5 to be an approved aggregate on any job, and then  
6 also the friction testing results in 2009 were  
7 acceptable as good frictional properties.

8 Q. Okay. Thank you. And I  
9 believe your evidence earlier was that you weren't  
10 aware at the time, but you're aware now that the  
11 Demix aggregate was removed because the supplier  
12 stopped paying fees for the listing; is that  
13 right?

14 A. Yeah, I understand now  
15 that they made the decision to not register with  
16 the road authority at that time, yeah.

17 Q. Okay. So the MTO didn't  
18 ever remove the Demix aggregate because of any  
19 concerns with respect to its friction performance  
20 on the Red Hill, correct?

21 A. We did not remove it from  
22 the DSM.

23 Q. Okay. For any reason at  
24 all?

25 A. For any -- we had no

1 reason the remove it.

2 Q. Okay. Thank you. And  
3 just going back, and I'm happy to put the -- put  
4 it up on the screen if necessary, but I think  
5 you've already referenced it. So the approval  
6 that was sought and obtained was for FC12.5 FC1  
7 and FC2, correct?

8 A. It was approved on the  
9 DSM for those two uses, yes.

10 Q. Okay. So am I correct  
11 that the testing requirements for listing on the  
12 DSM for both FC1 and FC2 would have been the same?

13 A. The physical properties  
14 and the test results --

15 Q. Yes.

16 A. -- yes, it would have  
17 been very similar, yes.

18 Q. Okay. So very similar.  
19 So were there differences in what would be  
20 required for testing for FC1 versus FC2?

21 A. Well, FC1s, you wouldn't  
22 have to test the fine aggregate fraction, but FC2s  
23 you would test the coarse and the fine aggregate  
24 fraction.

25 Q. Okay. And would the

1 testing requirements for DSM listing for use in  
2 SMA have been the same as either of FC1 or FC2?

3 A. It would have been  
4 testing of the coarse and the fine aggregate, so  
5 it would have been similar to FC2.

6 Q. Okay. And are you aware  
7 of any differences in the testing requirements  
8 versus FC2?

9 A. No.

10 Q. Okay. And I just want to  
11 ask you specifically about the testing that was  
12 done on the Red Hill Valley Parkway and then who  
13 was actually advised of the testing.

14 Am I right that in approving  
15 the aggregate that Demix would have been advised  
16 that friction testing was conducted on the Red  
17 Hill Valley Parkway in 2008 and 2009.

18 A. I believe in my  
19 correspondence that's what we identified that we  
20 would be doing testing on the Red Hill Valley --

21 Q. Oh, okay.

22 A. -- so they were aware  
23 through my correspondence that that's what we were  
24 doing.

25 Q. Okay. And with respect

1 to the testing that was done after 2009, so 2010,  
2 '11, '12 and '14, were Demix also advised that  
3 testing was being done on the Red Hill in those  
4 years?

5 A. Well, we told them that  
6 we would continue to monitor our evaluation  
7 section in future years. So I assume that they  
8 understood that, and they knew that we were going  
9 to do that.

10 Q. Okay. Do you have any  
11 recollection of either you or anyone else at the  
12 MTO actually advising them in any of those  
13 subsequent years about the friction testing being  
14 done on the Red Hill?

15 A. I'm not aware of any --  
16 anything like that, no.

17 Q. Okay.

18 A. I mean, yeah -- I just  
19 want to be clear about what your question was  
20 there.

21 Q. Sure. Whether -- so in  
22 the approval letter, as you mentioned, your  
23 correspondence did indicate that there was  
24 friction testing on the Red Hill in 2008, 2009.  
25 So I was just asking if you had any specific

1 recollection of the 2010-and-onwards testing, and  
2 whether Demix was told that those years involved  
3 testing of the Red Hill as well?

4 A. Well, we did -- in their  
5 approval letter I believe we said -- we told them  
6 that we would continue to monitor it in the  
7 future.

8 Q. Okay. So aside from that  
9 approval letter, there was no specific  
10 correspondence or notification subsequent?

11 A. No correspondence from me  
12 or my office. I don't know if there was  
13 conversations outside of that.

14 Q. Okay. So looking at the  
15 friction numbers on the Red Hill in 2014, and you  
16 just had a conversation with commission counsel  
17 about those. I'm happy to put them -- ask the  
18 Registrar to put them up on the screen if you need  
19 to see them again. But if you recall the average  
20 friction numbers were all above 30 for all the  
21 lanes in 2014. Do you recall?

22 A. I do recall.

23 Q. Okay. And I believe you  
24 had mentioned that for DSM purposes you were  
25 looking at those average friction values, and

1 those were the values that were being evaluated;  
2 is that right?

3 A. That is correct.

4 Q. Okay. And I take it if  
5 the friction testing on the Red Hill Valley  
6 Parkway continued to produce the same friction  
7 numbers as 2014, so averages above 30, your  
8 expectations would have been that the Demix  
9 aggregate would have continued to be listed on the  
10 DSM?

11 A. Yes.

12 Q. Okay. And I believe you  
13 told us that your view was that the 2014 friction  
14 results for the Red Hill were consistent with the  
15 values that were seen on some of the other MTO  
16 pavements that included trap rock aggregates?

17 A. Well, mostly the trap  
18 rock aggregates would have been the material we  
19 were comparing it to. But it was, you know,  
20 similar -- very similar to --

21 Q. Oh, okay.

22 A. -- in terms of its -- in  
23 terms of its absolute final number, and its -- I  
24 guess the values as they decreased over time.

25 Q. Okay.

1 A. (Indiscernible), yeah.

2 Q. Okay. Thank you. But I  
3 take if there had been any sort of concern with  
4 that 2014 testing in terms of safety issues, then  
5 you or someone else from the MTO would have  
6 advised someone at the City of Hamilton about any  
7 safety concerns?

8 A. I would imagine so, but I  
9 don't know. I can't say for sure. But I would  
10 imagine that would've been -- if there was a  
11 safety concern -- every -- obviously if there was  
12 a safety concern, someone would have said  
13 something.

14 Q. Okay. Great. And then I  
15 just wanted to ask you, just following up, I  
16 believe your response about -- I believe you told  
17 commission counsel you'd never -- you didn't  
18 inform anyone from the City or any of the City's  
19 representatives about the Demix application or  
20 that the Red Hill was being evaluated for friction  
21 testing; is that right?

22 A. Yes. We considered the  
23 pavements and foundations section to be the  
24 custodian of the data, and we just received it  
25 because we requested it.

1 Q. Oh, okay. So I just  
2 wanted to ask you if you're aware of any MTO  
3 policy at the time related to advising a  
4 municipality or really any third party owner of a  
5 facility if friction testing was being done on a  
6 facility that's not an MTO facility?

7 A. Could you say that again,  
8 please. I'm not --

9 Q. Sure. Sorry. So, you  
10 know, in the context of the Red Hill, the Red Hill  
11 of course not an MTO facility --

12 A. No, I know.

13 Q. -- so I understand it's a  
14 little bit of a one-off in terms of the test  
15 section being not an MTO pavement. I'm wondering  
16 if there was any policy, any MTO policy with  
17 respect to that sort of circumstance.

18 So there's testing being done  
19 on a municipality's facility, for example. Was  
20 there a policy in place as to whether to either  
21 advise the municipality or seek permission from  
22 the municipality before conducting friction  
23 testing?

24 A. I believe that we only  
25 responded to requests from municipalities if they

1 wanted to do friction testing on their pavements,  
2 but I don't think we would have just randomly gone  
3 off to a municipality and taken a friction number  
4 for no reason. For example, the City of Toronto,  
5 if they wanted to test the Don Valley Parkway for  
6 friction they may make a question to the Ministry  
7 of Transportation and depending on accomodation.  
8 The ministry would see how they would respond to  
9 that.

10 Q. Sure. And I understand  
11 that. And, I mean, certainly this wasn't a case  
12 where the MTO was doing friction testing for no  
13 reason. I think we all understand that the  
14 friction testing was being done for the purposes  
15 of supporting Demix's application for DSM. So my  
16 question is really about whether there was a  
17 policy in place for those types of circumstances  
18 where testing was -- the MTO was doing testing for  
19 its own purposes, but that testing was being done  
20 on, in this case, the City of Hamilton's property  
21 or facility?

22 A. Yeah, I don't know if  
23 there was enough circumstances that occurred that  
24 would require a specific policy, written or  
25 understood, but it was more or less ad hoc as

1 needed.

2 Q. Okay. Thank you,  
3 Mr. Senior. Thank you, Mr. Commissioner. Those  
4 are all my questions.

5 JUSTICE WILTON-SIEGEL:  
6 Mr. Lewis?

7 MR. LEWIS: If Ms. Jennifer  
8 Roberts does not have any questions, if she  
9 confirms that, then we would be on to Ms. McIvor  
10 for the MTO.

11 MS. MCIVOR: I can confirm  
12 that we have no further questions.

13 JUSTICE WILTON-SIEGEL: Okay.  
14 Mr. Lewis?

15 MR. LEWIS: I do not have any  
16 further questions.

17 JUSTICE WILTON-SIEGEL: Okay.  
18 Well then, Mr. Senior, thank you for attending the  
19 inquiry. You are excused. And I think the same  
20 applies, unless is anything further we have to  
21 deal with today, to the remaining counsel.

22 Mr. Lewis?

23 MR. LEWIS: I don't think we  
24 need to have a conference. If, perhaps, Ms.  
25 McIvor could confirm this evening about

1 Mr. Klement's availability to start early  
2 tomorrow, I would be grateful for that since we  
3 know that Mr. Gorman will be shorter than his  
4 available slot because of the affidavit that's  
5 going to be filed.

6 MS. MCIVOR: I have confirmed  
7 that Mr. Klement will be available to start early  
8 tomorrow.

9 JUSTICE WILTON-SIEGEL: Okay.  
10 So we'll stand adjourned then until 9:30 tomorrow  
11 morning. Thank you very much. Have a good  
12 evening.

13 --- Whereupon at 3:56 p.m. the proceedings were  
14 adjourned until Wednesday, May 25th, 2022 at  
15 9:30 a.m.

16  
17  
18  
19  
20  
21  
22  
23  
24  
25